

1 COURT CLERK: You're welcome.

2 MR. RONEMUS: Can we mark Dr. Friedman's chart
3 as an exhibit please.

4 THE COURT: The one he has in front of him?

5 MR. RONEMUS: Yes.

6 THE COURT: Okay. We're going to take just one
7 second. Go right ahead.

8 Do you need some water?

9 THE JUROR: No.

10 (Juror excused.)

11 (Pause in the proceedings.)

12 COURT OFFICER: Which one are we marking?

13 MR. RONEMUS: This one, this chart.

14 COURT OFFICER: You want specific or the whole
15 thing?

16 THE COURT: The whole thing for I.D.

17 COURT OFFICER: Plaintiff's 29 for
18 identification.

19 DIRECT EXAMINATION BY MR. RONEMUS:

20 Q Good afternoon, doctor.

21 A Hello.

22 Q The chart that we have that's marked Exhibit 29 for
23 identification, are those records kept in the ordinary course
24 of your business?

25 A Yes, sir.

1 Q Is it the ordinary course of your business to keep
2 those records?

3 A Yes, sir.

4 Q Do those records pertain to your care and treatment
5 of Leslie Lariviere?

6 A They're all records I used in treating her.

7 MR. RONEMUS: I offer this chart in evidence.

8 THE COURT: Any objection?

9 MS. SCIARETTA: I'd like to see it, your Honor.

10 THE COURT: Yes.

11 (Handing.)

12 (Counsel reviewing documentation.)

13 MS. SCIARETTA: May we approach?

14 THE COURT: Sure.

15 (Bench conference off the record.)

16 THE COURT: Plaintiff's 29 is in evidence
17 subject to redaction.

18 Q If it would assist you, you can refer to your chart
19 during the course of your testimony?

20 A Thank you.

21 Q Let's talk --

22 THE COURT: There are a whole series of reports
23 from other doctors in your chart.

24 THE WITNESS: Yes, your Honor.

25 THE COURT: Don't read from them in the course

1 of your testimony.

2 THE WITNESS: Correct. Deal.

3 Q Tell us, what type of a doctor are you?

4 A I'm a neurologist. I deal with illnesses and
5 injuries of the brain, spinal cord, nerves and muscles.

6 Q Are you licensed to practice in the State of New
7 York?

8 A Yes, sir, I have been licensed since 1976.

9 Q Can you give us your background and education that
10 qualifies you to be a neurologist?

11 A Yes, sir. I'm a graduate of Downstate Medical
12 Center here in Brooklyn, class of '75. I did an internship at
13 Maimonides Hospital in Brooklyn from '75 to '76. I did a
14 neurology residency at Kings County in Brooklyn from '76 to
15 '78. I was chief resident of neurology at Kings County from
16 '78 to '79. I then taught at the medical school I graduated
17 from '79 to '82. My official title at that time was a
18 clinical assistant professor of neurology. I have an active
19 clinical practice for more than thirty years. I see patients
20 ever day. And I am board-certified by the American Board of
21 Psychiatry and Neurology 1980. I'm also board-certified by
22 the American Board of neurophysiology, 1979.

23 Q What does that mean?

24 A Neurophysiology. Neurophysiology are the
25 computerized tests that are available to test the brain, the

1 spinal cord, nerves and muscles. Brain wave tests, nerve
2 conduction studies, etc. there are many different types --
3 it's actually a separate board exam.

4 Q I didn't mean to interrupt you. Go ahead.

5 A So if you pass the exam, if one passes the exam,
6 they get a title called a diplomat. Technically I'm a double
7 diplomat, psychology in neurology and neurophysiology. That's
8 my thirty-plus year in five sentences.

9 Q Where did you come from when you came to court
10 today?

11 A I took the F train from Kings Highway from my
12 office.

13 Q Were you working in the office this morning?

14 A Of course.

15 Q When we're done today, where do you intend to go?

16 A I have patients and secretaries waiting for me. I
17 plan on getting the F train and going pack.

18 Q Have you testified in court before?

19 A Oh, many, many times.

20 Q Have you testified on behalf of both plaintiff and
21 defendants?

22 A Behalf of both but the overwhelming majority are
23 plaintiffs. In the last six times that I testified two were
24 defendants and four were plaintiff.

25 Q By the way have you ever testified on behalf of the

1 Transit Authority?

2 A As a matter of fact, yes, and -- last week as a
3 matter of fact.

4 Q The Transit Authority hired you to be an expert to
5 come into court to testify on behalf of the Transit
6 Authority --

7 MS. SCIARETTA: Note my objection.

8 Q -- last week?

9 THE COURT: It's leading, but I'm going to
10 allow it.

11 A That's correct.

12 Q Are you compensated for your time in court out of
13 your office?

14 A Yes, I charge for my time. I charge what it costs
15 to run a neurology practice in 2012, about five hundred
16 dollars an hour. I charge for my traveling time and I charge
17 for time preparation per hour.

18 Q Okay. Have you treated Leslie Karen Lariviere?

19 A I have been treating Ms. Lariviere --

20 Q It's been pronounced a number of ways.

21 A I will just go with Leslie. I have been treating
22 her for more than three years on a regular basis.

23 Q So why don't you tell us about your treatment of
24 Ms. Lariviere and your diagnoses and findings regarding her
25 injuries?

1 A I will stick to the pertinent positives. My first
2 visit was in December 12/22/08, that was the first time I saw
3 her. Her history is very simple. She has no medical history.
4 She's not a diabetic. She not hypertensive. She has two
5 adult children. She worked at multiple jobs, nanny and
6 waitressing, etc. And she reported an injury 12/9/08
7 approximately two weeks before I saw her the first time. She
8 told me about going to Lutheran Hospital. They evaluated her
9 and sent her home. Her chief complaints on the first visit --

10 Q What's that mean chief complaint?

11 A You get a history from the patient. It's important
12 to know what happened to them before, whether they have any
13 chronic illnesses, whether the symptoms they're having now is
14 something they had before or they're denovo, something new and
15 then going to why they came to see you. I'm not the first
16 physician she had seen. She had already seen numerous
17 physicians before coming to see me and that led to the chief
18 complaint which was violent headaches, vertigo or dizziness,
19 uncontrolled crying, poor concentration. And, basically, she
20 told me she had been laying in bed crying the whole time, not
21 able to function.

22 Q So 12/22/08 you are thirteen, fourteen days after
23 the accident?

24 A That's correct. That's my first visit.

25 Q Okay.

1 A I have seen her regularly since that time. I will
2 go into more detail in a moment. I have seen her about twenty
3 times give or take ten times 2009, six times 2010, six times
4 2011. She comes regularly, not just for neurologic
5 assessment, but for medication. She has never come to my
6 office unattended. She's not capable of traveling alone
7 physically or emotionally. One of her daughters or her
8 husband was always present, not in the exam room, but was
9 always bringing her to the office and taking her home, and
10 that's been true for every single visit. The last visit was a
11 few weeks ago. The next visit is scheduled in a week. She
12 has had numerous diagnostic studies, evaluations. She has
13 chronic headaches, major difficulty with concentration. She
14 has vertigo or episodes where she loses her balance. She's
15 afraid to leave her house. For the first few months that I
16 took care of her she didn't even do minimal housework. Her
17 daughters and her were caring for her. And she actually did
18 somewhat improve over a three-year period. She went from
19 laying in bed crying, doing minimal amount of housework, but
20 spends a hot of the time in bed with extreme fatigue. She has
21 had numerous panic episodes where she has palpitations. She
22 feels like she's going to pass out. She has passed out many
23 times, and requires medication to control these episodes. In
24 April of 2009 about five months following her reported injury
25 she was hospitalized at Lutheran for about four days. She had

1 what's called a grand mal seizure. She was foaming at the
2 mouth. She bit her tongue. And she was admitted to the
3 hospital and was placed on a drug called Keppra, K-E-P-P-R-A,
4 which is to prevent seizures. It's an anticonvulsant. All
5 this time I have had her on numerous medications, but the four
6 basic ones are Keppra to prevent seizures, 500 milligrams
7 twice a day, Dopamax, 50 to a 100 milligrams twice a day to
8 prevent recurring headaches, Neurontin 100 milligrams up to
9 300 milligrams four times a day for chronic pain and for her
10 mood stabilization. Finally Xanax X-A-N-A-X, 2 milligrams
11 four times a day for extreme anxiety and panic. The most
12 important medicine in her mind is the Xanax because it helps
13 her to relax a little bit. She takes it on a daily basis.
14 She cannot sleep without it and she cannot think coherently
15 without it. She is complaint with her medications.

16 Q What does that mean?

17 A She is trusting to take the medication. She's a
18 very angry lady. I have seen her 20 times. She's mostly
19 angry with herself, a working person with her family who has
20 become totally dependent and lost her independence. She
21 cannot travel alone. She can only do minimal housework. She
22 can only concentrate for a short while. Lights and noises
23 bother her to the extreme. The technical term is phonophobia
24 and phonophobia, translation, bright lights annoy her. That
25 would not normally -- she has to wear sunglasses all the time

1 and noises annoy her. That's part of what we call the
2 post-concussion syndrome. A lot of her symptoms if not all of
3 her symptoms are related to a head and neck, spinal trauma.
4 She has unrelenting daily headaches. She takes numerous
5 painkillers for her medications over the counter in addition
6 to the medications I just mentioned. She has had a CAT scan
7 done a few times. A CT scan is an X-ray with a computer. The
8 letters stand for computerized tomography. They real legal
9 term, what's called soft tissue swelling. When she got hit
10 she had a big bump on her head, but the brain itself was
11 clear. She has had a few brain wave tests, what we call EEG,
12 electroencephalogram. None of them have been normal. They
13 either showed -- it's a measure of the spontaneous electrical
14 activity of the brain. The patient sits in a chair, they can
15 relax and wires are put on their head. They're connected to a
16 computer. They do not have to cooperate. They just have to
17 sit still and we know what normal brain waves are. There's a
18 thing called alpha waves in a relaxed adult in the posterior
19 portion of the head.

20 Q What does that mean?

21 A The back of the head, occipital region, sorry. And
22 she has never had alpha waves on two EEGs that I have done or
23 EEGs that were done in Lutheran or by another neurologist,
24 Dr. Hausknecht. None of her seizures have shown -- none of
25 her EEGs have shown bona fide seizure activity.

1 Q What's that mean?

2 A I have to explain that. Sorry. Okay.

3 Q Go ahead.

4 A I'm going to do a three-hour lecture in thirty
5 seconds. No. It's complicated. I want to give the headlines
6 only. I don't want to bore everybody.

7 A seizure, the term seizure or epileptic attack,
8 Epilepsy, refers to an electronic explosion in the brain or
9 short circuit in the brain. The brain has wires, thousands,
10 millions of wires with billions of connections, billions, and
11 it runs in a pattern. Just for me to move my finger just like
12 that takes thousands and thousands of wires and chemical
13 reactions and physical reactions to perform just to do that.
14 To speak takes that much more. A seizure or an epileptic
15 attack is where the electrical pattern goes haywire, short
16 circuit. That's the cause of a seizure. She's never had
17 seizures before. She's only developed them from 12/9/08 and
18 further. The EEG only measures the surface -- the wires are
19 put on the scalp and they measure the surface electricity.
20 They cannot and do not measure the electricity deep in the
21 brain. And the basic line is that one can have bona fide
22 seizures where there is an electronic explosion. The EEG does
23 not necessarily pick it up, record it. The EEG, the most
24 common finding is what's called slowing of the background,
25 which is what she's had on numerous EEG's.

1 Q What does that indicate?

2 A Brain damage, in layman's terms it indicates damage
3 to the cortex, to the part of the brain that generates
4 electricity. She has had an M.R.I. which was normal, but in
5 more recently had a specific M.R.I. with what's called DTI.

6 Q Did you refer her for that?

7 MS. SCIARETTA: Objection. Cumulative. We've
8 heard on DTI from other witnesses and --

9 THE COURT: Doctor, we've had other doctors
10 come in and tell us what DTI is, so if you would not go
11 through that part of your testimony.

12 You want to ask a question?

13 MR. RONEMUS: Let me just limit that.

14 Q Did you refer her for the DTI to Dr. Michael
15 Lipton?

16 A Yes, I did.

17 Q He testified yesterday morning in great length
18 about the tests he performed, so you don't have to cover all
19 that.

20 A Oh, okay. Sorry.

21 THE COURT: That's okay.

22 Q So just what's your understanding of what the
23 finding of the DTI M.R.I. was with respect to your care and
24 treatment of Karen Lariviere?

25 A Very briefly to a layman it can be hard to

1 understand how a head trauma can cause seizures and memory
2 disturbances and headaches and major personality changes when
3 the CAT scan is reported normal. If the CAT scan is reported
4 normal, to a layman the brain is normal. That's just not
5 true.

6 MS. SCIARETTA: Objection. This is --

7 THE COURT: Overruled. I'm going to allow this
8 far.

9 A There can be damage -- there is damage to the
10 wiring of the brain. It's called shear force damage where the
11 connections are damaged. This is not picked up on a routine
12 CTR M.R.I. It can be picked up with an M.R.I. with specific
13 engineering principals, which was talked about. I'm not going
14 to go into that. That explains to a layman and to a patient
15 why they may have seizures. They know they have headaches.
16 They may be confused. Their personality has changed. And the
17 doctor is looking at them and saying, but your CAT scan is
18 normal. That can be extremely frustrating. There's a very
19 simple explanation that goes on. I've watch her slowly,
20 steadily improve. She doesn't lay in bed all day. She tries
21 to walk around a little bit. She tries to do some minimal
22 housework, but she cannot go anywhere unattended. She has
23 frequent episodes where she feels like she's choking. She
24 feels like she's going to pass out. She has episodes where
25 she passed out. She has episodes where she has uncontrolled

1 shaking. Most of them respond to Xanax. Xanax is a muscle
2 relaxant, it's a tranquilizer, it's an anti-anxiety agent.
3 And I have her on a high dose of Xanax for an extended period
4 of time. That is not typical -- one does not like to keep a
5 patient on -- she's on up to 8 or 10 milligrams per day.
6 That's a high dose. And it should not be continued long term
7 except for the risks versus benefits. Every medicine you give
8 any patient, I don't scare if it's Tylenol or a narcotic, it's
9 benefits versus risks. The benefits to her, it helps her to
10 calm down. It helps her to relax. She gets a little bit of
11 sleep. The down side is she gets used to it and her body gets
12 used to it. It's a quality of life issue. Without this
13 medication this patient is much, much more miserable than she
14 is, has much more attacks, will have and has had many other
15 attacks or seizures, which is another point. And again very
16 briefly one can watch Ms. Lariviere have a shaking episode
17 where she shakes uncontrollably, I witnessed this in the
18 office, and she'll lean back and you can try and talk her out
19 of it or she may or may not hear you. The Xanax works to
20 control those. It doesn't abolish them, but it works to
21 control those. It -- the scientific issue is that a bona fide
22 seizure, is that an electronic explosion in their brain or is
23 that just a severe panic attack or severe anxiety attack? And
24 I can hear the argument from both sides. As a practicing
25 clinician I have to treat the patient, I treat them both the

1 same. To be blunt, she's being treated for both. She's on
2 Keppra to prevent these electronic explosions, but she's also
3 on Xanax for severe panic attacks. I'm a treating physician.
4 To me I'm treating both and I clearly believe she has both.

5 Q Do you have an opinion with a reasonable degree of
6 medical certainty whether those are a result of the blow to
7 the head she sustained from the bus accident?

8 MS. SCIARETTA: Note my objection.

9 THE COURT: Overruled.

10 A I have an opinion.

11 Q What's your opinion?

12 A By history prior to 12/9/08 she was working, raising
13 two kids, has been incapacitated physically, emotionally since
14 that time so to me there is a clear relationship between the
15 head trauma and the development of these symptoms. I treated
16 her for more than three years. I watched her somewhat
17 improve. I was hoping she would improve a lot more. She has
18 become a little more alert, a little more talkative. She can
19 concentrate a little better, but she's nowhere near the person
20 she was before, and that is very frustrating to the patient
21 and to the treating physician.

22 Q You mentioned personality changes. How does the
23 type of brain injury that she had affect one's personality?

24 A Yes. Again previously, it affects concentration
25 ability, it affects whether they smile or not, how quickly

1 they get upset, whether they get agitated or not. It affects
2 how they can recall things. Something that previously would
3 never have upset the patient may upset them very, very much.
4 They may find themselves yelling, screaming or agitated. And
5 usually it's to family members because those are the ones who
6 are caring for her or the patient more than they would be
7 before. And this has to do with damage in different areas of
8 the brain, frontal lobe mostly.

9 The front part of the brain is the executive
10 director of the human personality. It's where emotions are
11 controlled. When a baby learns to be potty trained or a baby
12 learns not to hit a sibling or a baby learns what the word yes
13 or no means, usually at eighteen months to two years, that is
14 the frontal lobe. That's where they become a mature adult.
15 That's the executive portion of the brain. And that clearly
16 has -- she has clinical manifestations of significant frontal
17 lobe damage. She cries uncontrollably. She'll cry for the
18 wrong reason. She'll smile or laugh inappropriately. She
19 will yell and scream for a minor point. She'll have a lot of
20 panic attacks. These are all manifestations of a traumatic
21 brain injury, TBI, and they are manifestations of structural
22 damage to the pain which I have been treating all this time.

23 Q You mentioned the side effects of Xanax. Do the
24 other medications that you prescribed, do they have potential
25 side effects as well?

1 A Well, yes. The main one is the Xanax as far as
2 tiredness, fatigue and dependency. The other medications have
3 the side effects of excessive fatigue, dizziness. They can
4 cause liver damage and kidney damage. They should be
5 monitored with blood tests. The least toxic of the four is
6 the Neurontin and that helps in chronic pain management and it
7 also helps as a mood stabilizer. All of the medications have
8 side effects, but there's I don't believe, my opinion, one
9 medicine alone would not improve this patient's quality of
10 life. I think this combination has given her a modicum of
11 improvement. I've suggested to her that we try other
12 psychotropic drugs. Translation I've suggested to her and her
13 family that we try different types of psychiatric medications
14 to help with more control of her emotions and more control of
15 her personality. And her answer is: I don't want to be a
16 zombie. I don't want to be spaced out. I want to do the best
17 I can. This is a personal decision. And it's trial and
18 error. There's no cookbook. It's not like the patient has a
19 pneumonia, give him penicillin; cardiac pain, give him
20 nitroglycerin. It's trial and error. This combination
21 somehow has worked up to a point. And she's certainly not
22 comfortable taking medication, but her life would be much,
23 much, more miserable without any medication at all. And
24 that's a personal decision between her and her family.

25 Q Have you recommended any type or do you recommend

1 any type of psychiatric or psychological treatment in the
2 future?

3 A The one word answer is yes. And a brief
4 explanation. I'm a neurologist. I do a lot of
5 neuropsychiatry. I treat a lot of depressed people. A lot of
6 Parkinson's patients, brain tumor patients, stroke patients
7 get depressed, have other emotional issues I treat, but I am
8 not a, quote, formal psychiatrist. I will not sit with
9 Ms. Lariviere for an hour, go over her childhood, her
10 relationship with her family, etc. That is very important in
11 such a patient to help her control her feelings and control
12 her emotions. And I've suggested that very strongly to her.
13 I've also suggested very strongly to her what's called
14 cognitive rehabilitation. They are special centers for
15 traumatic brain injury patients that help them to improve
16 their memory. This lady can only do simple calculations. She
17 cannot do complex calculations. If she goes to a store, even
18 with a family member, she doesn't know if she's getting the
19 right change. She'll have to think about it three or four
20 times. So there are exercises the patients can do to improve
21 their recall, improve their memory. And there's a formal
22 neuro-psyche process that should be done and this has not been
23 done, mostly for economic reasons.

24 Q Did you refer her to Dr. Greenwald at Mt. Sinai?

25 A Yes.

1 Q I want you to assume there was some testimony that
2 Dr. Greenwald had her see a psychologist, Akamatsu, at
3 Mt. Sinai and she had a short period of neuro-cognitive
4 counseling I guess you call it, and she said that that at that
5 time that was helping her and then that was cut off when there
6 was no way to pay for that. Is that something you recommend
7 she continue to have in the future?

8 A Oh, definitely. I can give you the poor man's
9 substitute for neuro-cog rehab, that's doing a Soduko puzzle
10 or crossword muscle. Ms. Lariviere cannot even attempt that
11 on the simplest -- Soduko or the simplest crossword because
12 she doesn't have the processing to do it. She has to be
13 trained to do that. That's obviously frustrating to her.

14 Q Is that something that would cure her of her brain
15 injury or is that something that would assist her in her
16 day-to-day living?

17 A In a very simple answer there is no cure. Part of
18 the damage she has sustained is permanent. Part of the
19 structural short circuitry of the brain is permanent, but the
20 patient -- she has recovered, she has made a partial recovery.
21 She's not laying in a fetal position in bed vomiting, yelling
22 and screaming and crying all day, but she's still not a
23 functional person as a mother, a wife and a worker. To a
24 layman the explanation that the brain is a bunch of wires with
25 electrical patterns seems very simplistic. The truth is

1 that's what it is, it's a very complex computer with millions
2 of fibers and billions of connections and hers had been
3 disconnected is the term we use. Some of it has partially
4 returned, but I'm watching her three years after an accident.
5 She's reached a plateau, a certain point. Yes, she can get
6 into a car. Yes, she still gets dizzy sitting in a car. No,
7 she's not going to try to drive a car because she's afraid she
8 will have a panic attack or a seizure, so she has a very low
9 level of function which to her and to her family is extremely
10 frustrating.

11 Q You mention that there was injury to her neck.
12 Let's talk about that briefly. Did she have injuries to
13 other -- other than her head at the time of the accident?
14 And, did you treat her for any of that?

15 A Yes. Briefly, she had significant neck and low
16 back pain following this trauma. Patients with direct head
17 trauma can and do have what's called flex extension history,
18 they either have forward or backward rapid movement or
19 roto-movement. They -- those are called para-spinal muscles.
20 She had severe cervical, neck and lumbar lower back spasm on
21 the first few visits. She went for some treatments.

22 Q When you say "spasm," what does that mean?

23 A Okay, spasm is tightness of the muscle. One can
24 feel that. If a patient has no spasm or tightness, one can
25 press on the para-spinals, rotate the head and the patient

1 will not complain. On the first few visits, minimal flexion,
2 minimal rotation of Ms. Lariviere's neck caused her to have
3 extreme pain. Increased headaches made her more do dizzy.
4 One of the reasons she stopped going for physical therapy even
5 though it might have temporarily improved the spasm of the
6 muscles, it made her much nor vertiginous, it made her much
7 more dizzy. That's true even to this day she still has
8 episodes of vertigo with any rapid movement.

9 Q Did you refer her to a doctor or physical
10 therapist?

11 A Dr. Licciardi. She went for a few sessions, not
12 many. I discussed that with her and she felt worse
13 afterwards. She just went home went to bed and didn't feel it
14 gave her any significant relief.

15 Q Have her neck and back symptoms improved over time?

16 A They have improved much more than her cerebral and
17 brain symptoms. They're not normal, but they have improved
18 much more than her memory, personality and speech problems.

19 Q Do you have an opinion with a reasonable degree of
20 medical certainty whether all the injuries you discussed were
21 the result of the bus accident of December 9, 2008?

22 A I have an opinion.

23 Q What's your opinion?

24 MS. SCIARETTA: Objection.

25 THE COURT: Overruled.

1 A I think that with a reasonable degree of medical
2 certainty there's a clear correlation between an injury and
3 her neurologic and neuropsychiatric deficits. It started
4 suddenly. It has been persistent. She has persistent
5 depression, anxiety, panic, concentration difficulties. She
6 never had that before. She has responded minimally to
7 numerous medications. She's still fearful of having seizures.
8 And I'm convinced with a reasonable degree of neurologic
9 certainty she has both panic attacks and electronic explosions
10 of the brain. And again I'm treating both. She's not happy
11 with the results over an extended period of time. She has --
12 again, the person that I saw a few weeks ago is not the
13 personality I saw three years ago. The personality I saw
14 three years ago had to be carried in my office or
15 wheel-chaired into my office. She can walk. She ask get
16 around. She can have a conversation, but she has turned
17 nowhere near back to baseline. And with a reasonable degree
18 of certainty this is induced by a trauma by an injury.

19 Q By the way have you been paid for these services
20 you're providing?

21 A Oh, the answer is the first few visits, yes.
22 There's about twenty visits and some testing. And then her
23 insurance, whatever, lapsed. I have been seeing her on a lien
24 basis, which means she has no way of paying me. I will get
25 paid if she gets --

1 THE COURT: Don't say that.

2 A I'm seeing her. If I don't get paid, I really
3 don't give a damn. I know the patients. I know her a long
4 time. I'm not not going to see a patient because they don't
5 have medical coverage.

6 Q Why are you treating her?

7 A I do it -- why am I treating her? I'm responsible
8 for her. She has some confidence in me. I trust her as a
9 patient. If I felt she was not reliable or if I felt --

10 MS. SCIARETTA: Objection.

11 THE COURT: Overruled. You may continue.

12 A If I thought she was not cooperating with me, I
13 would discharge her. She's tried very hard. Her family tries
14 very hard. She does have confidence in me. I could afford to
15 do a substantial amount of pro bono work, and I do. I don't
16 have to excuse why I'm seeing her.

17 Q I didn't mean that. I'm just asking.

18 A I see a lot of patients who don't have coverage.
19 Welcome to modern medicine in the United States today. I'm a
20 busy neurologist. Frankly, I don't get involved with billing.
21 I don't care what insurance people have. I have staff who
22 worries about that. And, yes, they yell and scream at me we
23 can't see this one, we can't see this one. I have no time for
24 that, and I don't make time for that. If that sounds -- I
25 don't know -- naive, too bad on me.

1 Q Let's talk about Karen's future.

2 Do you have and opinion with a reasonable degree of
3 neurological certainty what her prognosis is?

4 A Yes, sir, I do.

5 Q Tell us what that is.

6 A Briefly the definition of prognosis based on her
7 history, her physical exam, her lab data and my experience
8 with similar patients what I predict for the future for this
9 patient and in one word, her prognosis is poor. I'm hoping
10 for a slow, steady improvement. I have seen it, but as I
11 mentioned earlier, she has plateaued, she has not gone to the
12 next level. She can't go shopping by herself. She's afraid
13 to be in the house by herself. She has not reached the next
14 level of independence. And being that it's three years later,
15 three years plus later, her prognosis for any significant
16 future improvement in my opinion with a reasonable degree of
17 medical certainty is not good. She will be dependent on her
18 family for the remainder of her life. That's caused her
19 endless grief. She doesn't want to be babied by her
20 daughters, but they are supportive and they help a lot as well
21 as her husband and her other family members.

22 Q Do you have an opinion whether she will ever be
23 able to work again as a hostess?

24 A I have an opinion.

25 Q What's your opinion?

1 A I don't believe she will ever be able to work as a
2 hostess. I don't think she will be ever able sit at a cash
3 register to handle funds. I don't think she can concentrate
4 more than five or ten minutes on -- focus on one object before
5 her mind wanders.

6 Q Have you recommended that she not drive?

7 A Yes, I specifically recommend that she not drive.
8 She can go to an open field where there's no people and try
9 and see if she can go forward or backward. She cannot drive
10 in traffic and she cannot drive where there are other people.
11 It's way too dangerous.

12 Q Why is that?

13 A Whether she has a panic attack or if she has an
14 electric seizure, she does not have control of her vision, of
15 her thoughts and her coordination. She's not -- on a good day
16 she's not coordinated. She's losing her balance and has
17 vertigo. I would not allow such a patient to drive. I think
18 it's way too dangerous.

19 Q Have you recommended that she use a cane?

20 A Yes.

21 Q Why is that?

22 A Simple. She's had frequent falls. She will loss
23 her balance, especially with rapid movements. She cannot walk
24 where there's lots of people because the jostling, it's too
25 much sensory input, walking in the street of Brooklyn or New

1 York with lots of people coming and going is a huge amount of
2 sensory input that the brain has to decipher. She cannot do
3 that. She needs the cane for physical support and
4 psychological support. And, of course, she's embarrassed by
5 it, but I didn't give her a great alternative. I don't have a
6 specific pill for dizziness and for post-traumatic vertigo.
7 The pill is the cane.

8 Q You say that she has tried hard. Have you found
9 she's made good efforts to do whatever she can to rehabilitate
10 herself?

11 A I think the person she's most angry at is herself.

12 Q What do you mean by that?

13 A She would love to do more and she wants to do more
14 and can't and feels extremely frustrated. That has been true
15 since the first visit three-plus years ago.

16 Q Have you reviewed the lifecare plan that
17 Dr. Schuster recommended?

18 A Yes, I have.

19 Q I'm going to just ask you a couple -- well, do you
20 have that in front of you?

21 A Yes, sir.

22 Q And he recommended that she have part-time
23 assistance around the home. I think it's toward the end of
24 his report page 31 or 32.

25 A Well I agree with that. Frankly, she's afraid to

1 be for even a short while, she is afraid she will choke or
2 have an attack, and she needs someone to be there or at least
3 someone that can be immediately called.

4 Q So if he recommended two different types of
5 assistance around the home -- do you have those in front of
6 you?

7 A Yes, sir.

8 Q And do you agree with what he recommended?

9 A The companion housekeeper, yes, yes, and someone to
10 supervise her, yes.

11 Q Do you have an opinion with a reasonable degree of
12 medical certainty whether she will be on medications for the
13 rest of her life?

14 A I have an opinion.

15 Q What's your opinion?

16 A With a reasonable degree of medical certainty she
17 needs continued medications to prevent headaches, prevent
18 panic attacks, prevent seizures and to help stabilize her
19 mood. She could try different psychotropic drugs. There are
20 many on the market. This combination she has found most
21 tolerant and her body has gotten used to it. It's going to be
22 hard to change.

23 Q Do you have an opinion whether she will need those
24 for the rest of her life, those are some other similar
25 medications?

1 A I don't believe she will ever be without
2 medication. And if she is slowly withdrawn from this
3 medication, which would be the process that would take three,
4 six, nine months, this cannot be stopped abruptly. Her
5 quality of life would diminish dramatically if she -- that's
6 going to be the remainder of her life.

7 Q I want you to assume that if she had not had this
8 accident December 9, 2008 do you have an opinion whether she
9 would need the medications she's on now?

10 A She might need a Tylenol or Advil or migraine
11 medication PRN.

12 MS. SCIARETTA: Objection.

13 THE COURT: Overruled.

14 A She would use standard over the counter
15 medications. I don't believe she would need a physician.
16 She's not diabetic, she's not hypotensive, does not have any
17 chronic medical illnesses. She'd forty-something years old.
18 I don't think she would see a physician, unless she had a cold
19 or, you know, something like that.

20 Q Do you have an opinion within a reasonable degree
21 medical certainty that if she had not had this accident
22 whether she would be working?

23 A She would love to go to work tomorrow.

24 THE COURT: Overruled.

25 A She desperately wants to be the person she was

1 before. I don't think she ever will. I think she's really
2 realizing that now. The medicine helps her control her
3 thoughts about that, but that's extremely frustrating to her
4 and to her family.

5 Q Thank you, doctor.

6 I have no further questions.

7 THE COURT: Cross? --

8 Ladies and gentlemen, do you need a break?

9 THE JURORS: No.

10 CROSS-EXAMINATION BY MS. SCIARETTA:

11 Q Hi.

12 A Hello.

13 Q You told this court that you testified for the New
14 York City Transit Authority about a week ago.

15 A Yes, ma'am.

16 Q Would I be correct that you were hired through an
17 independent agency to do physical examines and that the
18 Transit Authority did not personally call you and say: Please
19 do this exam?

20 A That's a fair statement.

21 Q So that Juris Solutions, Inc. or Excellent
22 Management Services selected you?

23 A Correct.

24 Q That's the basis of how you came to do you say
25 maybe two examinations for Transit; right?

1 A Two appearances in the courtroom over the last six
2 months, that is correct, through that company if you will

3 Q Doctor, you did not know Ms. Lariviere before she
4 came to see you on December 22, 2008; correct?

5 A That is correct. That's the first time I saw her.

6 Q When she came to see you, you said that she was --
7 had to almost be carried in or something to that affect, a
8 wheelchair?

9 A You are talking three years ago, plus. Yes, she
10 had been given codeine in the emergency room and she had been
11 vomiting a lot and she actually needed help to walk into the
12 office, yes.

13 Q I want you to assume that she was seen by other
14 doctors before she got to you.

15 A Yes, I mentioned that.

16 Q Right. And with respect to the other doctors you
17 know that basically three days before she got to your office
18 or on December 19th she was seen by another neurologist named
19 Anthony Maniscalco. Are you aware of that?

20 A I know she saw Dr. Maniscalco. I just didn't know
21 what date. She told me that.

22 Q And that basically when she saw him, he did a
23 physical examination, a neurological physical examination?

24 A Okay. Okay.

25 Q And at that examination she was -- do you have his

1 records? Did you ever get a copy? Is that what are you
2 looking for?

3 A I know she saw Dr. Maniscalco. I don't believe I
4 have a copy of his consult.

5 Q Okay. It would have looked like this (indicating).

6 A I don't have it. I didn't review it in my records
7 and I don't have a copy of it.

8 Q Did you ever speak to him about -- because he's a
9 neurologist also, did you ever speak to him about what his
10 findings were just merely three days before she came to see
11 you?

12 A No, I did not.

13 MS. SCIARETTA: I'm just going to look through
14 the record that is in evidence.

15 May I, officer, please hand it.

16 Don't mix it up.

17 THE COURT: What exhibit number is this?

18 COURT OFFICER: Plaintiff's 2 in evidence.

19 THE WITNESS: 2.

20 THE COURT: Thank you.

21 Q Doctor, when a Subpoena was served on you buy the
22 New York City Transit Authority, specifically me, this is what
23 was returned to the Subpoenaed record room, I see you have a
24 much fatter file in front of you, is this the pertinent
25 information you want to give us --

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

MS. SCIARETTA: Objection.

THE COURT: Sustained. Approach.

(Bench conference off the record.)

THE COURT: Let's rephrase the question.

Q Doctor, I'm going to pass this up.

THE COURT: You want to mark that? Is it marked?

MS. SCIARETTA: It's marked already.

THE COURT: What is it marked as?

MR. RONEMUS: It's not marked.

MS. SCIARETTA: It's not marked yet. Whatever that was marked, this is --

THE COURT: No. They're going to be different.

MS. SCIARETTA: Counsel, can you please come here.

MR. RONEMUS: The last exhibit was 29. You want to mark it, mark it.

THE COURT: Let's mark this as Defendant's -- what are we up to?

MR. RONEMUS: A.

THE COURT: Defendant's A.

Q Doctor, Dr. Maniscalco, you have his record now in front of you?

A Yes, I do.

Q He examined the plaintiff on the 19th of December

1 and he -- do you see on the second page when he goes into the
2 neurological examination, do you have that?

3 A Yes, I do, I have it in front of me.

4 Q It says here under --

5 THE COURT: Is this in evidence?

6 MR. RONEMUS: Yes, Exhibit 2.

7 Q "The gate exam revealed normal heel, toe and tandem
8 walking. Romberg sign was negative." Now, when you described
9 to us three days later she arrived in your office, did you --
10 did you have the same identical findings of normal heel, toe
11 and tandem walking?

12 A Not at all. I agree with a lot of his findings,
13 but I vigorously disagree with some of his findings. I'm
14 going to go by my exam and I didn't find her to have -- he has
15 her walking normal, toe in front of her. She could not do
16 that when I saw her.

17 Q Did you review hospital records in addition to --

18 A A good chunk of this is the Lutheran records.

19 Q So then in the Lutheran record of April 19th, 2009
20 which encompassed about a three-day hospitalization did you
21 happen to read how they judged her gate and her ability to
22 walk without assistance?

23 A I wouldn't have an independent recollection. It
24 says she's alert.

25 Q When you go through the chart, doctor, if you would

1 be so kind to look at some of the entries made by the nurses
2 and --

3 A It says she needs outpatient treatment for mobility
4 and balance. That's on 4/20/09.

5 MS. SCIARETTA: May I approach, your Honor?

6 THE COURT: You may.

7 Q It says here "D.C." meaning discontinued --

8 A Discharge.

9 Q Okay, "for home. Outpatient with mobility and
10 balance." In that chart do you also see that her gate is
11 considered normal?

12 A I have "patient moving all extremities. Patient
13 follows commands" and a suggestion for physical therapy.

14 Q Can you see at the beginning of that chart through
15 the -- I believe it's in the emergency room record.

16 A I got it.

17 Q "Normal gate. No focal deficits." Do you see
18 that?

19 A No, but I will accept your word for it instead of
20 me hooking for it, normal gate, no focal deficits, I will
21 accept that.

22 Q So would you also agree, doctor, that since you
23 last saw the plaintiff that her gate has improved from the
24 date that you first saw her on December 22nd?

25 A Yes. I mentioned that a few times. She has some

1 improvement. Her gate has improved. She has been walking
2 independently. I have asked her to walk with a cane the first
3 time I saw her. She could not walk independently and
4 certainly could not do what's called tandem gate where you ask
5 the patient to put one foot in front of the other, not on my
6 first exam.

7 Q With respect to Dr. Maniscalco's further findings
8 he felt that her vertigo or her complaints of vertigo
9 according to his record dissipated when he did this Epley
10 maneuver, E-P-L-E-Y, and she had no vertigo, do you see that
11 on the page?

12 A Epley maneuver is twisting the patient's head in a
13 certain way to help improve balance in some patients. He says
14 she did have vertigo, she did have nystagmus, which means her
15 eyes were jumping, but that improved after he did a certain
16 maneuver. I will accept that. An improvement in the office
17 at that time, that's -- I will accept that.

18 Q Would you agree with him that vestibular, which is
19 therapy, will be performed if the symptoms persist?

20 A That's a very fair statement, vestibular therapy
21 will be performed in symptoms persist. I totally agree with
22 that.

23 Q Did you ever recommend that?

24 A Oh, sure. That's part of neuro-cognitive training
25 and part of the treatment for traumatic brain injury is not

1 just the mind, it's the balance, coordination and balance
2 training is very much a part of treating TBI patients. I
3 agree fully with that.

4 Q While we're -- just before we leave, this exhibit
5 when you reviewed the hospital record of April 19, 2009 did
6 you happen to read that in the emergency room record as well
7 in some of the consultations that there was no tongue biting,
8 because I think you said that she had bit her tongue?

9 A I'm reading from the emergency room records tonic
10 clonic with foaming. I will agree -- it says no tongue
11 biting. And I can -- I will agree that there -- foaming at
12 the mouth and tongue biting did look the same. It says
13 clearly with foaming, but then it says a few lines later "woke
14 up at the ER, no tongue biting."

15 Q Did you also read the ambulance call report where
16 she was alert in the ambulance and that she was -- could
17 respond to their questions?

18 A Yes. She had involvement put was responding to
19 their questions, correct.

20 Q Did you also read that she denied that she had
21 vomited when she reached triage?

22 A I have "patient vomiting at triage, denies fever."
23 I'm reading from the triage note.

24 Q The note before that, doctor.

25 A Would you point me to a page I will --

1 Q I will tell you what. We'll get back to that.
2 We'll move on and I will look into the record.

3 A Okay.

4 Q Now, when you --

5 A I apologize. This is a note, positive nausea, no
6 vomiting, positive nausea, no vomiting on a different note.

7 Q Doctor, when you see a patient are you -- part of
8 your office practice would be -- maybe you don't do it, but
9 people in your office do the billing; right?

10 A For sure.

11 Q And they have to write down codes for what the
12 diagnosis is?

13 A Correct.

14 Q And the information for the codes is given to them
15 by you or someone else?

16 A The information for the codes is based on my notes
17 and my dictations.

18 Q So that the first time did you -- do you have your
19 billing records in front of you, doctor?

20 A I have some billing records. If you give me a
21 date, I will find it.

22 Q The first day you saw her, December 22, 2008?

23 A Okay. I've got it.

24 Q Do you see the billing code there?

25 A I see numbers.

1 Q Is it 3788.12?

2 A I have 434.1, 388.12. There are multiple codes for
3 different symptoms. One is for headache. One is for vertigo.
4 One is for traumatic brain injury.

5 Q On this date, the first date you have two sheets.
6 One of them says noise induced hearing loss.

7 A Correct. That's 388.12.

8 Q And the other one says cerebral embolism with
9 cerebra?

10 A That for translation to layman is a stroke.

11 Q Did she have a stroke?

12 A Definitely not.

13 Q Do you have anymore billing records for this day,
14 December 22, 2008?

15 A Yes, I do. I have cervical radiculopathy, 723.4,
16 and cervical myofascitis 723.8.

17 Q So basically you have neck, you said noise induced
18 hearing loss and a cerebral embolism as the first visit?

19 A Correct.

20 Q Did she ever have noise induced hearing loss?

21 A Partially, yes, she has some hearing loss. It goes
22 along with her vertigo.

23 Q The noise?

24 A Noise aggravates her. She has phonophobia. She
25 does not tolerate loud noises.

1 Q It didn't say anything about any kind of traumatic
2 brain injury; correct?

3 A Correct.

4 MR. RONEMUS: Just so we're clear you are
5 talking about in the billing numbers?

6 THE COURT: That's what the questions were
7 about.

8 MS. SCIARETTA: Yes.

9 Q Now, in your office records you also write a note;
10 correct?

11 A I have many notes.

12 Q I know, but for each visit you do a note; right?

13 A Sure.

14 Q And on that date did you do any tests?

15 A Did I do any diagnostic studies on that date? Yes.

16 Q Do you have a note for that date or is that note
17 the --

18 A That's the typed report of 12/22/08. I have a few
19 hand scribblings of what I put down, but this dictation was
20 done that date.

21 Q Okay. Was this dictation made to go to an
22 attorney? Because it's on your letterhead and it says "Re:,"
23 and, "to whom it may concern"?

24 A "To whom it may concern," no specific attorney.

25 Q Would you normally do this versus these kind of

1 papers that you have your notes on?

2 A Oh, I have papers like that for every date
3 including 12/22/08, but I have three reports which you should
4 have a copy of which I sent you, 12/22/08, 4/24/09 and
5 11/10/09 which puts together my thoughts about the patient,
6 but I do have a separate handwritten note for every visit.

7 Q With respect to the December 22nd note, the report?

8 A Yes, ma'am.

9 Q -- her EOMs are full, what is that?

10 A That means her eye -- she can move her eyes left
11 right, up and down.

12 Q There's no nystagmus?

13 A Nystagmus is when the eyes jump. I did not elicit
14 any -- no.

15 Q No facial asymmetry?

16 A That means her facial muscles move normally and
17 tongue movements, from mid-line, her tongue movements were
18 normal.

19 Q You didn't put in this report, doctor, that she was
20 in a wheelchair; did you?

21 MR. RONEMUS: Objection.

22 THE COURT: Basis?

23 MR. RONEMUS: I'm not sure he testified she was
24 in a wheelchair that day.

25 THE COURT: I'm not sure he did either. Why

1 don't you ask the question differently.

2 Q Dr. Friedman, when she came into your office on the
3 22nd of December, 2008 you said she could hardly walk and she
4 was in a wheelchair?

5 MR. RONEMUS: Objection.

6 THE COURT: Sustained.

7 Q I didn't see that in the report.

8 THE COURT: Rephrase the question.

9 Q When you saw her was she in a wheelchair when you
10 saw her on the first time?

11 A I comment on her walking on page 2.

12 THE COURT: Ask the question being asked.

13 THE WITNESS: I'm sorry, your Honor. I missed
14 the whole point here.

15 Q Was she in a wheelchair on that date?

16 A No.

17 Q Did you just tell us she was in a wheelchair?

18 A She had to be helped to walk, and that's what it
19 says, the patient was not able to tandem walking. The patient
20 was not able to walk alternating on toes and heels. She
21 required assistance to get on and off the examination table.
22 That's on page 2. I didn't mention wheelchair. I mentioned
23 that she required assistance and could not walk independently
24 on the first visit.

25 Q Did you find it medically significant that only

1 three days earlier Dr. Maniscalco, a board-certified
2 neurologist found her able to walk tandem, walk heel, toe and
3 that in three days she's not able to do this for you?

4 A I didn't have Dr. Maniscalco's report that day, but
5 I will respectfully but vigorously disagree with him. He is a
6 colleague of mine and he's entitled to his opinion, but on
7 that particular point I will very vigorously disagree based on
8 my exam of that date.

9 Q While you're seeing Ms. Lariviere over the course
10 of these last three years does she give you a lot of what they
11 call self-reporting as far as what her condition is and what
12 her symptoms are, or do you get some of that information or
13 most of that information from professional colleagues?

14 A Most of the -- some of it is from her, some of it
15 is review records, but some of it is from her husband and
16 daughters. She's never been in my office without a family
17 member. And they will have certain complaints, and she will
18 have certain complaints.

19 THE COURT: Hold on a second. Changing of the
20 guard.

21 (Whereupon Jennifer Sampugnaro replaced Diane
22 Hughes-Glynn as the Official Court Reporter.)

23

24

25

Dr. Friedman - Plaintiff - Cross

1 Q Doctor, were you made aware, during the course of the
2 treatment of this patient, that no health professional has seen
3 another grand mal seizure like she claims to have had on
4 April 19th, 2009?

5 A Yes, ma'am. The April 19th episode was witnessed by
6 the husband who described it to the staff. She did not have
7 any further seizures at the hospital.

8 Q I want you to assume for the following question that
9 she has never had another seizure like that again. She has,
10 what she described to this jury and to the Court, these
11 triggered panic/anxiety attacks?

12 MR. RONEMUS: Objection.

13 THE COURT: Sustained. You are characterizing
14 these things in a way that she did not characterize them.

15 Q Have you looked at all the records that pertain to
16 seizure description?

17 A Well, I have the Lutheran records, and I have records
18 from other treating physicians. I also have my own
19 observations. I have seen some of her attacks, if you will,
20 generic term attacks.

21 Q Those attacks that you have seen, would you call them
22 grand mal seizures?

23 A No, there is no tongue biting. There is confusion.
24 There is confusion following the episode, but there is no
25 shaking, what is called tonic clonic involuntary movements.

Dr. Friedman - Plaintiff - Cross

1 There is movement, but it's not tonic clonic. It is not
2 violent muscular movements.

3 Q And she says that she is aware of these -- she calls
4 it seizures, but these movements while she is undergoing them?

5 A She generally responds to voice, yes, but it takes
6 her a while to come out of it.

7 Q And that sometimes she stutters?

8 A She stutters a lot intermittently, depending on how
9 tired she is and how frustrated she. She can do a few
10 sentences without stuttering, but I have never had her do a
11 full paragraph without having some type of speech impediment of
12 some kind.

13 Q Would you consider stuttering a type of a seizure?

14 A It can be. I don't think her stuttering is a
15 seizure.

16 Q With respect to panic attacks and anxiety attacks,
17 would you consider any of them to be a seizure or are they an
18 entity onto themselves?

19 A Yes, ma'am, I tried to explain that earlier. They
20 can be hard to tell apart. I believe most of her episodes are
21 panic disorder, severe anxiety. She gets muscle spasms. She
22 feels like she is choking. Palpitations, short of breath.
23 Feels the room spinning. She's had episodes where she fell
24 down.

25 All of those can be severe panic anxiety disorder

Dr. Friedman - Plaintiff - Cross

1 attack. They can be a manifestation of an electrical short
2 circuit of the brain, and even impossible for an experienced
3 neurologist to differentiate.

4 I mentioned a few times I am treating both. One of
5 the scientific ways to differentiate is to do what is called a
6 video EEG. Keep the patient in the hospital for 12 or 24
7 hours, hook them up to a brain wave monitor and video them at
8 the same time. That has not been done. I doubt it will ever
9 get done.

10 Even in those cases, you can have neurologists
11 arguing is it a real seizure or is it just a panic attack that
12 can look exactly alike. And I mentioned earlier one can have
13 an electronic explosion or short circuit and the surface EEG
14 does not record it. That is extremely common.

15 I am sorry if it sounds complicated. It is very
16 complicated. I just gave you the headlines.

17 Q Doctor, did you review the orthopedic record from the
18 end of December 2008?

19 A You are talking about Dr. Licciardi's records?

20 Q Right.

21 A I did not review his records. I don't believe I have
22 them.

23 Q Did you recommend Dr. Licciardi or did someone else?

24 A I recommended physical therapy. I recommended an
25 orthopedic evaluation. I suggested Dr. Licciardi, but I told

Dr. Friedman - Plaintiff - Cross

1 them that they should go where they are comfortable and where
2 they can get an appointment.

3 Q Did you ever get a verbal report from Dr. Licciardi
4 about her condition?

5 A A verbal, no, ma'am. Not that I recall.

6 Q So as you sit here today, you do not know what
7 Dr. Licciardi found as far as her physical abilities, her
8 abilities to walk?

9 A Correct.

10 Q Her complaints about --

11 A That is correct.

12 Q Were you aware, at any time up until taking the stand
13 today, that Dr. Licciardi's records say that on February 9th,
14 2009, he reported that she returned to work because of
15 financial reasons? Were you aware of that?

16 THE COURT: Are you aware that he said that?

17 Q Are you aware of that -- that that was alleged to
18 have occurred up until today?

19 MR. RONEMUS: Objection.

20 THE COURT: I think you need to make this
21 question clearer.

22 Q Doctor, prior to taking the stand, did anyone advise
23 you that there was a document in evidence where a doctor said,
24 Dr. Licciardi to be specific, that the plaintiff returned to
25 work and this is a note dated February 9th, 2009?

Dr. Friedman - Plaintiff - Cross

1 A I have no such knowledge, and I was never told such a
2 thing.

3 Q Doctor, did you ever ask her specifically about prior
4 bouts of anxiety?

5 A I have no history of any significant prior emotional
6 disease or seeing a psychiatrist or a psychologist.

7 Q Back in November of 2005, she was seeing her primary
8 care physician, Dr. Alrei and she complained of anxiety,
9 palpitations, sweating and that her eyes were a little bulging.
10 He did tests for thyroid. You have to say yes or no.

11 THE COURT: Is there a question?

12 MS. SCIRETTA: I am not there yet.

13 Q Doctor, did you ever confirm with the patient if she
14 had ever had symptoms that appeared to be anxiety related?

15 A No, ma'am.

16 Q Would that have been part of your history to know
17 when a patient presents to you with what you deem to be anxiety
18 and needs medication such as Xanax, that whether she had this
19 before?

20 A It would be very important to know if she was seeing
21 a psychiatrist or psychologist or going for therapy. If she
22 went to her family doctor and got 10 or 20 Xanax tablets five
23 years ago, it is a piece of history. I can't really call it a
24 very important piece of history. But, no, I did not have that.

25 Q Doctor, with respect to your chart, you went on to

Dr. Friedman - Plaintiff - Cross

1 see her again the end of December, December 29th, right?

2 A What year.

3 Q '08.

4 A Sure. That was my first follow-up visit.

5 Q And basically in that visit, she gives you subjective
6 complaints, right?

7 A She was crying. She had headaches. She could not
8 sleep. I had given her a drug called Lorazepam. I am reading
9 from my notes of that date. It did not help her sleep. She
10 had neck and back pain and her hands were numb. Those are her
11 complaints on that date.

12 Q When you saw her on that date, did it occur to you
13 that you would like to have this particular patient also seen
14 by a psychiatrist?

15 A Yes.

16 Q Did you ask her to see a psychiatrist on more than
17 one occasion?

18 A Yes.

19 Q Did she ever explain to you why she didn't follow
20 your directive or recommendation that she see a psychiatrist?

21 A Yes, economic reasons.

22 Q This is in the beginning of her treatment with you?

23 A That is correct. The first few visits.

24 Q Wasn't she still on No-Fault at this time?

25 A For sure she was.

Dr. Friedman - Plaintiff - Cross

1 Q And No-Fault would have paid for this?

2 A Very controversial. Not so simple.

3 Q Doctor, her husband was working at the time that you
4 saw her for this initially; correct?

5 A I don't have independent knowledge, but I believe he
6 was.

7 Q And when she first came to you, did she ever tell you
8 that she also had GHI insurance coverage?

9 A No, ma'am.

10 Q Did you ask her?

11 A I don't ask billing questions. I leave -- I don't
12 get involved in that even remotely.

13 Q So I want you to assume that she had GHI coverage, as
14 well as No-Fault coverage, at the time that you made the
15 recommendation to see a psychiatrist.

16 A Okay.

17 Q Knowing that, Doctor, were there any other reasons
18 that you can think of that she didn't follow-up with seeing a
19 psychiatrist at the time that you had suggested?

20 A No, ma'am. Yes, I actually have a copy of her GHI
21 card. So she did have some other medical coverage initially.

22 Q Would that have been significant to you, as a
23 treating physician, that you make a recommendation that appears
24 to go to actually your opinion that something else must be
25 going on here that I would like to --

Dr. Friedman - Plaintiff - Cross

1 MR. RONEMUS: Objection. That is not what he
2 said.

3 THE COURT: Sustained. You need to rephrase
4 that question.

5 Q Doctor, when you wanted her to see the psychiatrist,
6 was it because --

7 MR. RONEMUS: Objection. He didn't say he
8 wanted her to. He said it was a recommendation.

9 THE COURT: That is parsing a little too close.
10 Continue.

11 Q Doctor, when you recommended that she see a
12 psychiatrist, what -- for what reason do you think the
13 psychiatrist would have been of some assistance to this
14 patient?

15 A In laymen's terms, to help her talk out her problems
16 and to deal with her extreme frustration and anger.

17 Q When someone has an injury and you claim it is a
18 permanent injury to her brain; correct? Do you think that the
19 recommendation to see the psychiatrist would have made a
20 difference if the injury is basically organic and not something
21 that you can respond to in therapy?

22 A The answer is, I believe it would have helped her to
23 come. I did my best to help her cope with medication. I did
24 spend time speaking with her. I still believe she should have
25 formal psychologist or psychiatrist help for her to deal with

Dr. Friedman - Plaintiff - Cross

1 her problems. But would that have occurred here or would that
2 have dramatically changed the damage to her brain, no. It
3 would have helped her to deal with it with a reasonable degree
4 of neurological certainty.

5 Q If she claims to have short-term memory impairment?

6 A She definitely does.

7 Q Do you, sitting here as a trained neurologist with
8 some overlap in psychiatry, do you believe, Doctor, that that
9 intervention by a psychiatrist would overcome her feelings that
10 she claims are now related to a lack of short-term memory?

11 A I believe I was asked that before. You are talking
12 about a psychiatrist and a psychologist. I was asked before
13 about cognitive rehabilitation, cognitive neuro-psych
14 evaluation. There are special treatments for short-term memory
15 that have very little to do with a psychiatrist or
16 psychologist. I believe she would benefit from all of that. I
17 believe it would somewhat improve her quality of life. I don't
18 think it would change her requirement for medication. And I
19 don't believe it would change her requirement to see me on a
20 regular basis for medication control.

21 Q When you give medication such as Xanax and Keppra,
22 did you discuss with the patient what the side effects might be
23 so that they would be aware that, oh, I am having this feeling
24 or I can't remember or I am feeling dizzy?

25 A Very much so. I discussed that both with her and her

Dr. Friedman - Plaintiff - Cross

1 family members.

2 Q So when she reports to you her symptoms, did you try
3 to determine at that time, is it related to the high dose of
4 Xanax or the effects of Keppra or Topamax or Gabapentin or
5 anything like that?

6 A To rephrase your question in one sentence, did I
7 factor in the medications in her neurologic and neuro-psych
8 exam? Of course. And, again, risk versus benefits.

9 I spoke earlier about, yes, she is on four different
10 medications. I have seen her quality of life without the
11 medication. Her quality of life is substantially improved with
12 the medication. Can the medication be part of the reason for
13 her fatigue and memory problems, absolutely.

14 Q Could it be also part of the problems for her
15 dizziness?

16 A Partially yes.

17 Q How about fatigue?

18 A Yes.

19 Q And how about nausea?

20 A Yes.

21 Q Phonophobia?

22 A Phonophobia, I don't believe her medications in that
23 particular sub type, I don't believe her medications have any
24 relationship. If you want to show me the PDR on all the four
25 medications, there is no one medication in the PDR that cannot

Dr. Friedman - Plaintiff - Cross

1 cause phono and phonophobia. But I factor that in when seeing
2 the patient.

3 I have hundreds on Topamax, Keppra, et cetera. I
4 have never seen it cause phono or phonophobia. But again, that
5 is only one sub type of all the other things you mentioned.

6 Q How about poor concentration and poor cognitive
7 problems?

8 A Xanax alone can do that.

9 Q How about confusion and thinking abnormally?

10 A Forget the other three, Xanax alone can do that. The
11 other three are not famous for doing that.

12 Q You said you upped her dosage of Xanax; correct?

13 A Yes.

14 Q Would you say it went up to the upper limits as far
15 as what you felt comfortable in prescribing?

16 A Yes, I mentioned that early on. It is a high dose,
17 but it is her quality of life and her reaction to it. She does
18 not respond to a half milligram or to one milligram.

19 Q Did you see any increase in some of her complaints
20 because of the higher dosage of Xanax?

21 A No, I saw less anxiety and less palpitations and less
22 fear.

23 Q How about concentration and dizziness and confusion?
24 Did you see more of that because of the Xanax?

25 A No, ma'am. If anything, the reverse. I am following

Dr. Friedman - Plaintiff - Cross

1 this patient three years plus. She has become more alert, more
2 intelligent, more coherent. Again, I mentioned this earlier,
3 nowhere near her baseline, but I have watched her somewhat
4 improve over a three year period. I believe the medication has
5 helped her. Does it have side effects? Absolutely. Again,
6 you have to weigh the benefits versus risk.

7 Q You said more intelligent. Did you have any
8 verification of her pre-accident intelligence?

9 A Pre-accident intelligence, no. She is not a high
10 school graduate, but she worked two full-time jobs and was a
11 nanny. I don't have any IQ testing prior to 12/9/08. I do
12 have IQ testing following.

13 Q With respect to two full-time jobs and being a nanny,
14 couldn't that be done with somebody with a low to average
15 intelligence?

16 A Yes, sure. I said she is not -- I don't even think
17 she finished high school. To my knowledge, I don't believe she
18 is a high school graduate. I don't mean that in a negative
19 way, but those are the facts the way they are.

20 Q With respect to blood work, did you do blood work for
21 this patient?

22 A None.

23 Q With respect to blood work, would it have been
24 important to know what the therapeutic levels were of the
25 antiseizure medication?

Dr. Friedman - Plaintiff - Cross

1 A Yes, if she had further grand mal seizures. She did
2 not. And I kept her on a high average dose of 500 milligrams
3 twice a day. If the patient has break through seizures and has
4 major motor seizures, then the levels are important.

5 Levels are also important if you are worried about
6 patient compliance. This patient is very compliant based on
7 her family and herself.

8 Q Did you get reports from other doctors where she
9 would have or complain of symptoms that she related not being
10 related to missing the medication? Do you understand that
11 question?

12 A I missed that, Your Honor.

13 THE COURT: Could you rephrase.

14 Q Were you aware that she missed medication on
15 occasion?

16 A Yes.

17 Q That wouldn't be compliant, would it?

18 A That is so typical. It is four medications. I over
19 the years have gotten hysterical phone calls from the family or
20 from Mrs. Lariviere that she ran out of pills, to please call
21 the pharmacy.

22 I just got a call from a pharmacy last week that she
23 cannot come to the office, she's scheduled to come next week
24 and she needs a Xanax prescription. Knowing her, knowing her
25 family, I allowed it on the phone. That is a judgment call on

Dr. Friedman - Plaintiff - Cross

1 my part. Is that a problem with compliance? It is a very
2 blood and guts problem every day in practice.

3 Q Doctor, when you saw her in March of 2009, do you
4 have that billing slip?

5 A You are on a clinical note or a billing slip?

6 Q Billing slip.

7 A I will look for it. Go ahead.

8 Q You billed for the diagnosis and concurrent condition
9 of cervical radiculopathy?

10 A Okay.

11 Q You didn't list the other conditions that you were
12 treating her for?

13 A I didn't list ten conditions on every billing paper,
14 that is a fair statement.

15 Q Well, actually on the visit of April 24th, 2009 which
16 would be after her seizure and after her discharge from
17 Lutheran, you did not bill for seizure disorder?

18 A I have a billing note from 5/13/10 seizures.

19 Q I am just talking about the very next visit after her
20 discharge.

21 A I can't find it, but I will agree that that is a fair
22 statement.

23 Q Doctor, with respect to the EEG you spoke about, you
24 said you had taken an EEG in your office?

25 A I did an EEG on 12/22/08. First day.

Dr. Friedman - Plaintiff - Cross

1 Q Do you have the tracing in your file?

2 A Oh, no, I just have the report.

3 Q What happened to the tracing?

4 A Oh, the tracing is a large block of paper, two to
5 three times this. It is on file in my office.

6 Q And so if we wanted to see it, you would make it
7 available?

8 MR. RONEMUS: Objection.

9 THE COURT: Sustained. That is not a proper
10 question to the doctor.

11 Q Doctor, as part of the record of your medical
12 treatment of this patient, wouldn't it be a good medical
13 practice to keep the test results in your file so that anyone
14 who wanted to look at it could say, well, this verifies that
15 report?

16 MR. RONEMUS: Objection. There's been three and
17 a half years to look at it. If they wanted to look at it,
18 they could have looked at it. I object.

19 MS. SCIRETTA: This comment is not appropriate.

20 THE COURT: Approach.

21 (Whereupon a discussion was held off the record,
22 at the sidebar, between the Court and counsel.)

23 THE COURT: The jurors need a break. Let's take
24 a brief recess.

25 (Whereupon the sworn jurors exit the courtroom.)

Dr. Friedman - Plaintiff - Cross

1 THE COURT: All rise.

2 COURT OFFICER: Jury entering.

3 (Whereupon the sworn jurors enter the
4 courtroom.)

5 THE COURT: Let's continue.

6 Q Doctor, did you ever -- did you refer the patient to
7 Dr. Hausknecht or did she go to him through some other channel?

8 A I don't recall referring Mrs. Lariviere to
9 Dr. Hausknecht at all.

10 Q Did you ever speak to Dr. Hausknecht about your
11 patient?

12 A No, ma'am.

13 Q With respect to the first time you saw her, did you
14 do some tests, you said, besides the EEG?

15 A Correct.

16 Q With respect to the test of the transcranial doppler
17 report?

18 A Yes.

19 Q What was -- that was normal, right?

20 A Yes, ma'am, that was normal.

21 Q What was that supposed to show?

22 A That checks on the blood flow of the brain. It
23 checks the vessels and the flow. That was normal.

24 Q What about the evoke potential -- brainstem auditory
25 evoked, the BAER?

Dr. Friedman - Plaintiff - Cross

1 A BAER, that was also performed the same date. That
2 was normal.

3 Q What does that measure?

4 A That measures the electrical condition along the
5 cochlear and vestibular nerve, the hearing and the balance
6 nerve.

7 Q Now, I see that part of that test, well, that test
8 result is comprised of two pages, right?

9 A The whole test is that computerized sheet, yes.

10 Q That Nicolet Biomedical in Madison Wisconsin, did
11 they interpret the test for you?

12 A No, that is the name of the company that
13 manufactured. The interpretation is by me.

14 Q Now, with respect to the next test, the ataxia
15 vertigo?

16 A That is vestibular testing, special testing of
17 balance. That was performed 1/9/09, about three weeks later --
18 two weeks later.

19 Q Did you ever review the tracings of the EEG from
20 Lutheran Hospital that was deemed to be normal?

21 A I did not.

22 Q So what is it that you reviewed, the report?

23 A Sure.

24 Q And do you agree with the report?

25 A It's a report. It speaks for itself. Did I not

Dr. Friedman - Plaintiff - Cross

1 personally review the tracing? The only tracing I reviewed is
2 the one I performed in my office.

3 Q So that when that doctor said her EEG was normal,
4 would you accept that as accurate?

5 A I will accept it for a piece of evidence and put that
6 into the pot with all the other evidence, yes. But I did not
7 personally review the tracing.

8 Q Now, with your tracing, you said there was some
9 slowing of background activity which was minimal?

10 A Correct, minimal.

11 Q Now, there is something under motion artifact, motion
12 muscle artifact?

13 A Severe.

14 Q Would that have related to her movement or something
15 else?

16 A I will give you a textbook in two sentences. It can
17 be the computer, the patient, her hair. It could be the
18 electrode, telephone recording. That calls for a very long
19 lecture which I think I will bore the jury with.

20 The answer is, it could be many different things. It
21 can be the patient as well.

22 Q But it can be outside of the patient's brain? It
23 could be hair?

24 A Electrical interference, correct.

25 Q So, would that have been the basis of why you

Dr. Friedman - Plaintiff - Cross

1 indicated there was minimal cerebral dysfunction?

2 A No, the background is seen clearly. The artifact is
3 present. What the artifact would do is make it more difficult
4 to see any seizure activity, but the background activity being
5 slow can still be seen, even though there is artifact.

6 Q So you didn't see seizure activity when you --

7 A No, I specifically did not, correct.

8 Q Would you have liked to have had her go -- as far as
9 your evaluation of this patient and treatment of her, have her
10 go for a video EEG?

11 A I would love for her to go for a video EEG, but I can
12 tell you now that I am going to keep her on Keppra and Xanax,
13 regardless of the results because I have been burned both ways.
14 I have seen patients have a normal video EEG and have grand mal
15 seizures, and I have seen patients have terrible EEGs with all
16 kind of abnormal electrical patterns, and they have high level
17 executive functioning jobs.

18 You have to treat the patient and the data. It
19 doesn't mean that everyone with a normal EEG can never have a
20 seizure, but the reverse is true too. I have patients with
21 very pathological electrical patterns who function very well in
22 society. And that's why medicine is still a little bit of an
23 art, as much as it is a science.

24 Q Now, in this particular case because no medical
25 person saw the grand mal seizure --

Dr. Friedman - Plaintiff - Cross

1 MR. RONEMUS: Objection.

2 THE COURT: While it was happening.

3 MR. RONEMUS: There was a medical person who
4 testified this morning that she saw it happen. She worked
5 in a doctor's office for 25 years.

6 THE COURT: Rephrase your question.

7 Q No one at Lutheran Hospital, according to the record,
8 saw any evidence of seizure activity while in the hospital,
9 okay. Would you agree with that when you read the record?

10 A That's a fair statement.

11 Q You never saw a grand mal seizure?

12 A Correct.

13 Q Her other doctors did not report, according to Mrs.
14 Lariviere who was here on the stand, see a grand mal seizure?

15 A I will accept that.

16 Q And if you spoke to her husband, which you say you
17 do, and you get a history from him, he has never seen another
18 grand mal seizure like he did on April 19th, 2009; correct?

19 A Correct. He describes her becoming limp or floppy or
20 shaky, but not a grand mal seizure, correct.

21 Q And he also describes that he can talk her out of it?

22 A Correct again.

23 Q Wouldn't it be good practice to take this particular
24 patient who has had limited health care personnel observing
25 this seizure, and take her off her seizure medication and put

Dr. Friedman - Plaintiff - Cross

1 her in that lab where they would at least document that when
2 there are -- can duplicate a grand mal seizure and that they
3 will know exactly what part of the brain it is coming from?
4 Wouldn't that be a good medicine?

5 MR. RONEMUS: Objection as to who can duplicate
6 a grand mal seizure.

7 THE COURT: Well, I am going to allow the doctor
8 to respond to the question.

9 A Yes, Your Honor. I believe I have the question.
10 Would it be good practice to stop Keppra, put her in a hospital
11 and observe her?

12 Q To find out the other data.

13 A Fine, I hear your question. That is extremely
14 controversial. I personally would never stop her Keppra. She
15 tolerates it. It controls her episodes. Call the episodes
16 generic. I would not take the risk of stopping it and putting
17 her in a hospital.

18 You can be in a hospital for 12 or 24 hours. Someone
19 has to take responsibility when she goes home. That ends up
20 being me.

21 Number three, I am not here to confuse the jury. It
22 is a controversial area. Keppra the seizure medication happens
23 to also be used by psychiatrists as a mood stabilizer. I am
24 killing two birds with one stone. That is the reason I would
25 never consider stopping it and putting her in a hospital,

Dr. Friedman - Plaintiff - Cross

1 unless she wants to learn to be an airline pilot or something
2 and she has to have absolutely no seizures. That is far
3 fetched, but that would be a reason to put her in a hospital to
4 do it.

5 THE COURT: Wrap it up, counsel.

6 Q Do you know of a Dr. Robert April?

7 A Sure.

8 Q Do you know that he examined the plaintiff?

9 A Sure.

10 Q Were you -- did you read his report?

11 A I don't think so. I have Hausknecht. I have Mount
12 Sinai records. I have Dr. Greenwald's records. I don't have
13 Dr. April's report, but I was told that he examined the
14 patient.

15 Q Were you also told that in his opinion --

16 MR. RONEMUS: Objection.

17 THE COURT: Sustained.

18 Q Doctor, ever hear of a condition called psychological
19 non-epileptic seizures? Have you ever heard of that?

20 A PNES, very well described. None --

21 THE COURT: Are we wrapping this up or are we
22 going to a whole new area?

23 MS. SCIRETTA: We are going to wrap it up.

24 A One sentence, Your Honor.

25 THE COURT: I don't know that there is a

Dr. Friedman - Plaintiff - Cross

1 question.

2 Q You have heard of that?

3 A Yes.

4 Q After your examination of this patient, knowing her
5 medical history and her progress and what she complains of and
6 what other people who have lived with her or who live with her
7 have told you, would you consider as a diagnosis PNES,
8 psychological non-seizures?

9 A Yes, I would and treat it. It requires treatment.

10 Q Have you -- what is the treatment for that?

11 A Anti-anxiety agents. Antidepressants, tranquilizers.
12 Intense psychological therapy. Up to one-third patients who
13 come for EEGs have non-epileptic seizures. Up to one-third
14 depending -- it is 25 to 33 percent.

15 Q And in her case, would it be fair to say that she
16 does not have epileptic seizures?

17 A Impossible to say. I can't clinically say it.

18 MS. SCIRETTA: Thank you, Your Honor.

19 THE COURT: Redirect.

20 MR. RONEMUS: I have a couple questions, Doctor.

21 REDIRECT EXAMINATION

22 BY MR. RONEMUS:

23 Q Does it matter to you as you have testified, whether
24 it is a seizure or something else that triggers these episodes
25 that she's had? Does it matter to you in how you treat her and

Dr. Friedman - Plaintiff - Redirect

1 what her prognosis is?

2 MS. SCIRETTA: Confusing question.

3 THE COURT: Overruled.

4 A Without repeating myself, I am treating both. I
5 don't think scientifically we will ever know. I am not doing a
6 brain biopsy and I am not going to do recordings. We can put
7 leads into a patient's brain. She is not a candidate for that.

8 Bottom line is, I am treating both and I am
9 comfortable that way. I would like to see more improvement.
10 There hasn't been. I never object to a second, third and
11 fourth opinion, but I have watched this patient slowly come out
12 of her cocoon.

13 Q Have any questions asked by Ms. Sciretta or any
14 answers given by you, change your opinions that you gave to the
15 jury about the fact that she has the injuries that you have
16 described as a result of the accident?

17 A Of course not.

18 Q I am going to go over a couple records with you that
19 were asked by Ms. Sciretta. First of all, Dr. Maniscalco saw
20 her on December 19th, 2008, and I want you to assume that
21 Dr. Maniscalco's impression is the following. This is in
22 evidence. This is three days before you saw her.

23 A Yes, sir.

24 Q Mrs. Lariviere may have had a concussion without loss
25 of consciousness because of complaint of cognitive disturbances

Dr. Friedman - Plaintiff - Redirect

1 and headache. Certainly the headache is at least in part due
2 to blunt trauma of the head. At this time she is being
3 prescribed Clonopin .25 milligrams, three times a day, PRN and
4 refused Ibuprofen for pain.

5 Further evaluation recommended by him will include
6 EEG, an MRI of the brain, brain stem evoke potential, video EEG
7 testing. Physical therapy for the neck and shoulder is being
8 provided if necessary. Vestibular therapy will be performed if
9 the symptoms persist.

10 Is that impression consistent with your impression
11 and your diagnosis when you saw her?

12 A It is almost a twin.

13 Q Let's talk about Dr. Licciardi. You referred her to
14 Dr. Licciardi. He is an orthopedist?

15 A Yes, sir.

16 Q You referred her for her neck symptoms?

17 A Her spinal symptoms.

18 THE COURT: Is this in evidence?

19 MR. RONEMUS: This is in evidence. Exhibit No.
20 3. These are his records.

21 Q Did you refer her to him for treatment of the neck
22 and back pain that you described that she was having?

23 A Yes, sir.

24 Q I want you to assume that Dr. Licciardi has written
25 the following. The first time he saw her was December 29th, a

Dr. Friedman - Plaintiff - Redirect

1 week after you first saw her, 2008. Impression, status post
2 acute trauma in the cervical spine. Some early evidence of
3 left shoulder rotator cuff tendonitis and left sciatica.

4 Is that consistent with the orthopedic injuries that
5 you treated her for and you diagnosed?

6 A Yes, sir.

7 Q Then I want you to assume he saw her again on
8 January 6th, 2009. So about a week later. His diagnosis at
9 that time, the patient came in today because she is unable to
10 sleep. She was struck by a bus a few weeks ago and has been in
11 a lot of pain. I gave her a prescription for Lunesta.

12 Is that consistent with your diagnosis of her
13 injuries?

14 A Yes, sir.

15 Q I want you to assume he saw her February 9th, 2009,
16 about a month later. He wrote the following impression. The
17 patient's prior history and examination have been reviewed.
18 Mrs. Lariviere status post motor vehicle accident versus
19 pedestrian on December 9th, 2008. The patient continues to be
20 symptomatic with severe neck pain, usually accompanied by
21 severe headaches, especially in the occipital region.

22 She has been seeing a neurologist. That is you I
23 guess, right? She continues to have pain in her left shoulder
24 radiating into the left paracervical area. That is up in the
25 neck area?

Dr. Friedman - Plaintiff - Redirect

1 A Yes, sir.

2 Q Causing difficulty with overhead activities, sleeping
3 on her left side and carrying out activities of daily living.
4 The patient is left-handed. The patient continues to present
5 paresthesias in the left upper extremity. What is that?

6 A Abnormal sensitivity, numbness, tingling.

7 Q On examination of the left shoulder there is AC joint
8 tenderness. What is that?

9 A That means when you squeeze on a certain joint, the
10 patient is tender. It stands for acromioclavicular.

11 Q Is that consistent with the orthopedic injuries that
12 you treated her for?

13 A Yes, sir.

14 MS. SCIRETTA: Objection. That he treated her
15 for? He is not an orthopedist.

16 Q That you diagnosed in her?

17 THE COURT: Sustained. Rephrase.

18 Q Is that report of Dr. Licciardi consistent with your
19 findings?

20 A Yes, sir.

21 Q One other note, March 24th, 2009. I want you to
22 assume he wrote the following. She continues to be in
23 excruciating pain in the cervical region, more in the left
24 paracervical area, left shoulder burning sensation. She can
25 barely stand her clothes. She cannot tolerate either moist

Dr. Friedman - Plaintiff - Recross

1 heat or cold. Physical therapy worsens her symptoms. She ends
2 up with migraine headaches and throwing up after the ultrasound
3 and TENS machine. Is that what she reported to you?

4 A Yes.

5 Q Are these findings consistent with your findings of
6 her injuries after the bus accident?

7 A Yes, sir.

8 MR. RONEMUS: I have no further questions.

9 THE COURT: Recross.

10 RE CROSS EXAMINATION

11 BY MS. SCIRETTA:

12 Q Because she had complained about her shoulder injury
13 or shoulder pains, Dr. Licciardi had an MRI taken of her
14 shoulder. Were you aware of that?

15 A No, ma'am.

16 Q And the MRI report was normal, MRI of the left
17 shoulder. Did the patient plaintiff tell you that she
18 underwent an MRI and that it came back normal?

19 A No, ma'am.

20 Q There was no evidence of impingement, no rotator cuff
21 tendon tear. The glenoid labrum is intact. The biceps tendon
22 is in normal position.

23 A That's fresh information to me as I sit here now.

24 Q She is allergic to codeine, right?

25 A She was vomiting on her codeine, correct.

Dr. Friedman - Plaintiff - Recross

1 Q Were you aware that she gave that history at the
2 hospital as a known allergy, codeine?

3 A I just quote the patient on my first visit, on her
4 first visit. "If I take codeine I throw up."

5 Q Were you aware that after she had her accident of
6 December 8th, 2009, she took codeine and was -- and vomited?

7 MR. RONEMUS: Objection.

8 THE COURT: This is beyond the scope.

9 MS. SCIRETTA: Thank you.

10 THE COURT: You may step down, Doctor.

11 THE WITNESS: Thank you, very much, Your Honor.

12 THE COURT: Okay. Tomorrow morning?

13 MR. RONEMUS: Yes.

14 THE COURT: Ladies and gentlemen, this week
15 because I have my normal Thursday motion day and I also
16 have something to do in the courthouse Friday and Monday,
17 we are going to be off Thursday, Friday and Monday, but we
18 are going to be on tomorrow. At least tomorrow morning.

19 So I will see you tomorrow morning at 9:30 and
20 then you will have three days off and I will see -- well,
21 you will have five days off because you will have the
22 weekend. I will see you next after tomorrow on Tuesday
23 morning, okay. Good. 9:30. Always 9:30.

24 I know this is a long trial. Some of the length
25 of the trial is because I have these days that I have to

Dr. Friedman - Plaintiff - Recross

1 take off. I schedule things in advance and then a long
2 trial comes up and this gets in the way. So I apologize
3 to you for the time off, although I am sure you will enjoy
4 the time off. But it just makes it so that the trial
5 takes that much longer. But we can't do this without you.

6 I thank you for being here and for mostly being
7 prompt. And I think we are all working very hard to get
8 this done in as concise a way as possible. So, thank you
9 again. All rise rice. The jury may exit.

10 (Whereupon the sworn jurors exit the courtroom.)

11 THE COURT: See you tomorrow morning, counsel.


12 (Whereupon the trial is adjourned to Wednesday,
13 March 21, 2012 at 9:30 a.m.)

14
15 * * * * *

16 **Certified to be a true and accurate transcript of the foregoing**
17 **proceedings.**

18
19 
20 **JENNIFER SAMPUGNARO, RPR**

21 **OFFICIAL COURT REPORTER**

22 
23 **DIANE HUGHES-GLYNN**

24 **OFFICIAL COURT REPORTER**