

a-vm

## PROCEEDINGS

477

1 THE WITNESS: Robert Goldstein.  
2 THE CLERK: Spell your last name.  
3 THE WITNESS: G-O-L-D-S-T-E-I-N.  
4 THE CLERK: Business address.  
5 THE WITNESS: 2425 Eastchester Road, Bronx, New  
6 York and also 1123 Park Avenue, New York.  
7 THE CLERK: Thank you.  
8 MR. MALLAS: Your Honor?  
9 THE COURT: Yes.

10 DIRECT-EXAMINATION  
11 BY MR. MALLAS:

12 Q. Good morning, Dr. Goldstein.  
13 A. Good morning.  
14 Q. You were retained by my office to examine Christopher  
15 Peat; correct?  
16 A. That's correct.  
17 Q. Before we get to that, can you tell the jury are you  
18 licensed to practice medicine in the State of New York?  
19 A. I am.  
20 Q. And do you practice in any specific area of medicine?  
21 A. I practice plastic and reconstructive surgery. That's  
22 what my board specialty is and that's what I practice.  
23 Q. How long have you been practicing?  
24 A. I graduated from medical school in 1977. So I did a  
25 residency then went into practice after completing my residency

a-vm

DR. GOLDSTEIN - PLAINTIFF - DIRECT

478

1 which would be in 1984.

2 Q. And can you tell the jury a little bit about your  
3 educational background?

4 A. I went to medical school at Pennsylvania State  
5 University, graduated in 1977. From 1977 to 1982 I did a  
6 residency in general surgery at Montefiore Hospital. From 1982  
7 to 1984 I did a residency in plastic and reconstructive surgery  
8 also at Montefiore Hospital and Albert Einstein College of  
9 Medicine. Then I did a fellowship in hand micro surgery in  
10 1984. After completing that portion of my training, I was on  
11 the staff of Montefiore Hospital.

12 Q. And can you tell the jury a little bit about your  
13 professional background?

14 A. I was a full-time employee at Montefiore Hospital from  
15 1984 to about 1998. In 1998 I went into private practice pretty  
16 much in the same location. I've been on the staff at Montefiore  
17 Hospital Medical Center all of that time. In addition, I have  
18 privileges at Lawrence Hospital in Bronxville and Westchester  
19 Square Hospital in the Bronx. I have an academic appointment to  
20 Albert Einstein College of Medicine as an associate professor of  
21 surgery, division of plastic surgery. I maintain a both an  
22 active practice in treating patients and also have  
23 responsibilities and duties, you know, dealing with medical  
24 students and residents as part of my career.

25 Q. Now, earlier you said you're board certified. Can you

a-vm

DR. GOLDSTEIN - PLAINTIFF - DIRECT

479

1 tell the jury what that means to be board certified?

2 A. I'm board certified in plastic and reconstructive  
3 surgery. Basically what that means is it's a process where  
4 after you've completed a residency program, you have to take two  
5 examinations. The first examination is a written examination  
6 which is administered. After you complete the written  
7 examination and successfully pass that, you have to submit to  
8 the board a case list of what your practice profile activity is  
9 for about a year, 18 months. The board reviews that practice  
10 profile. If they accept the practice profile, then you take an  
11 oral examination in that specialty. Once you pass the oral  
12 examination, you are deemed board certified in that speciality  
13 and mine is plastic surgery.

14 Q. So you are board certified; correct?

15 A. That's correct.

16 Q. And now, you told us what hospitals you just touched  
17 upon, you also teach?

18 A. Yes. The main hospital I work at is Montefiore  
19 Hospital which is part of Albert Einstein College of Medicine.  
20 So as part of that we have a residency training program. We  
21 usually have four residents a year, about a total of eight  
22 residents. So I have teaching responsibilities to those  
23 residents either in the form of rounds or lectures, they work on  
24 cases with me in the operating room.

25 In addition, we periodically have medical students

a-vm

DR. GOLDSTEIN - PLAINTIFF - DIRECT

480

1 from the medical school that rotate through the service  
2 sometimes stay in my office and do things like that.

3 Q. Now, you said the area that your specialty is in  
4 plastic surgery and reconstructive surgery, can you briefly  
5 describe for the jury what those specialities are?

6 A. Well, plastic surgery is kind of a broad based  
7 specialty. It covers a lot of different sort of niches within  
8 that. There's the obvious thing such as, you know, liposuction  
9 and tummy tucks and breast enhancement, noses and faces. That's  
10 one aspect of it. The other aspect of that -- and I do those  
11 things.

12 The other aspects of it I deal with people who have  
13 been in various types of accidents, scar types of problems,  
14 burns is also a part of that reconstructive aspect. So I've  
15 dealt with in the past. Acute burns do more later  
16 reconstruction of burn injuries than the present time. There's  
17 also the reconstructive aspect of it such as breast  
18 reconstruction after a mastectomy. Skin cancer reconstruction  
19 after skin cancer surgery.

20 And the other aspect, which is kind of a particular  
21 interest of mine that's also within the practice of plastic  
22 surgery, is hand surgery dealing with injuries to tendons,  
23 nerves, bones, specifically in the hand. That's kind of an  
24 overlap with orthopedic surgery but it's something that I've  
25 been trained to do in this part of my practice.

a-vm

DR. GOLDSTEIN - PLAINTIFF - DIRECT

481

1 Q. Now, as part of your practice have you ever treated  
2 patients who have had severe burn injuries?

3 A. Yes.

4 Q. And have you ever treated patients as part of your  
5 practice who had severe burns with grafting?

6 A. Yes.

7 Q. Have you ever treated patients as part of your  
8 practice who had severe burn injuries, have grafting and  
9 scarring?

10 A. Yes.

11 Q. And have you been called to New York State court to  
12 testify on behalf of burn victims?

13 A. Yes.

14 Q. And have you testified on behalf of burn victims from  
15 the fire department?

16 A. Yes.

17 MR. MALLAS: Your Honor, I offer Dr. Goldstein as  
18 an expert.

19 THE COURT: So deemed.

20 Q. Now, moving on. You and I met before this case;  
21 correct?

22 A. Correct.

23 Q. And have you ever testified on my behalf before?

24 A. No.

25 Q. Now, are you being compensated for your time away from

a-vm

DR. GOLDSTEIN - PLAINTIFF - DIRECT

482

1 your practice?

2 A. I am.

3 Q. Now, let's get to your examination of Christopher  
4 Peat.

5 Did you examine Mr. Peat on behalf of my office?

6 A. I did. That was in February of 2009.

7 MR. MALLAS: Your Honor, I ask, with permission,  
8 that Dr. Goldstein be allowed to look at his report if  
9 necessary during the course of this examination.

10 THE COURT: If it refreshes his recollection, of  
11 course.

12 Q. And can you tell us when you examined Christopher  
13 Peat?

14 A. That examination took place on February 27, 2009.

15 Q. And in connection with that examination did you review  
16 any medical records?

17 A. I did.

18 Q. What medical records did you review?

19 A. There were a variety of medical records including the  
20 medical records from Jacobi Hospital where he was an inpatient.  
21 There were medical records from Burke Rehabilitation Hospital  
22 where he was a patient after his discharge from Jacobi. There  
23 was, I believe, an ambulance call report. There was quite a  
24 large stack of records. There may have been other things thrown  
25 in there. The bulk of it was taken from those things.

a-vm

DR. GOLDSTEIN - PLAINTIFF - DIRECT

483

1 Q. Now, can you tell the jury generally what your  
2 examination revealed?

3 A. Well, the purpose of my examination was to assess the  
4 injury that Mr. Peat had and look at the subsequent treatment  
5 that he had in terms of medical treatment and surgical treatment  
6 and rehabilitation treatment and piece together what his  
7 function -- what his complaints were and determine whether these  
8 complaints were consistent with the injuries that he had and  
9 whether there was any -- and to an extent what the prognosis or  
10 what the future would dictate in terms of dealing with the burn  
11 injuries and the grafting and the scarring that he has.

12 Q. And did you come to a determination whether or not  
13 Chris Peat did sustain burns and scars?

14 A. I came to a conclusion that he did.

15 Q. Can you tell the jury what BSA means or body surface  
16 area as it relates to burn victims?

17 A. Well, BSA does stand for body surface area. And when  
18 you're assessing someone who has burns to their body, the  
19 treatment that the patient is given is somewhat dictated by what  
20 percentage of their body is burned. So there are charts and  
21 guidelines that talk about what part of the body is and they  
22 ascribe a percentage to that and it's different for adults and  
23 in children because a child does not have the same proportion of  
24 their torso, their chest and their abdomen as opposed to their  
25 extremities as they grow up. So when you see someone who is

a-vm

DR. GOLDSTEIN - PLAINTIFF - DIRECT

484

1 burned in terms of how you resuscitate them with fluids, it's --  
2 there are formulas based upon what percentage of the body that  
3 is burned.

4 So, for example, in an adult an arm is 9 percent. So  
5 each arm will be 9 percent. That's 18 percent. Torso is the  
6 front is 18 percent, the back is 18 percent. Each leg is about  
7 18 percent. And if you have spattering of burns like all over  
8 the place where it doesn't involve one extremity, there is a  
9 guideline that says basically the palm of your hand is one  
10 percent of your body. So if someone, you know, has burns all  
11 over the place, you can kind of, you know, piece together what  
12 percentage of their body is burned.

13 Q. And did you come to a conclusion as to what portion of  
14 Chris' body was burned; what the BSA --

15 A. Well --

16 Q. -- was?

17 A. I didn't examine him when he was burned, that was from  
18 the medical records. But the medical records indicate that it  
19 was in the neighborhood of 50 and I saw some estimates that were  
20 a little bit higher but I would say that the majority of the  
21 medical records that he had a 50 percent total body surface area  
22 of burn.

23 Q. And there's different degrees of burns; correct?

24 A. Correct.

25 Q. And based on your examination, your review of the



a-vm

DR. GOLDSTEIN - PLAINTIFF - DIRECT

485

1 records, did you determine what the degree of burns Christopher  
2 Peat suffered?

3 A. The medical records said they were second and third  
4 degree burns.

5 Q. Now, I want you to explain the difference between  
6 first degree, second degree, third degree burns to the jury. I  
7 know we discussed it. We have a diagram here that you said will  
8 assist you in explaining to the jury.

9 A. It would be helpful I think.

10 MR. MALLAS: Your Honor, may I have this marked?

11 THE COURT: Sure.

12 MR. DOODY: No objection.

13 MR. WRIGHT: No objection.

14 MR. VAN ETEN: No objection.

15 MR. JONES: No objection.

16 MR. MALLAS: Plaintiff's 37 in evidence.

17 THE COURT: 37 into evidence. It's for  
18 demonstrative purposes.

19 (Whereupon, a diagram was marked as Plaintiff's  
20 Exhibit 37 in evidence.)

21 THE COURT: It's demonstrative evidence.

22 MR. MALLAS: Yeah, Judge.

23 THE COURT: It doesn't go into the jury room.

24 MR. DOODY: Okay.

25 THE COURT: Is he going to be explaining?

a-vm

DR. GOLDSTEIN - PLAINTIFF - DIRECT

486

1 MR. MALLAS: Yes.

2 THE COURT: Is he going to be standing up?

3 MR. MALLAS: Well, can he do it from the stand  
4 but then I will be blocking your view.

5 THE COURT: Well, if that's the case you can put  
6 the easel here and the doctor can come up here because it's  
7 easier for her.

8 MR. MALLAS: Okay. Perfect.

9 THE COURT: And anyone who wishes to go over  
10 there can. And, Doctor, you may step down.

11 Can everyone see?

12 THE JURORS: Yes.

13 Q. Can you explain to the jury the difference between the  
14 different degrees of burns, Doctor?

15 A. The degree of burns first, second and third degree  
16 relates to how deep the burn went. So it relates to the  
17 deepness, the depth of the skin. So this is a cross section of  
18 the skin. And the outer layer of skin is the epidermis. That's  
19 the part you see outside. Then there's a layer of skin called  
20 dermis and underneath the dermis is the subcutaneous fat and  
21 underneath the fat are muscle and bones and all the way down.

22 The epidermis and the dermis are different thicknesses  
23 in different parts of the body. It's thicker on the back and on  
24 the sole of the foot then it is on your face and your eyelids.  
25 So it's not consistent across the entire body. There's

a-vm

DR. GOLDSTEIN - PLAINTIFF - DIRECT

487

1 different thicknesses of these layers.

2           Essentially a first degree burn is the most  
3 superficial, it's a burn to the epidermis. It doesn't really go  
4 into the dermis. The best example of that is a sunburn. Skin  
5 turns a little red, hurts for a little bit, goes away in a  
6 couple of days. That's a first degree burn.

7           A second degree burn by definition is any burn that  
8 blisters. So you could get a second degree sunburn if you  
9 develop blisters but what that means is that the burn has gone  
10 through the epidermis and into some level of the dermis. It  
11 could be a miniscule amount, it could be a deeper amount but  
12 it's gone into the dermis and the reason it blisters is because  
13 fluids come out of the body and separate that burned skin from  
14 the healthy skin.

15           A third degree burn is a burn that goes through the  
16 entire thickness of the epidermis and the dermis down to the  
17 subcutaneous fat. And there are even fourth degree burns which  
18 is not in this case but it can go down involving the muscle in  
19 the bone in much deeper burns.

20           This diagram of the skin is also somewhat important in  
21 understanding how the burns are treated with skin grafts because  
22 how the skin grafts are taken relates to the epidermis and the  
23 dermis. But that's the categorization of burns.

24           Most burns are not pure. They're usually a mixture  
25 because a burn is related to the thickness of the skin, the

a-vm

DR. GOLDSTEIN - PLAINTIFF - DIRECT

488

1 temperature of the burning agent, and the length of time that  
2 the burning agent is in contact with the skin. And that's, you  
3 know, unless you put someone in an oven, that's not usually an  
4 even keel all across the body. So there's gradation in mixed  
5 first, second and third degrees burns.

6 Q. Now, you could have a seat, Doctor.

7 Now, Doctor, Christopher Peat suffered second degree  
8 and third degree burns; correct?

9 A. That's what the medical records show, yes.

10 Q. And when you examined Christopher Peat, did your  
11 examination reveal scarring because of these burns?

12 A. The examination showed scarring as a result of the  
13 burns and the skin grafting and the surgery that he had for  
14 taking care of these burns. There were no burns when I saw him.  
15 It was the result of the surgeries he had.

16 Q. And can you tell the jury what parts of his body he  
17 had burns on -- I'm sorry, that he had scarring on?

18 A. They pretty much involved, well, 50 percent of his  
19 body. So there were burns that involved his face, neck, chest,  
20 shoulders, arms, back, and he had scars from the donor sites of  
21 skin grafts on his legs and buttocks.

22 Q. I'm going to show you -- I'm going to hand to you some  
23 exhibits.

24 MR. MALLAS: And I'm handing to the doctor 13H,  
25 13G, 13F, 13E, D, C, B and A. So 13A through H, Your

a-vm

DR. GOLDSTEIN - PLAINTIFF - DIRECT

489

1 Honor.

2 Q. And I'm going to ask you, you can take either all of  
3 them, one of them or however you'd like and just explain to the  
4 jury what those pictures show and what areas they show scarring  
5 and just indicate.

6 A. This is 13H. It's a picture of his right and left  
7 thigh and portions of his right hand and forearm. There's areas  
8 of discoloration of the skin, what I refer to as hypopigmented  
9 skin, lighter skin and hyperpigmented skin, that's throughout  
10 here. On the thigh area in the leg these are actually not the  
11 burns these are the donor areas from where the skin grafts were  
12 taken. This is healed -- on his hand that's healed skin graft  
13 on his hand.

14 This is 13G and this is an area of hypo and  
15 hyperpigmented, you know, mild areas of the darken -- large dark  
16 and light skin. This is also, I believe, a donor area where the  
17 skin graft was taken on his thigh.

18 Q. What exhibit is that?

19 A. This is 13F. This is a photograph of his back,  
20 shoulders and neck area and this shows the healed skin grafts on  
21 his back. And in addition to the areas of color and pigmentary  
22 changes, the skin grafts are put through a process called  
23 meshing to expanded the skin grafts. And that's what all of  
24 these little square and triangles are that you see in the back  
25 over here. The skin grafts are meshed and I guess we'll talk

a-vm

DR. GOLDSTEIN - PLAINTIFF - DIRECT

490

1 about that later when we talk about the surgical procedure but  
2 that's why you see this sort of pattern there.

3 These whitish lines here are basically like seams  
4 where the sheets of skin grafts are abutted to each other as  
5 they are placed on the body.

6 This is 12E.

7 Q. 13E you mean?

8 A. Oh, 13E. 13E. This is the right side. Again, it  
9 shows meshed hypo and hyperpigmented skin graft. And it also  
10 shows in the area of the right armpit a band which we call  
11 contractual band that developed during the course of the  
12 treatment. This is like that area of tight skin that eventually  
13 had surgery on to have it released.

14 13D this is a photo of his face. It shows, again,  
15 hypo and hyperpigmented scarring involving his forehead, his  
16 eyelids, nose and lip areas.

17 13C is a frontal photograph showing from the forehead  
18 down to around just below the bellybutton. Similar type of  
19 thing with areas of hyper and hypopigmented skin graft and  
20 secondary, you know, burns also going down his arm.

21 13B is the right shoulder deltoid region, again, with  
22 the skin graft.

23 And this is 13A, this is the left side and armpit  
24 area. Again, with these modeled areas of hypo and  
25 hyperpigmented skin grafts.

a-vm

DR. GOLDSTEIN - PLAINTIFF - DIRECT

491

1 Q. And I want to ask you, these types of burns that we  
2 discussed, the second and third degree burns, and even these  
3 procedures that we will get to in a moment, is that painful?

4 A. Well, the burns themselves are certainly painful. You  
5 know, even a sunburn, you know, is painful because you're --

6 Q. This is a lot worse than a sunburn; correct?

7 A. Well, both in its extent and its depth. The burns are  
8 painful because you're -- you know, there's nerve endings in the  
9 skin. The surgeries to remove the burned skin and the skin  
10 grafts also are painful.

11 Q. Now, this scarring that you showed and pointed out to  
12 the jury in the photographs, is it consistent with the scarring  
13 that was revealed during your examination?

14 A. Yes.

15 Q. Now, let's talk about your examination. Other than  
16 what you've already told us and I ask that we go through it,  
17 we'll start with the head and work our way down.

18 Can you tell us what your physical examination showed?

19 A. Well, the -- when I examined him after he told me what  
20 his complaints and problems seemed to be, I looked at basically  
21 started at his head and worked my way down in somewhat of a  
22 sequential fashion. So there were, as you saw in the  
23 photographs, on the facial area areas of pigmentary changes.  
24 Some hypo some hyperpigmentation around his nose and his ears  
25 that were the result of the healed burns. He didn't really have

a-vm

DR. GOLDSTEIN - PLAINTIFF - DIRECT

492

1 any skin graft to those areas.

2 There was an area in particular around the ear where  
3 there was some loss of contour and shape of the ear. And also  
4 around the lip area there were burns that he sustained around  
5 the lip area that kind of obliterated or effaced the junction  
6 between the normal pink part of the lip and the surrounding  
7 skin. That's actually called the wet mucosus. He had like this  
8 blending of pigmentary changes that went from his lips onto his  
9 face.

10 There was some scarring around the nose area.

11 Then extending down to his chest and his back, there  
12 were wide areas of, you know, lighter skin, darker skin in a  
13 model pattern that had this meshed or little boxes in it from  
14 the areas that had the skin graft and these were on his neck,  
15 his chest, his back, his shoulder, his arms extending all the  
16 way down to his hands.

17 When I examined his hand, there was some issue in  
18 terms of not cosmetic but functional changes in the area of the  
19 hand. In particular what I noticed was in the fourth finger of  
20 the left hand -- I'm sorry, the fifth finger the left hand, he  
21 had what we call it a mallet finger which was a contracture or a  
22 bending of the distal joint of the little finger that was a  
23 sequella of the burns. He also had some obliteration of the web  
24 spaces between the digits. --They were not normal because the  
25 burns extended onto the top of the hand and onto the -- between



a-vm

DR. GOLDSTEIN - PLAINTIFF - DIRECT

493

1 the digits. There was also some tightness of the skin in a  
2 contracture between the thumb and the index finger that I noted.

3 Let me just see. The right elbow he was -- had a --  
4 from the burns here this right arm, the right elbow, he was not  
5 able to bring the arm completely straight. Straight would be  
6 180 degrees and I noted that he had lost about 30 degrees from  
7 the 180 degrees getting it straight.

8 Q. And why is that, Doctor? What were you basing that  
9 loss of range of motion?

10 A. Well, I didn't have -- the loss of range of motion is  
11 either related to a problem with the joint and the ligaments of  
12 the elbow itself which I did not assess. I didn't do an x-ray  
13 or an MRI. Or it was somewhat related to just the scarring in  
14 the skin itself that was preventing the full extension of the  
15 elbow.

16 Q. That's something that certainly needs to be addressed;  
17 correct?

18 A. It will need to be addressed at some point in time.

19 Q. I'm sorry, Doctor, I cut you off.

20 A. I think that pretty much covers the -- you know, the  
21 findings that I had at the time of my examination.

22 Q. Now, with the hands, were there any functional issues  
23 with the hands?

24 A. Well, as I mentioned he had what we call a flexion  
25 deformity of the little finger, we call that a mallet finger.

a-vm

DR. GOLDSTEIN - PLAINTIFF - DIRECT

494

1           There was -- I use the term it's called an induction  
2       contracture between the thumb and the index finger. Normally  
3       you can get the thumb at about 90 -- right angle will be 90  
4       degrees with the index finger, his was brought in. That is a  
5       hinderance in terms of trying to, you know, grab things whether  
6       it's a -- anything from a glass, to tools, work instruments,  
7       things like that.

8           The contracture of the little finger in terms of what  
9       that might inhibit you from doing it often helps -- it will  
10      prevent you from grabbing things intensively because you cannot  
11      a get around larger objects. It could interfere even with  
12      simple things like just putting your hands in your pocket  
13      because the finger bent in gets in the way.

14           Q.    Now, Doctor, what are arthralgias?

15           A.    That's just a medical term for pain or discomfort, you  
16      know, you can have joint arthralgias, you can have muscle aches  
17      and pains. Just a general term for aches and pains.

18                   (Continued on next page....)

19  
20  
21  
22  
23  
24  
25

JD-B

R. Goldstein, M.D. - Plaintiff - Direct

495

1 Q. And you saw that term in the medical records?

2 A. Yes.

3 Q. And when you examined Chris, did he present with pain?

4 A. Yes.

5 Q. And what is hyperhidrosis?

6 A. That is a term that means problems with sweating, not  
7 being able to sweat completely, and this is not an uncommon  
8 complaint in patients that either have burns or have had skin  
9 grafts.

10 Do you want me to explain it?

11 Q. Yes. Please explain what its effects are, too, what  
12 it is and what its effects are.

13 A. Amongst a lot of things, the skin has a function of  
14 regulating body temperature. It does this by allowing -- by  
15 changing the blood vessels in the skin so that allowing heat to  
16 be released or constricting, allowing heat to be preserved.

17 The other thing is the skin secretes oils that are  
18 important for lubrication of the skin and just making the skin  
19 flexible and pliable, and so when you have a patient who has  
20 burns or a patient who has had burns and skin grafts, when you  
21 start moving around the skin you don't transport all of these  
22 oil-secreting and lubricating glands that are in the skin, and  
23 the ability of the skin to temperature regulate is not normal,  
24 so often patients who have skin grafts and burns will have  
25 issues with skin that is dry, that cracks, that constantly needs

JD-B

R. Goldstein, M.D. - Plaintiff - Direct

496

1 to be moisturized or lubricated with some type of moisturizing  
2 or lubrication, because they don't have these oil glands in  
3 their skin, which is part of normal skin.

4 The other thing is that it's a common complaint that  
5 they don't sweat, so they are sensitive to cold and sensitive to  
6 heat. They don't have the ability to thermal regulate their  
7 body to the same degree as someone who has normal skin, so they  
8 become sensitive to extremes of temperature.

9 Q. And based on your examination, your review and your  
10 analysis in this case, did Chris suffer from hyperhidrosis?

11 A. He had told me that these are issues that he has and  
12 it's consistent with the injury that he had and the skin grafts  
13 that he had.

14 Q. Now, Doctor, can you tell the jury what decreased  
15 sensory appreciation is?

16 A. That has what -- that relates to the body's ability to  
17 touch things and know that -- what you're touching. You know,  
18 you pick up a pen, you know it's a pen. You go into your pocket  
19 to pick up some coins or keys, you know what they are because  
20 your mind knows what you're touching on your fingers.

21 Skin grafts and burnt skin often injure the nerves  
22 that allow that to happen. It's not that these nerves are  
23 completely wiped out and that the patient has no sensation at  
24 all. It's just that they're kind of messed up.

25 Q. Does Chris suffer from decreased sensory appreciation?

JD-B

R. Goldstein, M.D. - Plaintiff - Direct

497

1           A.    Yes.

2           Q.    And there is a term we discussed as part of this  
3 trial. I want you to explain to the jury what that is.

4                    You've heard of a Jobst dressing, of course?

5           A.    Yes.

6           Q.    Can you tell the jury what Jobst dressing is?

7           A.    Basically, Jobst is the manufacturer. It's an elastic  
8 dressing and, you know, they can be fitted for the face, they  
9 can be fitted for the chest, they can be fitted for the legs.  
10 They can be fitted for the arms, and what -- why we put people  
11 in Jobst dressings, especially after skin grafts, is that you  
12 want to maintain as tight a fit of the skin graft to keep it in  
13 contact with the body so that it doesn't form keloids and  
14 hypertrophic scars.

15                    So, it's basically like a very form fitted body suit  
16 that usually is -- sometimes you don't have to -- you get them  
17 off the shelf, but often they're measured and custom  
18 manufactured for the person to keep compression on the area of  
19 the skin grafts.

20           Q.    Is it uncomfortable?

21           A.    Well, they're not pleasant. I mean, it's like wearing  
22 a girdle that goes from your -- if you wear them on your face  
23 down to your toes. It depends on the body.

24                    They're hard to get on because they're form fitting.  
25 You have to -- if it's hot out, it's worse. They're less of a

JD-B

R. Goldstein, M.D. - Plaintiff - Direct

498

1 problem in winter weather, and they're just tight fitting. It's  
2 like wearing a tight-fitting garment all the time.

3 Q. Before I go on and go over some surgeries with you,  
4 these injuries that Chris Peat suffered on July 1, 2003, were  
5 they life threatening?

6 A. Yes.

7 Q. Can you tell the jury why they were life threatening?

8 A. Well, people die from burns, and especially when you  
9 have a fifty percent total body surface area burn, there is  
10 certainly a mortality associated with that. And the reason that  
11 they're life threatening is a number of fold.

12 In the beginning, over the first, you know, couple of  
13 days after the burn, there are huge losses of fluid that the  
14 body just pours out because one of the effects of skin is to  
15 maintain fluid balance in the body. So when you have  
16 fifty percent of your skin burned and injured you have huge loss  
17 of fluid. It's very akin, you know, if you fell and banged your  
18 knee, your knee swells up. Well, imagine that happening to  
19 fifty percent of your body all at one time.

20 So, the initial treatment for burns is what we call  
21 fluid resuscitation, and that is giving large volumes of fluid  
22 to maintain pulse and blood pressure and stabilize the patient.

23 In addition, in this particular case, he also had a  
24 burn in a close space, so there is what we call products of  
25 combustion that he inhaled, not only carbon monoxide, but all

JD-B

R. Goldstein, M.D. - Plaintiff - Direct

499

1 the things that were burning, the polyurethane. Whatever was  
2 burning in the apartment he was inhaling, so there was  
3 inhalation injury.

4 Because of this he required ventilatory support with,  
5 you know, first putting a breathing tube down him and then  
6 changing that to a tracheostomy, but without that that would  
7 have been, you know, potentially life threatening.

8 In the long term, going further on, one of the  
9 effects, one of the major jobs of the skin is to prevent  
10 infection. There are all kinds of organisms that are normally  
11 on the skin. However, they don't get inside the body because  
12 the epidermis is a barrier to that. If you take away the  
13 epidermis, you have lost that barrier.

14 In addition to losing that barrier, in the -- in  
15 treating him he had what we call lines. He had a lot of lines.  
16 He had lines for giving him intravenous fluids. He had lines  
17 for monitoring urine output. He had lines for monitoring blood  
18 pressure. These are all foreign bodies that are portals to get  
19 infected, and he did have episodes where he had what we call  
20 sepsis, where there was bacteria and yeasts and fungi that were  
21 in his system, and that is like the third way that patients can  
22 succumb to their burn injuries.

23 Q. Okay. Now, I want to talk now about some of the  
24 medical records, and let's talk about the ambulance first, and I  
25 want you to assume that there has been testimony that there is

JD-B

R. Goldstein, M.D. - Plaintiff - Direct

500

1 evidence that Christopher Peat was on fire for approximately six  
2 minutes or so. Okay? And that he was ultimately extinguished  
3 by the fire department. Then he talked -- he testified here  
4 that when he was in the ambulance, he felt like he was on top of  
5 charcoal.

6 Can you explain to the jury why that is?

7 A. Well, the simplest explanation, he's -- in the course  
8 of this injury his clothes caught fire, so his clothes were  
9 burning on his body while the underlying skin was getting  
10 burned.

11 You know, you have to put out fire. And even after  
12 you put out the -- you know, put out the actual fire itself,  
13 unless you remove the clothing that that still can be hot, or  
14 unless you then wrap the patient in cold, cold water, which can  
15 be a double-edged sword, because you don't want to lower the  
16 patient's body temperature, you can't just throw them in an ice  
17 bath. You have to do it in a way that doesn't lower their body  
18 temperature.

19 The skin doesn't just go to normal temperature in a  
20 snap. It would be akin to, you know, you take something off,  
21 you know, off a stove or off an oven, a piece of, you know,  
22 something. You heat a piece of meat, you put it on the counter,  
23 it doesn't go to room temperature immediately. It takes time to  
24 get to that temperature.

25 So that is why it would not be unusual for someone to



JD-B

R. Goldstein, M.D. - Plaintiff - Direct

501

1 have the sensation that their skin is still hot and still  
2 burning.

3 Q. Okay. Now, I want to talk about the admission to  
4 Jacobi Hospital. Okay? And we discussed the testimony.

5 I want to go over some of the surgeries for the jury,  
6 and is it fair from your review of the records that Chris had  
7 over a dozen procedures in Jacobi Hospital?

8 A. Yeah. I think it was either thirteen or fourteen.

9 MR. MALLAS: And, Your Honor, I'd like to give  
10 Dr. Goldstein Plaintiff's Exhibits 4A, 11A, 10A, 8A and 7A,  
11 so he can use them to explain along with the surgeries.

12 THE COURT: Yes, certainly.

13 (Whereupon, the exhibits are handed to the  
14 witness.)

15 Q. Now, if we can start with July 1, 2003, he underwent a  
16 procedure on that date; correct?

17 A. Yes.

18 Q. Okay. And I am not going to even attempt to pronounce  
19 the name of that procedure. Can you tell the jury what he  
20 underwent on that date?

21 A. It says July 1st he underwent what is called  
22 escharotomies of both of his upper extremities. That was when  
23 he was immediately admitted to the hospital.

24 Q. Can you explain to the jury what an escharotomy is,  
25 what its function is, what it does?

JD-B

R. Goldstein, M.D. - Plaintiff - Direct

502

1           A.    When skin is burned it forms what is called an eschar,  
2           and that is just a term for burnt skin that has lost -- it's the  
3           term for a full thickness burn, a third-degree burn that has  
4           formed a leathery type of nonyielding burn.

5           So normal skin, like on the hand is, you can pinch it  
6           (indicating). It moves. When you have a third-degree burn it  
7           becomes like shoe leather. It's very nonflexible, noncompliant,  
8           and it shrinks as it burns, just like anything else that is  
9           cooked shrinks, because, you know, you're moving, you're taking,  
10          first of all, the fluid out of the tissue and you're denaturing  
11          the protein, so it shrinks.

12          When you have a burn to an extremity, an arm or leg,  
13          and even to some degree the chest, and it's 360 degrees around,  
14          this contracture, or the shrinking of this tissue, will  
15          eventually strangulate the circulation. It will be enough to  
16          close off the veins, then close off the arteries, and can lead  
17          to gangrene of the extremity.

18          So, the simplest thing to do to prevent that, and this  
19          is something that usually has to be done within the first four  
20          to six hours after this, is you cut the eschar. You just make a  
21          slit in it. It pops open, and it takes away this unyielding  
22          shoe leather type of scenario to allow circulation to be  
23          established.

24          Q.    Do any of the exhibits demonstrate that? And, of  
25          course, tell us which exhibit you're referring to, Doctor.

JD-B

R. Goldstein, M.D. - Plaintiff - Direct

503

1           A.    This is 11A, and this is a picture I believe of his  
2   left arm. This is the hand. This is sort of around the elbow.  
3   This is going up towards the shoulder.

4                   And this is kind of -- this doesn't look like normal  
5   skin. This is a whitish, grayish third-degree burn of skin, and  
6   this cut here, going from here to here, and also into the  
7   fingers, is the escharotomy, and what you can see is that  
8   nothing has been removed here. It's just a cut, and then the  
9   skin pops open. And the whole purpose of that is to allow the  
10   arteries and the veins to supply blood to the extremity.

11          Q.    Now, on July 4, 2003, he underwent a procedure;  
12   correct?

13          A.    Yes.

14          Q.    Can you explain to the jury what procedure he  
15   underwent on July 4th?

16          A.    Well, what he had on July 4th was one of many  
17   procedures that are called either debridements or burn scar  
18   excisions. What you call them is really, you know, not all that  
19   important.

20                   But what -- the next goal in treating a burn patient  
21   is to get rid of the burned skin that is there and start to  
22   replace it with either your own skin or artificial skin, you  
23   know, in some type of sequential manner.

24                   So on July 4th, he underwent what is called a  
25   debridement, and basically a debridement is a surgical procedure

JD-B

R. Goldstein, M.D. - Plaintiff - Direct

504

1 for removing the dead tissue.

2 Q. Can you tell us the different ways that that tissue  
3 can be debrided?

4 A. It could be done with a scalpel, where it's cut away.  
5 It could be done with an electric cautery, where you use an  
6 electrical device to remove it.

7 Q. How is that electrical device used to remove it?

8 A. Basically it's an electrical current that has a  
9 cutting tool on it that allows -- that cuts through the tissue  
10 to remove it. It works very similar. It's like an electrical  
11 knife in a sense.

12 The other way is what we call tangential incision.  
13 Tangential incision, I will try to explain, basically is using a  
14 special type of surgical knife. It's not an electrical knife.  
15 It's a stainless steel knife that cuts through the skin at a  
16 tangent. So, if this is the skin, you're cutting through it at  
17 a tangent. Instead of going straight down, you're cutting  
18 through it this way (indicating).

19 And the goal is that you take little layers of the  
20 dead skin off, almost like if you were cutting cheese with a  
21 cheese, you know, slicer.

22 You look for signs that you have come to viable or  
23 alive tissue. And you know that by seeing little dots of  
24 bleeding. So, you might work on an arm or a chest and start  
25 cutting away, you know, dead skin, and when you start to see

JD-B

R. Goldstein, M.D. - Plaintiff - Direct

505

1 little dots of bleeding you know that you have come to an area  
2 where that skin is alive, and that is a potential bed to then  
3 put a skin graft on it.

4 So, the debridements, the tangential incision, the  
5 burn incision, these are all kinds of different ways of  
6 accomplishing the same thing, and that is getting rid of the  
7 burn tissue and preparing it for a skin graft.

8 Q. Okay. And now we will go over them, not each one  
9 individually, but he had numerous -- over the course of a couple  
10 of months, he had numerous debridements; right?

11 A. Well, yes. You can't remove -- you can't remove all  
12 the burned tissue at one time. First of all, you don't have  
13 enough skin graft to cover it. If he is burned fifty percent of  
14 the body, you can't take the other half of the body and use it  
15 to cover that. You have accomplished very little.

16 The other thing is each one of these procedures takes  
17 time. They're done under general anesthesia and also,  
18 importantly, there is -- can be a fair amount of blood loss  
19 during each one of these procedures, so you have to be guided by  
20 how the patient is doing with the surgery, what their blood loss  
21 is, what their fluid requirements are, and you kind of tackle  
22 them in geographical areas.

23 You might work on the chest one day, then an arm  
24 another day, the back another day, the leg another day. You  
25 know, you have to set up a plan, and you try to execute that

JD-B

R. Goldstein, M.D. - Plaintiff - Direct

506

1 plan to get the burn removed and the skin graft there.

2 Q. And then on July 6, okay, he underwent another  
3 debridement; correct?

4 A. Yes.

5 Q. This time it was of the right arm and the neck;  
6 correct?

7 A. Yes. It says the right arm, neck, and he had skin  
8 grafting to the right arm and neck, and they took it from the --  
9 skin grafting from the right thigh.

10 Q. Can you explain to the jury, I know we have touched  
11 upon it, what skin grafting is?

12 A. It's basically taking a piece of skin from one part of  
13 the body and putting it on another part of the body where you  
14 need the skin. And, well, you might say to yourself how does  
15 that help, you're just trading one wound for another.

16 But if you remember when I talked about the epidermis  
17 and the dermis, if you're taking just the epidermis and a slight  
18 thickness of the dermis, that dermis from where you took the  
19 skin graft will heal itself in a matter of, you know, seven to  
20 fourteen days, so you actually have the ability of going back at  
21 some later time and taking more skin from that same area if you  
22 need it. So, you know, if someone has an eighty percent burn,  
23 you can go back to these areas. And then you have artificial  
24 skin that you can use periodically.

25 The other thing that is done with the skin grafts, it

JD-B

R. Goldstein, M.D. - Plaintiff - Direct

507

1 goes through a process called meshing, where you -- skin graft  
2 comes off as a sheet. You know, it's sort of a rectangular  
3 piece of tissue. And by placing that tissue through a device  
4 called a mesher, you can increase the surface area of it.

5 Usually, it's one and a half to one, so you can then  
6 take that skin that's gone through a mesher. It makes it like  
7 an accordion, and you can stretch it. So, if you take a piece  
8 of skin that is three inches by two inches, you can cover an  
9 area that is greater than three inches by two inches. You just  
10 get more bang for the buck.

11 Q. Now, that is called a homograft; correct?

12 A. No, that is called autograft.

13 Q. That means it comes from your own body?

14 A. Own body.

15 Q. Then there is allografts; correct?

16 A. Correct.

17 Q. That means the skin comes from someplace else?

18 A. We actually use the term homograft. Homograft is skin  
19 from a tissue bank. It's human skin that's tested and  
20 preserved, and you get it from a tissue bank.

21 Q. And then Chris Feat also had artificial skin; correct?

22 A. Yes.

23 Q. Okay. Can you tell us what type of artificial skin  
24 was used and what the purpose of using artificial skin is for?

25 A. They used two products on him. One is something

JD-B

R. Goldstein, M.D. - Plaintiff - Direct

508

1       called Integra. The other is something called Alloderm.

2               Integra technically is not skin. It's a manufactured  
3       product. It's kind of a neat product in the sense that it's  
4       bilaminal, meaning it has two layers. It has an outer later of  
5       a very fine silicone sheeting, and then it has an inner layer  
6       which is a manufactured matrix. It's not tissue. It's a matrix  
7       that allows the body to ingrow into it.

8               The purpose of it is you can put that on an area of  
9       burn and the silicon sheeting acts like an artificial epidermis.  
10      It has an effect of preventing bacteria from getting in, and it  
11      keeps fluids in the body. And what usually -- the way it's done  
12      is that after the matrix gets attached to the body, you can peel  
13      off the silicon sheeting and then take a very, very thin graft,  
14      usually an epidermal graft, not even dermis, and put it on this  
15      matrix.

16              So, it's a product that allows you to go to the shelf,  
17      take it off and supply artificial epidermis and dermis for a  
18      period of time.

19              Alloderm is a different product. Alloderm is actually  
20      obtained from human tissue, and it's the dermis of human tissue  
21      that has been denatured and treated in a way to not be rejected  
22      from humans so that it can be used as an artificial skin.

23              And sometimes Alloderm will actually take as normal  
24      skin, and you do not have to put a skin graft on it. Sometimes  
25      you have to also add an epidermal graft to it.



JD-B

R. Goldstein, M.D. - Plaintiff - Direct

509

1 But those are the two products that were used.

2 Q. I'm not going to go over each surgery, but, you know  
3 Chris had, like we said, more than a dozen procedures from  
4 July 1st until August 22nd; correct?

5 A. Yes.

6 Q. And this included debridement to various parts of his  
7 body; correct?

8 A. Yes.

9 Q. Chest, back, neck, shoulders, arms?

10 A. Yes.

11 Q. Legs?

12 Even the face had debridements; correct?

13 A. He had another procedure that really had nothing to do  
14 with debridements and skin graft. He had placement of a vena  
15 cava filter.

16 Q. I was going to get to that. But why don't you tell  
17 the jury what that is?

18 A. He had a problem with developing blood clots, which  
19 are not totally uncommon in anyone who has been immobilized, and  
20 he had blood clots in his leg.

21 Now, blood clots in your leg are a problem, but the  
22 real danger is if the blood clots come from the leg, go into the  
23 artery in the abdomen called the vena cava and then travel to  
24 the lung. That is what is called a pulmonary embolism.

25 A pulmonary embolism can be fatal, because if this

JD-B

R. Goldstein, M.D. - Plaintiff - Direct

510

1 blood clot travels to the lung, then the heart can't pump blood  
2 into the lungs because it has a blockage.

3 Normally, these are treated with anticoagulants.  
4 However, in someone who you're taking down to the operating room  
5 to do these debridements, you can't put them on anticoagulants  
6 because they'll just keep on bleeding, so they put in the vena  
7 cava filter that essentially is like a strainer that sits in the  
8 blood vessel to prevent any blood clots, if they were to break  
9 off from the legs, traveling into the lungs.

10 Q. And if you don't put this in and this blood clot --  
11 deep vein thrombosis, is that another name for it?

12 A. Yes.

13 Q. And you have the pulmonary embolism that you just  
14 explained, --

15 A. Yes.

16 Q. -- Chris could have died; correct?

17 A. Well, pulmonary emboli, depending upon their size,  
18 could be -- they can -- at the very least will cause chronic  
19 lung disease, because you have broken off a piece of the lung.

20 If they're large they can lead to death. So, there's  
21 a range, you know, but anywhere from some shortness of breath to  
22 you can't breathe at all.

23 Q. And just getting back to the surgeries now, Chris also  
24 had skin grafting on multiple sites of his body, correct,  
25 including basically his whole upper torso; correct?

JD-B

R. Goldstein, M.D. - Plaintiff - Direct

511

1 A. Yes.

2 Q. Parts of his legs; correct?

3 A. Yes.

4 Q. And he also had areas of the legs that there was  
5 harvesting?

6 A. Yes.

7 Q. Okay. Now, these procedures are done under  
8 anesthesia; correct?

9 A. They are.

10 Q. So at the time the procedure is done, it's not  
11 painful; right?

12 A. No. He's asleep. He's under general anesthesia for  
13 the procedure.

14 Q. Afterwards, are these conditions painful?

15 A. Most definitely.

16 Q. Now, these -- during his stay at the hospital, we  
17 already said that he required multiple blood transfusions;  
18 correct?

19 A. Yes.

20 Q. Then you also said that he developed some infections.  
21 You mentioned sepsis and candida?

22 A. Yes.

23 Q. Can you explain to the jury what these infections are?

24 A. Well, he had bacterial infections that were documented  
25 and he had candida, I believe possibly other fungal infections

JD-B

R. Goldstein, M.D. - Plaintiff - Direct

512

1 that he developed, and this happens because when you have burns  
2 your skin barrier is destroyed and your immune system is knocked  
3 out.

4 He was on a lot of different antibiotics. Now, the  
5 antibiotics are useful for fighting the bacterial infections,  
6 but they allow for what we call opportunistic infections,  
7 meaning normally someone isn't going to get a yeast infection in  
8 their blood and a fungal infection in their blood unless their  
9 immune system has been wiped out or unless they're on a lot of  
10 antibiotics that allow these other things, these yeast and fungi  
11 to grow. Hence, we call them opportunistic.

12 And it's very akin to, you know, for -- possibly the  
13 women in the jury can relate to you're put on an antibiotic for  
14 a urinary tract infection and the next thing you know you have a  
15 vaginal yeast infection. It's that type of thing.

16 The antibiotics allow for the fungi and yeast to grow,  
17 so you need the antibiotics and, you know, you just have to deal  
18 with what their -- what the complications are.

19 Q. Now, we have the medical records from Jacobi in  
20 evidence, and I want you to assume he was on ventilatory support  
21 from July 1, 2003 to August 21, 2003.

22 Can you tell the jury what ventilatory support is?

23 A. Basically, it's being put on a respirator. A  
24 breathing tube is put into the trachea, and it allows for oxygen  
25 to be delivered to the lungs, and it's necessary (a) because he

JD-B

R. Goldstein, M.D. - Plaintiff - Direct

513

1 had an inhalation injury because of the inhalation of the,  
2 quote, products of combustion that were there. In addition, he  
3 was on -- because of his constant trips back and forth to the  
4 operating room, and in a method to manage his pain, usually he  
5 was placed on a number of fairly strong doses of narcotics, all  
6 of which suppress the breathing.

7 So to keep his oxygenation and his breathing supported  
8 he was placed on a respirator, and at some point in time instead  
9 of putting a tube through his mouth into the breathing tube,  
10 they did a tracheostomy, where they put a tube above the  
11 breastbone directly into the trachea. It's easier and usually  
12 more comfortable for the patient than having some tube coming  
13 out of their mouth.

14 Q. That is one of the lines that you were talking about,  
15 where the incision had to be made; right?

16 A. Correct.

17 Q. I want you to assume the records show at some point he  
18 was discharged and went to Burke.

19 Before I talk about Burke, I want to talk about when  
20 he went back to Jacobi Hospital in November. Okay?

21 And can you tell the jury what a scar contracture --  
22 I've been practicing to say that right -- release surgery of the  
23 right axilla is?

24 A. I believe I showed you in one of the photographs that  
25 he had this band of tissue around the armpit area. The armpit

JD-B

R. Goldstein, M.D. - Plaintiff - Direct

514

1 and axilla are the same thing. The axilla is the armpit.

2 So, because of the burns or because of the skin graft  
3 or because of the combination of both, he developed a scar  
4 contracture that prevented him from elevating or releasing his  
5 shoulder. So, he came back to the hospital, I believe it was in  
6 November or December of that year, to have surgery to release  
7 that contracture.

8 THE COURT: Okay, we are taking a break.

9 THE COURT OFFICER: All rise. The jury is now  
10 exiting.

11 (Whereupon, the jury exits the courtroom.)

12 (Whereupon, there is a recess taken.)

13 (Continued on the next page...)

14

15

16

17

18

19

20

21

22

23

24

25

AH:C Dr. Goldstein - Plaintiff - Direct

515

1 THE COURT CLERK: Remain seated.

2 THE COURT OFFICER: Jury entering.

3 (Whereupon, the jury enters the courtroom.)

4 ( TIME NOTED: 12:10 p.m.)

5 THE COURT: Have a seat.

6 THE COURT CLERK: Dr. Goldstein, I remind you that  
7 you remain under oath, sir.

8 MR. MALLAS: Before we on to Burke, and I  
9 neglected, as we're going over the procedures, if you could  
10 use the photographs to explain some of the procedures that  
11 we spoke to the jury?

12 Not that one. We've already gone over those procedures?

13 A Um, this is Exhibit 10-A. And this is a photograph of  
14 what looks to me like his back. This is being up here, his head,  
15 the back of his arm, and this is the area of the back after  
16 removal of the burn after the surgery in preparation -- I don't  
17 know the exact timing of this photograph, this would seem to me  
18 either in preparation for a skin graft.

19 This is kind of what it looks like after the burn has  
20 been removed.

21 Q And those are the areas which you talked about, about  
22 the spotting and the blood?

23 A Yes. Yeah, like you're looking for these like little  
24 spots of blood.

25 This is 8-A. And, again, I don't know the timing of

AH:C Dr. Goldstein - Plaintiff - Direct

516

1 this. This is also his back, his head is up over here, his  
2 buttocks is down here. This actually looks to me either to do  
3 one of two things; this could have been the integra in place,  
4 after the integra was in place. Because to me this has sort of  
5 a shiny sort of appearance to it, in preparation for the removal  
6 of that silken outer sheathing in placement of the skin graft.

7 It's hard for me to tell exactly where in the course of  
8 the events this was taken. Clearly, there is his back after the  
9 burn tissue has been removed.

10 And this is 7-A. 7-A. And here he's lying on his  
11 back, head to the right, feet to the left. And, again, the area  
12 of excise burn on the lateral aspect of his chest/arm/shoulder  
13 area.

14 Q Now, I wanted to talk about burn rehabilitation, we're  
15 discussing.

16 He had -- you looked at those record, correct?

17 A Yes.

18 Q He had occupational therapy, correct?

19 A Yes.

20 Q Tell the jury what occupational therapy is?

21 A It's a type of therapy that kind of deals with, to a  
22 large extent, of activities of daily living; letting a patient  
23 do things like eating, getting in and out of bed, getting in and  
24 out of a car, working with utensils. Using, you know,  
25 toiletries, using a commode. The kind of -- it's not really



AH:C Dr. Goldstein - Plaintiff - Direct

517

1 like going back and working at a job, it's a type of therapy  
2 usually geared to getting you back into your home environment  
3 and how are you going to deal with stairs and getting in and out  
4 of a car and, you know, walking and things like that.

5 Q And also physical therapy?

6 A Yes.

7 Q Can you tell the jury what that is?

8 A In general, physical therapy really concentrates on  
9 developing increased strength, increased range of motion. You  
10 know, dealing with areas that you know have contractures. And  
11 some strengthening exercises. And it's geared more towards  
12 particular areas of the body.

13 So, you might work, you know, concentrate on a shoulder  
14 or a hip or a leg or a knee, back. That sort of thing. The kind  
15 of -- they're not, you know, one doesn't preclude the other.  
16 They kind of do overlap. It's just that they're designed to  
17 address a little bit different aspects of how you function on a  
18 day-to-day basis.

19 Q So, as such, they were teaching them how to do things  
20 and how to build him up physically to do them?

21 A Correct.

22 Q Also, you're not going to touch upon it really at all.  
23 He also received counseling at Burke, correct?

24 A Yes.

25 Q Now, I'm not going to go over all the Burke records,

AH:C Dr. Goldstein - Plaintiff - Direct

518

1 we'll be here until next Thursday. But, I want to touch on just  
2 some various things and which is part of 3-A, B and C talk about  
3 heterotopic ossification of both elbows. Can you tell the jury  
4 what that is?

5 A Well, ossification in the simplest form is calcium  
6 deposits. The elbow is the elbow. So, for reasons that I don't  
7 think are totally understood, burn patients will sometimes get  
8 heterotopic ossification.

9 Heterotopic means calcification in a place they  
10 shouldn't be. You know, you should have calcifications in your  
11 bone, but you shouldn't have calcifications in the soft tissue  
12 of your elbow.

13 So, you know, we see this often where -- and it might  
14 be related to hemorrhaging in the muscle or around the joint or  
15 ligaments. I don't really know the exact answers to why it  
16 occurs. But, it's not unheard of and not unusual for burn  
17 patients to get this heterotopic ossification and particularly  
18 around the elbow. And that could create a problem in terms of  
19 mobility and flexibility and range of motion of the elbow. And  
20 sometimes they do require surgery for incision. Sometimes they  
21 can be handled with therapy. It really depends on what the  
22 patient's needs are and how they progress.

23 Q Now, also, again, I'm going to go through some various  
24 entries and actually explain some of them.

25 I'm reading from inpatient note of September 10th,

AH:C Dr. Goldstein - Plaintiff - Direct

519

1 2003. And one of the things they said talks about transfers:

2 Patient performed ambulatory transfer from wheelchair  
3 to rolling walker with contact guard.

4 A Contact what?

5 Q Guard.

6 Can you explain to the jury what that?

7 A I'm not sure what you mean by contact guard. But,  
8 basically, as part of his therapy they are trying to get him to  
9 be able to be self sufficient in a home environment.

10 So, in the normal course of living you have to get from  
11 your bed, out of bed. You have to get from a bed to a chair.  
12 You have to get from a chair to the bathtub. You have to get  
13 from the bathtub to the toilet. You have to get into a car  
14 periodically. You have to walk up stairs.

15 So, transferring is just teaching him how to do these  
16 things in a way that's both comfortable, efficient and safe.

17 Q So, that's something like just teaching someone to do  
18 this?

19 A Well, right. He's not going to be able to do it the  
20 way he did before the injury. So, it's kind of giving him the  
21 tools and the tricks to do it, you know, the way he is right  
22 now.

23 Q Because of his injuries, he couldn't do it normally  
24 anymore?

25 A Correct.

## AH:C Dr. Goldstein - Plaintiff - Direct

520

1 Q Then on the bed mobility, it says -- and I'll read it  
2 to you:

3 Patient went from sitting at the edge of the mat to  
4 supine with supervision.

5 Tell the jury what that means.

6 A Basically, he sat on his bed and he laid on his back.

7 Q And that's something that he had to be taught to do,  
8 correct?

9 A Well, yeah, because, you know, first of all, in the  
10 beginning he was in a hospital setting for many months, he was  
11 on a respirator, he had all these operations. You lose muscle  
12 tone, you lose muscle strength, and whatever you are able --  
13 even something as simple as sitting on a bed and then laying on  
14 your back to go to sleep, you're going to do it differently  
15 after an injury like this, as before the injury. Just a method  
16 of figuring out how he's going to do.

17 Q Next, I want to jump all the way up to November 19th,  
18 2003. And this is after he has this other surgery in Jacobi.  
19 And it states he reported that he is depressed because he feels  
20 that his surgery has caused a decline in his level of  
21 independence.

22 The patient was informed that decline is temporary and  
23 surgery should allow him to gain more range of motion, which in  
24 turn increase his long term level of independence.

25 Is that uncommon in burn victims that they have these

AH:C Dr. Goldstein - Plaintiff - Direct

521

1 setbacks?

2 A Well, I think it's not uncommon in any type of victim,  
3 whether the injury is a burn or whether an automobile accident  
4 or whether it's, you know, even surgery for some type of cancer  
5 or tumor. You know, you, after these types of injuries, no  
6 matter what the mechanism of injury is, you're going to have to  
7 do things differently than you've done them all your life.

8 So, you might say to yourself, gee, now I'm in my 30's,  
9 now I have to learn how to go to bed; I have to learn how to sit  
10 on a toilet. You know, that's a depressing thought; I'm not  
11 going to be doing how I did the first 30 years or 35 years of my  
12 life. I have to learn a whole new way of doing it.

13 People cope with that in different methods and  
14 different manners. So, yeah, it's depressing and he needs a lot  
15 of supportive care.

16 Q Okay. Now, I want to jump to December 4th, 2003. And  
17 the talks about wound care, removal of all dressings with  
18 moistening, including wet compresses and water pressure from  
19 spray. Wounds cleansed. Patient with increased oozing to  
20 compression garment, and increase time to remove garments  
21 without damaging in the process.

22 Is that a problem you have with burn victims in just  
23 changing the dressing, that becomes an issue?

24 A Well, in the initial stages 'til all of these skin  
25 grafts and everything healed, you have small areas of open

## AH:C Dr. Goldstein - Plaintiff - Direct

522

1 wounds. You know, not everything takes 100 percent. So, it's all  
2 -- you have little sores and openings here and there.

3 So, you know, you know, if you're changing the  
4 dressings, the dressings get stuck. It's like you had a scrape  
5 and you have to take the dressing off, it gets stuck, so you  
6 soak it in water. You generally pull it. It takes a little bit  
7 of time. These, eventually -- these eventually get better, but  
8 in the beginning it's a process.

9 Q Now, I want to move away from Burke. Before we do  
10 that, Doctor, do you have an opinion within a reasonable degree  
11 of medical certainty whether the injuries and the residual  
12 effects, as well as the treatments that we discussed, were  
13 causally related to the fire of June 1st, 2003?

14 A I do.

15 Q What is that?

16 A And they are causally related to the fire in 2003.

17 Q Doctor, as part of your examination and review of this  
18 file, did you come to a prognosis from Mr. Peat based on your  
19 examination of him?

20 A I did.

21 Q Okay, what is it?

22 A It's my opinion that the scarring that he has and the  
23 deformities that he has are permanent.

24 Q And the functional disabilities that you discussed, are  
25 they --

AH:C Dr. Goldstein - Plaintiff - Direct

523

1 A Yes.

2 Q Now, do you have an opinion with a reasonable degree of  
3 medical certainty if Christopher Peat is disabled from doing any  
4 manual labor?

5 MR. DOODY: Objection. Relevance.

6 THE COURT: Overruled.

7 A I do.

8 Q What is that.

9 A He's not going to be able to do the type of manual  
10 labor that he had been doing prior to this accident.

11 The effects of the burns on his ability to do floor  
12 finishing and things like this, in my opinion, will preclude him  
13 from doing this type of work in the future.

14 Q Now, Doctor, can you tell the jury what palliative care  
15 is?

16 A Well, going into the future, in terms of types of  
17 supportive care and needs that he may require in the future, um,  
18 you know, he will need, you know, mental support in terms of,  
19 you know, mental things that are going on with him. He will need  
20 future --

21 MR. DOODY: Objection, your Honor. Beyond the  
22 scope of the witness' expertise. There's another witness  
23 coming in to testify about that.

24 MR. MALLAS: He's right. We'll have Dr. Annapolis  
25 talk about the mental stuff.

AH:C Dr. Goldstein - Plaintiff - Direct

524

1 Just one thing, just palliative care, if you can  
2 explain to the jury what the difference of palliative care  
3 and acute care is?

4 A Well, as in terms of palliative care, for example,  
5 dealing with the burns that he has. He's going to require  
6 things like constant lubrication and constant moisturizing of  
7 the skin. He's going need to be followed by physicians and be  
8 sure that he doesn't develop things like cracks and irritations  
9 and infections in the skin where the skin grafts have occurred.

10 The problems he has with his hand, in terms of the  
11 inability to extend the elbow, and the problems he has with his  
12 fingers, these have to be watched so that to make sure that they  
13 don't progress.

14 There may be -- you know, in the future, there may be  
15 other procedures that aren't even available now that might  
16 become available to correct these things. I don't know, they're  
17 not --

18 MR. DOODY: Objection. Speculation.

19 THE COURT: That latter portion sustained.

20 Q Well, let me ask you specific things.

21 Do you believe that Mr. Peat will need to be treated by  
22 rehabilitation specialists?

23 A I believe he should be followed by a rehabilitation  
24 specialist, sure.

25 Q Dermatologist?



AH:C Dr. Goldstein - Plaintiff - Direct

525

1 A Yes.

2 Q Physiatrist?

3 A Yes.

4 Q Orthopedist?

5 A For his elbow, yes.

6 Q Will he need medical equipment into the future?

7 A Possibly.

8 MR. DOODY: Objection.

9 THE COURT: Overruled.

10 Q Will he need, you know, you talked about lubrication.  
11 Will he need these medicines, lubricant prescriptions, whatever?

12 A Clearly moisturizers and lubricants are something that  
13 he's going to be needing all the rest of his life because of  
14 just the nature of skin grafts and how they behave.

15 Q And will he continually need physical therapy and  
16 occupational therapy?

17 A That's very likely that he will, because it's  
18 unpredictable as to how he is right now will change as he ages  
19 and as his physical needs change.

20 Q Now, Doctor, I'm going to ask you to assume certain  
21 things:

22 I want you to assume that there's been testimony that  
23 on July 1st, 2003, while Christopher Peat was working at the  
24 Fordham Hill refinishing floors and building for Apartment  
25 17-D,, a fire erupted in the apartment causing him to catch on

AH:C Dr. Goldstein - Plaintiff - Direct

526

1 fire.

2 I want you to assume that there's been testimony that  
3 he exited the apartment, ran down 17 flights of stairs on fire,  
4 and was extinguished by the fire department in front of the  
5 building.

6 I want you to assume that there is evidence in the  
7 record that Mr. Peat was on fire for approximately six minutes.

8 After the flames were extinguished from his body, he  
9 was taken by ambulance to Jacobi, en route to the hospital there  
10 was testimony that saline was poured on his skin and he  
11 testified that he was in excruciating pain.

12 I want you to assume the medical records in evidence  
13 indicate upon his admission to Jacobi he was intubated and  
14 sedated.

15 During his stay at the hospital he underwent over a  
16 dozen surgeries, including escharotomies of both upper  
17 extremities and hands, debridement of burn tissue on his back,  
18 arms, hands and ankle, shoulders, chest, bilateral flank and  
19 right leg.

20 I want you to assume that he remained on ventilator  
21 support from July 1st, 2003, to August 21st, 2003.

22 I want you to assume from his medical records in  
23 evidence, he required numerous blood transfusions, and he  
24 developed sepsis.

25 And I want you to assume that the medical records in

## AH:C Dr. Goldstein - Plaintiff - Direct

527

1 evidence indicate there was a tracheostomy and he was treated by  
2 a variety of medical professions in this hospital:  
3 Ophthalmology, physical therapy, occupational therapy, infection  
4 disease, anesthesiology and physiatry.

5 I want you to assume there's evidence on September  
6 8th, 2003, he was released from Jacobi Hospital and transferred  
7 to Burke Rehabilitation in White Plains.

8 I want you to assume there is medical records in  
9 evidence too that indicate at Burke he underwent both physical  
10 therapy and occupational therapy, four debridements in the  
11 infected areas.

12 I want you to assume that Peat testified while he was  
13 in Burke he had pain and restriction.

14 He testified he was unable to walk and had to re-learn  
15 how to walk. He was unable to perform activities, including  
16 feeding and bathing himself, all of which had to be taught to  
17 him.

18 I want you to assume there's evidence on November 27,  
19 2003, the medical records indicate that Mr. Peat was transferred  
20 back to Jacobi Medical Center where he underwent a scar  
21 contracture of the right axial. After the surgery, Mr. Peat was  
22 placed on full chest and right arm cast.

23 I want you to assume that he returned to Burke for  
24 rehabilitation and on November 20th, 2003, he was transferred  
25 back to Jacobi Hospital and remained until November 25th, 2003.

AH:C Dr. Goldstein - Plaintiff - Direct

528

1           During that time he had a cast and staples from his  
2 surgery removed. He thereafter returned to Burke  
3 Rehabilitation, where he continued his occupational and physical  
4 occupational therapy, as well as counsel. He remained until  
5 September 19th, 2003, and released to his home.

6           I want you to assume that he continued to treat as an  
7 outpatient in Burke until the end of 2004.

8           I want you to assume there's been testimony from  
9 Mr. Peat that he continues to do his home exercises as he's  
10 instructed.

11           He testified that he continues to have problems,  
12 including inability to walk, the feeling that his skin is tight.  
13 He has losses in his range of motion in his upper extremities.

14           I want you to assume that he has a loss of sensitivity  
15 in the affected areas. He has cracking in the affected areas  
16 and must lubricated every day, multiple times.

17           I want you to assume that there's evidence that  
18 Mr. Peat still has scarring from his injuries.

19           Doctor, do you have an opinion within a reasonable  
20 degree of medical certainty whether the fire of July 1st, 2003  
21 was the competent producing cause of the injuries and complaints  
22 and treatments I've outlined for you?

23           A     I do.

24           Q     What is that?

25           A     It's my opinion that they are the cause of these

AH:C Dr. Goldstein - Plaintiff - Cross/Fordham Hill

529

1 things that you outlined.

2 Q Doctor, do you have an opinion with a reasonable degree  
3 of medical certainty whether or not the injuries, complaints and  
4 residual effects I have outlined you are permanent in nature?

5 A I do.

6 Q What is that opinion?

7 A It is my opinion they are permanent.

8 Q Doctor, do you have an opinion that the injuries and  
9 residual affects will continue into the future?

10 A I do.

11 Q What is that opinion?

12 A I think they will progress and have problems into the  
13 future.

14 Q How long?

15 A Probably the rest of his life.

16 MR. MALLAS: No further questions, your Honor.

17 MR. DOODY: May I, your Honor?

18 THE COURT: Yes.

19 CROSS EXAMINATION

20 BY MR. DOODY:

21 Q Doctor, I'd like you to assume I'm easily confused, so  
22 give me a simple answer.

23 You would agree with me that you are not Mr. Peat's  
24 treating physician, correct?

25 A That's correct.

AH:C Dr. Goldstein - Plaintiff - Cross/Fordham Hill

530

1 Q You were retained in this to spend some time with him  
2 and review the medical records solely for the purposes of  
3 testifying in court today, correct?

4 A That's correct.

5 Q And when were you first contacted to appear as an  
6 expert witness in this case, Doctor?

7 A I was first contacted about this case prior to my  
8 examination and report. I don't know when I was contacted that  
9 the case was actually going to trial. I don't know exactly if  
10 that's what you're looking for?

11 Q No. I'm looking for when you were first contacted  
12 before?

13 A Some time prior to February 27th, 2009.

14 Q And you were provided with all the medical records that  
15 counsel spoke to you about today, correct?

16 A I was provided with multiple medical records. I'm not  
17 sure I had everything.

18 Q Jacobi, Burke. Did you have Dr. Winston's report, his  
19 records?

20 A That name does not strike me in particular. I know I  
21 had the Jacobi records, which were the ones that were most  
22 important to me. And the Burke records, which were the most  
23 important to me. I'm not sure about --

24 Q Do you know the name of the doctor who performed the  
25 surgery, the bulk of the operations on Mr. Peat?

AH:C Dr. Goldstein - Plaintiff - Cross/Fordham Hill

531

1 A Well, the burn services run by Dr. Leib,  
2 Dr. Greenstein. I believe Dr. Gary is involved. These are all  
3 people that I know; Winston and Richards I believe may have been  
4 the burn fellow for a period of time during his --

5 Q Now you said something that I don't understand.  
6 Can you tell me what a burn fellow is?

7 A I don't know what Dr. Winston Richard's, is it? I don't  
8 know what his specific training was, but Jacobi Hospital has a  
9 fellowship in burn care, which usually is someone who is  
10 interested in learning more about treating burns and stays there  
11 anywhere from six months to two years some of them have stayed  
12 there.

13 Q Okay. Now, you indicated that you're obviously being  
14 compensated for your time here today, correct?

15 A Yes.

16 Q And what's the amount of your compensation, sir?

17 A The amount I was paid for being away from my practice  
18 and preparation is \$8,000.

19 Q Sorry?

20 A \$8,000.

21 Q Okay. And when you talk preparation, that doesn't  
22 include the examination or the time you spent with Mr. Peat as  
23 well as your review of the medical records, true?

24 A Well, yes and no. I mean, the original examination in  
25 and review of the medical records was done in February of 2009.

AH:C Dr. Goldstein - Plaintiff - Cross/Fordham Hill

532

1 I received a fee of \$500 for that.

2 Q I'm sorry?

3 A 500.

4 Q 500? .

5 A Now, when I was notified that this was coming to trial,  
6 I clearly did not remember the details of what I saw in February  
7 of 2009, so I had to re-review the medical records and my report  
8 and that sort of thing.

9 Q Total package, 85 hundred bucks?

10 A That's about right.

11 Q Thank you, Doctor.

12 Have you previously performed the surgeries, the  
13 debridement surgeries that are outlined in the records?

14 A Many times.

15 Q And what institutions do you have admitting privileges  
16 presently?

17 A Montefiore Hospital Medical Center, Westchester Square  
18 Hospital, Lawrence Hospital, and I also work at a surgery  
19 center.

20 Q And are any of those institutions burn centers?

21 A No.

22 Q Jacobi is, correct?

23 A Jacobi is a regional burn center, correct. I used to  
24 work at Jacobi, I do not anymore.

25 Q One time you saw Mr. Peat on one occasion?



1 A Yes.

2 Q Have you seen him since February '09, is it?

3 A Just this morning.

4 Q Did you talk to him this morning?

5 A Just to say hello.

6 Q Nothing beyond that?

7 A No.

8 Q Do you have your report in front of you?

9 A I do.

10 Q If you can, your understanding or Mr. Peat advised you  
11 that he was in the process of refinishing the floor with  
12 polyurethane when he was injured, is that correct?

13 A That was my understanding, correct.

14 Q And just so I'm clear. At the end of your report --  
15 plaintiff, from a plastic surgery standpoint, you indicate that  
16 no further surgical correction to his scarring and -- scars and  
17 problems are permanent -- his scars and functional problems are  
18 permanent and are not amenable to surgical correction, true?

19 A At the time I issued my report, correct.

20 Q In 2009 that was your opinion?

21 A Correct.

22 Q Has your opinion changed?

23 A No, not substantially.

24 Q I mean, you haven't talked to him, you haven't reviewed  
25 any further medical record, correct?

## AH:C Dr. Goldstein - Plaintiff - Cross/Fordham Hill

534

1 A No, I haven't. That's correct.

2 Q And do you have any understanding as to what his  
3 present medical treatment is, how often he sees any doctors, if  
4 at all?

5 A No, I do not.

6 Q Do you know if he presently undergoes any occupational  
7 therapy?

8 A No, I don't.

9 Q Do you know if he presently undergoes any physical  
10 therapy?

11 A No.

12 Q Do you have any idea if he undergoes any medical  
13 treatment at present?

14 A I don't have access to his present medical records. I  
15 said I only had the medical records relating to the accident  
16 through the Burke, you know, admission, and then the subsequent  
17 surgery. I have nothing from his medical records over 2010,  
18 2011.

19 Q So, that would be a no, I don't know what he's doing  
20 presently, correct?

21 A Yes.

22 Q You would agree with me, wouldn't you, Doctor, that the  
23 bulk, the overwhelming bulk of his treatments, the surgeries,  
24 the inpatient treatment, the therapies, the outpatient  
25 treatment, the overwhelming bulk of the treatment necessary for

AH:C Dr. Goldstein - Plaintiff - Cross/Fordham Hill

535

1 the injuries he sustained has occurred in the past, correct?

2 A Correct.

3 MR. DOODY: Nothing further, Doctor.

4 Thank you.

5 MR. WRIGHT: No questions.

6 MR. VAN ETTEN: No questions, your Honor.

7 MR. JONES: No questions, your Honor.

8 MR. MALLAS: Nothing further, your Honor.

9 THE COURT: Thank you, Dr. Goldstein. You may  
10 step down.

11 Well, that concludes the taking of the testimony  
12 today, Thursday.

13 Please come back tomorrow, Friday, at 10 a.m.

14 Thank you very much. Enjoy your afternoon.

15 THE COURT OFFICER: All rise. Jury is now  
16 exiting.

17 (Whereupon, the jury exits the courtroom.)

18 ( TIME NOTED: 12:40 p.m.)

19 (Whereupon, Court is adjourned to 6/10/11.)  
20  
21  
22  
23  
24  
25