

Dr. Davy - Plaintiff - Direct

1 (Whereupon the jury enters)

2 THE COURT: Good morning, ladies and gentlemen of the
3 jury.

4 We will continue. As you recall, we have a doctor
5 this morning, a doctor tomorrow morning and a doctor
6 Thursday afternoon. So the only thing we're sure of is
7 you're not going to be here Thursday morning or Friday.

8 All right, counsel, call your next witness.

9 MR. AVANZINO: At this time, we call Dr. Andrew Davy
10 to the stand.

11 D O C T O R A N D R E W D A V Y, called as a
12 witness on behalf of People, having
13 been first duly sworn, was examined and
14 testified as follows:

15 THE COURT CLERK: In a loud, clear voice, please state
16 your name and business address for the record.

17 THE WITNESS: Andrew M. G. Davy, 1513 Voorhies Avenue,
18 Lower Level, Brooklyn, New York 11235.

19 THE COURT: Dr. Davy, if you hear the word objection,
20 please try to refrain from answering unless you hear me say
21 the word overruled.

22 Go ahead, counsel.

23 DIRECT EXAMINATION

24 BY MR. AVANZINO:

25 Q Good morning, Doctor.

05/24/2012 11:30 AM

120

Dr. Davy - Plaintiff - Direct

1 A Good morning.

2 Q Tell the members of the jury about your educational
3 background.

4 A Yes, I have a Bachelor's in Chemical Engineering from
5 the School of Engineering and Applied Sciences at Columbia
6 University. I have an MD degree from the College of Physicians
7 and Surgeons, also of Columbia University.

8 My internship and residency was done at the
9 Presbyterian Hospital in internal medicine and anesthesiology.
10 I then did a one-year fellowship at the University of Rochester
11 at Strong Memorial Hospital in pain medicine.

12 I'm board certified in pain medicine and I've been a
13 full-time pain physician focusing on interventional pain
14 treatments for about ten years.

15 Q Doctor, can you explain to us what the specialty of
16 pain management is?

17 A Yes. My role is to alleviate a patient's pain when
18 they have failed conservative treatment, such as physical
19 therapy, routine medications and sub-minor injection-type
20 treatments. I focus more now on interventional pain therapies
21 that is geared at basically decreasing the patient's pain by at
22 least 50 percent or more, and at the same time improving their
23 quantity and quality of sleep, increasing their activities of
24 daily living and ability to also earn an income.

25 Q Now, Doctor, you told us that you did a fellowship

05/24/2012 11:30 AM

121

Dr. Davy - Plaintiff - Direct

1 beyond your residency. Just give us some background. What
2 does that mean to do a fellowship once you complete your
3 residency?

4 A Well, it's a post-doctoral fellowship, meaning that
5 you are a full attending and you've chosen to concentrate on
6 one specific area that we call a subspecialty where you're
7 exposed to patients with chronic pain and you learn how to
8 assess, diagnose and treat these conditions.

9 Q And you did your fellowship in pain management?

10 A Yes, I did.

11 Q Sir, you told us about being board certified. Can you
12 tell us what that means to be board certified, in this case in
13 anesthesiology and pain management?

14 A It means that the peer group, the American Board of
15 Anesthesiology has examined you both orally and on paper and
16 you have met a certain standard that they set. And that allows
17 you to be a consultant or an expert in that field.

18 Q Now, Doctor, in addition to being board certified in
19 pain management and anesthesiology, are you also licensed to
20 practice medicine in the State of New York?

21 A Yes, I am.

22 Q Doctor, can you tell the members of the jury what
23 professional affiliations you have?

24 A Yes. I'm a member of the American Pain Society, the
25 American Medical Association and the International Association

05/24/2012 11:30 AM

122

Dr. Davy - Plaintiff - Direct

1 for the Study of Pain. I also have membership in ISTS, which
2 is the Interventional Spine Treatment Society.

3 Q Doctor, can you tell us about your employment history
4 once you finished your fellowship?

5 A After my fellowship I was an associate attending at
6 Albany Medical Center. I then took on the directorship at
7 Providence Hospital in Anchorage, Alaska where I turned a
8 money-losing program around in three months.

9 I then moved back to New York and worked both as an
10 anesthesiologist and started my own pain practice. I was also
11 the director of chronic pain at Mary Immaculate Hospital and St.
12 Mary's Hospital, the Catholic Charities Group.

13 Q And, Doctor, in your training and in your practice, do
14 you treat and do you see patients that have pain referable to
15 their spinal column, to their neck and their back?

16 A Yes. That is the most common type of pain that I
17 treat. I have other areas of interest including cancer pain
18 and certain types of lymph pain, but the majority of pain that
19 I treat now as a full-time pain medicine physician is spine
20 pain, neck, upper and lower back pain.

21 Q And, Doctor, in your practice, can you give the jury
22 some idea what the source is of most of your patients, where
23 most of your patients come from?

24 A They come from neurologists, orthopedic surgeons
25 orthopedic spine surgeons, neuro-spine surgeons, internal

05/24/2012 11:30 AM

123

Dr. Davy - Plaintiff - Direct

1 medicine physicians and chiropractors as well.

2 Q Why do they send their patients to you?

3 MR. GORKIN: That's objected to.

4 THE COURT: Sustained.

5 Q Sir, you tell us they are the source. They refer
6 their patients to you?

7 A Yes.

8 Q For what purpose, sir?

9 A The patients are referred to me when they have failed,
10 as I stated earlier, conservative treatment. The patients are
11 sent to me when the pain, the source of the pain is either not
12 clear or the treatment interventions have not produced the
13 results that the patient is looking for.

14 And so, what I do is I evaluate the patient. I focus
15 on identifying the pain generators and then I apply the varied
16 treatment intervention, again, geared at decreasing their pain
17 and improving there function and sleep.

18 Q Sir, have you testified in court previously?

19 A Yes, I have.

20 Q And, sir, can you give the jury an idea on what kind
21 of basis you've been called upon to testify in court over the
22 years?

23 A I've been called to testify in this setting for the
24 plaintiff. I do that about once a year. I also conduct
25 independent medical examinations for defendants, insurance

05/24/2012 11:30 AM

124

Dr. Davy - Plaintiff - Direct

1 companies and I have been asked to testify on their behalf
2 also.

3 Q Sir, when you have testified on those occasions, have
4 you been qualified as an expert in the field of anesthesiology
5 and in the field of pain management?

6 A Yes.

7 MR. AVANZINO: Your Honor, at this time I ask that Dr.
8 Davy be qualified as an expert in the field of
9 anesthesiology and pain management.

10 MR. GORKIN: No objection.

11 THE COURT: Fine.

12 MR. AVANZINO: Thank you, your Honor.

13 Q Sir, are you being compensated, are you being paid for
14 your time away from your practice to come to court today?

15 A Yes.

16 Q And at what rate or what is the -- what are you being
17 paid for your time?

18 A My hourly rate is \$250 an hour.

19 Q Sir, now in terms of the reason that we're here, the
20 patient, Ronald Weathers, can you tell us when you came to see
21 Ronald Weathers for the first time?

22 A Yes.

23 MR. GORKIN: Your Honor, if he's going to be, your
24 Honor, referring to his record, just so we know that as
25 opposed to something he remembers.

05/24/2012 11:30 AM

125

Dr. Davy - Plaintiff - Direct

1 THE COURT: Okay. You're referring to your notes?

2 THE WITNESS: Yes.

3 A I first saw Mr. Weathers on August 20th, 2008.

4 Q How was it that Mr. Weathers came to see you?

5 Sir, you told us that often, most of the time you are
6 referred your patients from other doctors. How is it that he
7 came to see you?

8 A He was referred to me by Dr. Delman.

9 Q Can you tell us, sir, did you take a history from Mr.
10 Weathers when he came to see you?

11 A Yes, I did.

12 Q Tell us what the significance is in your specialty for
13 taking a history.

14 A In my specialty, when I see the patient, most of the
15 time they are at the end point of whatever treatments they've
16 been exposed to. Usually they've been told that their pain is
17 not real and that, you know, they're not reliable in terms of
18 reporting their pain.

19 So because pain is subjective, I have to get a
20 detailed history during which I assess the patient's ability to
21 describe their pain, their ability to identify and also
22 participate in decreasing their pain intensity. The history
23 also helps me to narrow down my diagnosis and identify the pain
24 generators.

25 Q Sir, in this case, when you met with Mr. Weathers on

05/24/2012 11:30 AM

126

Dr. Davy - Plaintiff - Direct

1 August 20th, of 2008, did you come to learn that he was 56
2 years of age?

3 A Yes, I did.

4 Q And did you come to learn that he was involved in a
5 motor vehicle accident on June 23, 2008?

6 A Yes, I did.

7 Q Sir, did you learn when he was first given medical
8 attention after the accident?

9 A Yes. I was able to review the emergency room report.

10 Q But just for purposes of this question now, just from
11 taking the history, did you come to learn that he went to the
12 emergency room?

13 A Yes, yes.

14 Q Did you come to learn that he went to the emergency
15 room the day after the accident?

16 A Yes.

17 Q Now, he came to you with his complaints about his neck
18 and his back?

19 A Yes.

20 Q Now, sir, is it unusual in your practice to find that
21 patients who come to you with neck and back complaints having
22 been in a motor vehicle accident do not initially get treatment
23 that day, but rather will wait for a certain period of time?

24 MR. GORKIN: Objection to both, to the form and --

25 THE COURT: Sustained.

05/24/2012 11:30 AM

127

Dr. Davy - Plaintiff - Direct

1 Q Sir, Mr. Weathers went for his first medical attention
2 the day after the accident, correct?

3 A Yes.

4 Q Is that unusual in your practice?

5 MR. GORKIN: Objection.

6 THE COURT: Sustained.

7 Q Can you tell us, when somebody has complaints of their
8 neck and their back when you see them and they give you the
9 history and when that history involves not receiving treatment
10 initially, what the medical explanation for that is?

11 A Yes, the medical explanation, especially in this case
12 of a herniated or bulging disk, disk disease, typically the
13 patient will describe, you know, feeling pain in their back,
14 either a crack, a snap or a pop. And hours to days later they
15 will develop pain radiating down the leg. This is because the
16 body, in response to the rupturing of the disk, sends out a
17 chemical mediator to heal the disk and this creates an
18 inflammatory response. And that could take hours to days to
19 develop and the patient will have pain radiating down the leg.
20 So it's not uncommon for patients to sustain this type of
21 injury and not be in severe pain that would necessitate a
22 hospital evaluation. And so hours to days later, when the pain
23 intensifies and starts to radiate, they would go to the
24 hospital.

25 MR. GORKIN: Judge, we haven't established that the

05/24/2012 11:30 AM

128

Dr. Davy - Plaintiff - Direct

1 plaintiff sustained any injury yet.

2 THE COURT: Counsel, overruled. That's been
3 sustained. You can cross-examine on that.

4 Q Sir, I'm going to ask you about that initial emergency
5 room visit. Do you have the record from Coney Island Hospital
6 emergency room?

7 A Yes, I do.

8 MR. AVANZINO: I believe we marked that as an exhibit,
9 your Honor.

10 THE COURT: Are they in evidence?

11 MR. AVANZINO: Yes, your Honor.

12 THE COURT: What number is this?

13 MR. AVANZINO: This is Plaintiff's 3.

14 THE COURT: Coney Island Hospital records.

15 MR. AVANZINO: Yes, the Coney Island Hospital records.

16 THE COURT: In evidence already?

17 MR. GORKIN: Yes.

18 MR. AVANZINO: Yes, your Honor.

19 Q I show you a copy of that record, sir. Now that's in
20 evidence, this record from Coney Island Hospital. Does it
21 indicate in the history that this is a 57 year old man, status
22 post motor vehicle accident yesterday?

23 A Yes, it does.

24 Q And does it also describe the areas of complaint of
25 his body that he had?

05/24/2012 11:30 AM

129

Dr. Davy - Plaintiff - Direct

1 A Yes, it does.

2 Q Does that include his neck and his back as well as his
3 left knee?

4 A Yes, it does.

5 Q Was an examination performed there?

6 A Yes.

7 Q And from the complaints and the examination, did the
8 doctor in the emergency room determine that x-rays should be
9 done?

10 A Yes.

11 Q Now, is that a common practice for x-rays to be done
12 when somebody's taken to the emergency room with these type of
13 complaints?

14 A It is not common. It is necessary when the patient
15 complains of severe pain.

16 Q So it's not something that's routine, it depends on
17 the level of complaint that the doctor feels is coming or
18 whatever the results of his exam are?

19 A Yes.

20 Q In this case the results of the x-rays were negative.
21 What would be the purpose of taking an x-ray of the spine, of
22 the neck and the back?

23 A To rule out any acute unstable fractures that would
24 require immediate surgical intervention.

25 Q So there were no fractures of the bones in the spinal

05/24/2012 11:30 AM

130

Dr. Davy - Plaintiff - Direct

1 area for Mr. Weathers?

2 A That's correct.

3 Q Did the doctor who saw Mr. Weathers give a diagnosis
4 at that point?

5 A Yes.

6 Q And what was that, sir?

7 A Neck and lower back pain, musculoskeletal pain and
8 left knee sprain.

9 Q And was he also given pain medication at that time?

10 A Yes. He was given Motrin.

11 Q And indication to follow up with a doctor?

12 A Yes.

13 Q And all of what you saw in the emergency room record
14 would be consistent with ultimately the complaints that Mr.
15 Weathers had when he came to see you?

16 A Yes.

17 Q Now, sir, in addition to taking the history -- that's
18 fine, we're done with that. Thank you.

19 In addition, sir, to finding out that he had been
20 treated the day after the occurrence, you also came to find out
21 that he had seen Dr. Delman, correct?

22 A That is correct.

23 Q And that he received physical therapy with Dr. Delman?

24 A Yes, he did.

25 Q And that the physical therapy had proven not to be

05/24/2012 11:30 AM

131

Dr. Davy - Plaintiff - Direct

1 successful?

2 MR. GORKIN: Objection.

3 THE COURT: Sustained.

4 Q Did you come to learn that the physical therapy had
5 proven to be not successful?

6 MR. GORKIN: Objection.

7 THE COURT: I just sustained it. Rephrase the
8 question.

9 Q In obtaining the history in finding out Mr. Weathers'
10 pain, did you come to learn from him in taking the history that
11 the physical therapy had not been successful?

12 MR. GORKIN: Objection.

13 THE COURT: Sustained. We'll have a side bar --

14 What were the complaints of the plaintiff when he came
15 before you?

16 Q What were the complaints that he came to see you for?

17 A He complained of pain primarily in his lower back and
18 secondarily in his neck. The pain intensity on a scale that
19 goes from zero to ten, zero meaning no pain and ten meaning the
20 worst pain he's ever experienced, which is to him the pain
21 intensity in the lower back at that time was a seven out of
22 ten. At its worst it was an eight. And the neck pain at that
23 time, six to seven out of ten and at its worst was nine out of
24 ten.

25 Q Now, in addition to learning about the history of the

05/24/2012 11:30 AM

132

Dr. Davy - Plaintiff - Direct

1 car accident, did you determine from the history whether Mr.
2 Weathers, whether Ronald had any prior problems with his lower
3 back or neck before this accident of June 23, 2008?

4 A Yes. He reported that in 1974 he was involved in a
5 motor vehicle accident, but he did not sustain any injuries to
6 his neck or lower back.

7 Q So then the history was that he's had no prior
8 complaints before the automobile accident of June 23, 2008
9 referable to his lower back?

10 A Correct.

11 Q And that he had been able to work steadily throughout
12 his adult life?

13 A Yes.

14 Q Sir, did you conduct an examination of Mr. Weathers?

15 A Yes, I did.

16 Q And did you also have the benefit of the MRIs that had
17 been taken of his lower back and his neck?

18 A Yes, I did.

19 Q Sir, can you tell us whether at this point it would be
20 of help to you in explaining to the jury to look at MRIs that
21 are in evidence?

22 The MRIs are Plaintiff's 4 in evidence. If you can
23 explain to us what the role is of an MRI in your practice.

24 A The MRI is used to confirm or dispute a clinical
25 diagnosis. The clinical diagnosis is based on the patient

05/24/2012 11:30 AM

133

Dr. Davy - Plaintiff - Direct

1 history and the physical examination. And the MRI is then used
2 to correlate these findings and it would either support the
3 diagnosis or dispute it.

4 Q Now, in terms of your training, I know you told us
5 that you were trained in medical school, of course, in various
6 parts of medicine, but you specialized in anesthesiology and
7 your subspecialty was in pain management and you did a
8 fellowship.

9 As part of your training, were you taught how to read
10 and interpret MRIs?

11 A Yes.

12 Q And can you tell the members of the jury what role the
13 MRIs play in your practice? In other words, how regularly do
14 you review MRIs of your patients?

15 A I do review them on a fairly regular basis. Their
16 primary role is usually when there is a conflict in terms of my
17 clinical diagnosis or the patient's response to the treatments
18 that I've instituted. When I did my fellowship, we routinely
19 treated patients based on their history of radicular pain. And
20 if they didn't respond to the treatment, then we would obtain
21 an MRI and be more interested in looking at the film. And
22 reason for this is that the MRIs are very sensitive tests and
23 it can sort of mislead one in terms of correlating treatment.
24 It will show disease when that disease is not causing any
25 clinical symptoms in the patient.

05/24/2012 11:30 AM

134

Dr. Davy - Plaintiff - Direct

1 So the clinical signs and symptoms, locating the pain
2 generator, pressing on the pain generator, reproduction of pain,
3 those are more useful tools in the treatments that I have to
4 offer patients. And the MRI is a facilitator or it will help to
5 direct treatment to other areas when the patient is not really
6 responding to your clinical picture and your treatment.

7 Q And in this instance, in the case of Mr. Weathers,
8 have you had the chance to review the MRIs on a number of
9 occasions?

10 A Yes.

11 Q Sir, if you can, if it would help, we'll put them on
12 the shadow box.

13 MR. AVANZINO: Perhaps with Court's permission, the
14 doctor can step down and explain to us what the MRIs show.

15 THE COURT: Yes.

16 MR. GORKIN: Your Honor, may I stand over there?

17 THE COURT: Sure, you can.

18 For the record, let's just identify each MRI.

19 Q Doctor, before we begin the process of looking at the
20 MRI films themselves, I'm going to ask you to just
21 differentiate for a moment and we'll have an opportunity, if
22 you'd like with the model, to explain to the jury about the
23 different regions of the spine and perhaps whatever you prefer,
24 sir, to go into that and explain.

25 A Sure. I think the most important concept to

05/24/2012 11:30 AM

135

Dr. Davy - Plaintiff - Direct

1 understand is what the MRI looks at. You have a transverse --

2 THE COURT: Has this model been marked?

3 MR. AVANZINO: No, your Honor, it has not. So could
4 we have that marked for identification then? There's a
5 model and there's also --

6 THE COURT: You've got to ask some preliminary
7 questions, as you know, on the model.

8 MR. AVANZINO: Yes.

9 Q Doctor, the model that you have, Plaintiff's 5 for ID
10 that you're holding in your hands, could you describe what that
11 is?

12 A This is a model of the spinal column. This is the
13 head.

14 Q At the top, beginning at the top?

15 A The head, cervical, thoracic, lumbar, sacral.

16 Q Sir, can you tell us, when you say cervical, what part
17 of the spinal column is that?

18 A The neck, cervical; chest, thoracic; lower back,
19 lumbar; and the tailbone and below the hips is sacrum.

20 Q Would this be useful and helpful now in describing to
21 the jury certain things about the anatomy of the spine?

22 A Yes.

23 MR. AVANZINO: With the Court's permission, can the
24 doctor use it?

25 THE COURT: Go ahead.

05/24/2012 11:30 AM

136

Dr. Davy - Plaintiff - Direct

1 A I'm going to explain how the MRI helps me to determine
2 my treatment.

3 MR. GORKIN: We don't have a question.

4 THE COURT: Sustained. You can ask an open-ended
5 question.

6 Q Doctor, can you explain to the jury with regard to the
7 anatomy of the spine in using the model and since we have the
8 subject MRI, how it correlates?

9 A The MRI uses magnets and the unit of magnet is called
10 Tesla. And based on how intense the magnetism is, T1, T2
11 weighted image, you can penetrate deep into the tissues.

12 The MRI can give you a cross-sectional view, which is
13 looking straight on, which would be this. Or it can give you a
14 longitudinal or transverse view. And you can have cuts starting
15 from here all the way across. So that's basically how an MRI
16 works.

17 Q How is that different, if you use the model, from an
18 x-ray, what an x-ray would show?

19 A The basic difference between an x-ray and MRI is the
20 MRI allows you to see soft tissue. The x-ray will only allow
21 you to see bone and shadows. The MRI gives you a better
22 picture of the soft tissue, the disks, the nerves.

23 Q And so if you would now go on to explain the role that
24 the disks play and the nerves, if you can explain about the
25 spinal column.

05/24/2012 11:30 AM

137

Dr. Davy - Plaintiff - Direct

1 A The spinal column is made up of vertebral bodies,
2 spinous process is in the back. The vertebral bodies are
3 separated by the disk and --

4 Q The vertebral bodies are the bone?

5 A The bones.

6 Q These are the bones. So these are the thick, white
7 objects that we see there, quite hard because they're bone?

8 A Yes. And the structure is very rigid. Each level has
9 three joints. The joint between the vertebral body is called
10 the intervertebral joint, which is separated by the disk. And
11 behind it, there's two joints called facet joints.

12 And because this is a rigid construct, rupturing any
13 one joint will rupture the other two joints.

14 Now, it's important to understand the anatomy of the
15 disk. The disk has a central core called the annulus fibrosus,
16 which has the consistency of jello. And that allows us to run
17 and jump. It cushions us. It's movable. It's compressible.

18 It is then contained by another structure called
19 the -- the disk is the nucleus pulposus, I'm sorry. The
20 structure that contains it is called the annulus fibrosus, which
21 is a thicker, more rigid-type structure. And the important
22 anatomic construct of that is that it's constructed like layers
23 of an onion. So it has several different layers as we go from
24 inside of the disk to outside where the nerves leave the spinal
25 canal. The spinal cord runs up and down. The spinal canal is

05/24/2012 11:30 AM

138

Dr. Davy - Plaintiff - Direct

1 buffered in front by vertebral bodies and disks and behind is
2 the facet joints and spinous processes.

3 Q Just to give another view, this perhaps is Number 6
4 that's been marked for identification, if you can just identify
5 the parts of the spine there?

6 A This is the jello part, the nucleus pulposus, and the
7 annulus fibrosus, which is layers. It's very tightly wound
8 layers.

9 Q And that outside of the disk is that hard, that
10 annulus fibrosus?

11 A Yes. It's much more -- it's much less compressible
12 than the disk itself. And I usually -- it's the consistency of
13 your jeans as compared to the consistency of jello, which is
14 what the disk is.

15 Q On the inside what the disk is?

16 A Yes. And when you have a herniated disk, the jello
17 part breaks through all the layers of the lining. And this is
18 looking straight on, this is front to back. This is the
19 vertebral body with the disk. This is the spinous process,
20 facet joints and these are called lamina. This is the spinal
21 cord going up and down. This is the nerve root. So as this
22 material comes out here, it can compress the nerve root and
23 cause pain radiating down the leg.

24 In the instance of a bulging disk, when only some of
25 the layers are disrupted, the body releases chemicals in its

05/24/2012 11:30 AM

139

Dr. Davy - Plaintiff - Direct

1 attempt to seal the tear off and choke the extruding part of the
2 disk. And those chemicals can seep from that level to other
3 levels as well as at that level and that can cause what's
4 described as a chemical radiculitis. So chemicals can cause the
5 tingling down the leg as well as a mechanical irritation where
6 the actual disk hits the nerve.

7 Q Doctor, we heard you use two different terms. And
8 just to be clear, you used the term herniated disk where there
9 is an actual tear in this outside -- where the material inside
10 comes through?

11 A Right. It's broken through all the layers in the
12 lining of the disk. That is a herniation. Whereas a bulge, it
13 has only broken through some of the inner layers. You can
14 think of a bulge as sort of a contained herniation where it's
15 broken through some of the layers but has not violated all the
16 layers.

17 Q What is the effect on the patient when there is a
18 contained herniation like a bulge versus a herniation where it
19 has actually broken through? Is there any difference in the
20 manifestation?

21 A There is usually no difference in the clinical
22 symptoms. They have pain radiating down the leg. This is
23 where the MRI will aid in deciding or determining whether it's
24 a bulge or herniation.

25 Q You talked about the nerve roots before. What are the

05/24/2012 11:30 AM

140

Dr. Davy - Plaintiff - Direct

1 roles that the nerve roots play in relation to bulging or
2 herniated disk?

3 A Well, the nerve roots sit in a canal, the
4 intervertebral canal. And that canal, when it's normal, allows
5 those nerve roots to move. That's why you can -- that's why --
6 so this is the intervertebral canal between the vertebral
7 bodies. This is the intervertebral canal.

8 It's a very confined space and that means that it has
9 very little give. So any excess material there will impinge on
10 the nerve as it leaves the canal and goes down, in this case to
11 the leg and in this case to the arm. And what that will do is,
12 when you move the leg or move the arm or when you compress the
13 disk, you can reproduce the irritation on the nerve and
14 reproduce the radicular pain. That's what a straight leg
15 raising does. The patient is either sitting or laying flat and
16 the leg is raised up and that stretches the nerve. And if there
17 is any impingement or material within this foramen here, then it
18 will reproduce the patient's pain and that will help you decide
19 or identify your pain generator.

20 Q Now, with use of the MRIs -- we can hang this guy up
21 over here.

22 With the use of the MRIs, can you explain to the jury,
23 with the use of the shadow box and the films themselves, what
24 you see when you look at the MRI that was taken. First, if you
25 can just identify for us what part of the spine that MRI film

05/24/2012 11:30 AM

141

Dr. Davy - Plaintiff - Direct

1 shows.

2 A This is the MRI of the lower back, lumbar-sacral
3 spine. This is a transverse cut. That's going up and down
4 this way.

5 Q And this was taken on June 27th?

6 A June 27th, 2008.

7 Q Can you just then put that up and --

8 A Okay. As you can see, these lines tell you where you
9 are with respect to the spine. So as you go across, it has --
10 and these images go down. You're taking cuts going down this
11 way here. And one of the better cuts is this level.

12 Q Can you identify for us what cut that is, Doctor?

13 A This is 7 of 14. So you know you're looking at the
14 spine from the side. If you look here, you can see a white
15 shadow and a nice spacing here.

16 Q What does it mean to have a nice spacing?

17 A It means -- this is called the spinal canal which runs
18 up and down. It means that there is no violation of that
19 canal. There is something pressing on the canal or pressing on
20 the spinal cord. If you go up here, you'll see that there is
21 an indentation here. That's quite obvious when compared to
22 here, here or above it.

23 Q Now, I'm going to ask you, just so we can more
24 properly understand the levels that you're referring to, are
25 each of the lumbar vertebra numbered?

05/24/2012 11:30 AM

142

Dr. Davy - Plaintiff - Direct

1 A Yes.

2 Q So the large bones that we see here of the vertebra,
3 they're numbered?

4 A Yes.

5 Q And what is the way that the lumbar vertebra are
6 numbered?

7 A Right. The way to determine what level you are,
8 especially in MRIs, the sacrum does not have any disks. This
9 is the sacrum, right, so this is S1.

10 Q And if we look at our model over here, we see that in
11 the middle column over here, Plaintiff's 6 for identification,
12 we see clearly for the jury where the lumbar vertebral bodies
13 begin.

14 A Yes. This is L5, L4 and L3. And this is the sacrum
15 here. If you look at L3-L4, you see an obvious indentation
16 here which is described by the radiologist as a bulge. And
17 that is subjective. I could easily call that a moderate
18 herniation.

19 Q Now, sir, in looking at our diagram over here, where
20 you're referring to is down in this area here, the L3-L4 area?

21 A Yes.

22 Q That would be in the area of the lower back?

23 A Yes.

24 Q And the radiologists, when they look at these and
25 interpret these, they don't get the benefit of examining the

05/24/2012 11:30 AM

143

Dr. Davy - Plaintiff - Direct

1 patient, correct?

2 A Correct.

3 Q Now, having found the L3-L4 indentation as you put it,
4 that indentation is, if you can use the model again, Number 5,
5 can you show the jury, what it would be like if there's an
6 indentation at that level?

7 A Well, what it would mean is that you see how you can
8 see through these openings? The pins, they're not being
9 pressed upon. There's nothing in there. When you have an
10 indentation, it obviously will press on the nerve. Or
11 depending on where it is, it can also press on the spinal cord.
12 Depending on how severe it is, it will produce varying types of
13 symptoms, pain, tingling, loss of bowel or bladder function.

14 Q Thank you, Doctor. So you see clearly the indentation
15 that the radiologist having determined it's a bulge or what
16 could be described as a herniation there.

17 Doctor, I'm going to ask you, if you can, take a look
18 at another film. Thank you.

19 A This is an MRI with cervical spine.

20 Q Cervical spine, that's the neck region?

21 A The neck. And using the same terminology, you can see
22 here that clearly there are several levels where you have that
23 same indentation. This one more so than the others.

24 Q And, sir, would you, again, just so we understand this
25 better, can you tell us the numbering system for the cervical?

05/24/2012 11:30 AM

144

Dr. Davy - Plaintiff - Direct

1 A Yes. The first cervical vertebral body does not have
2 a disk, so it's C2, 3, 4, 5, 6, 7. So if you look here, there
3 is, I think this is a herniation at C3-4, C4-5 and maybe a
4 bulge at C5-6.

5 Q Doctor, can you give us the particular number, the one
6 you told us before is 7 out of 14?

7 A This is 6 out of 12, cut 6 out of 12.

8 Q Let me just take this down. And if you don't mind,
9 why don't we just have you, with your notes, and you can stay
10 down here because I'm going to ask you questions with regard to
11 the model. But if you need your notes, you can refer to them
12 with regard to your initial examination.

13 You told us you did an initial examination of Ronald
14 Weathers.

15 A Yes.

16 Q Can you tell us what you found in your initial
17 examination of him?

18 A Yes. Significant findings were positive straight leg
19 raises on both sides, that is extension, flexion of the --

20 THE COURT: Are you done with it?

21 MR. AVANZINO: I'm going to ask him a couple of
22 questions, Judge, just from the exam that he did.

23 THE COURT: I want him to sit down when he's
24 testifying and take that down so the jurors can see him.

25 MR. AVANZINO: Yes, Judge.

05/24/2012 11:30 AM

145

Dr. Davy - Plaintiff - Direct

1 Q I think, Doctor, you were telling us about the
2 straight leg raising tests and results of that for
3 Mr. Weathers.

4 A Yes, they were positive. As I stated earlier, it
5 involves raising the leg and moving the nerve roots along the
6 intervertebral foramen that I showed you. And when there is
7 encroachment on the nerve root in that canal from a bulge or
8 herniated disk or tumor or infection, the patient will report
9 tingling down the leg.

10 And normally the patient should be able to raise his
11 leg when laying flat to about 90 degrees without any irritation,
12 and when sitting, to about 30 degrees. So you note the degree
13 where the patient experiences tingling and it's called a
14 positive straight leg raising.

15 Q As well in your physical exam you noted that his gait
16 was markedly antalgic. What does that mean?

17 A Yes, he walked with a limp.

18 Q And when you asked him to flex and to extend his lower
19 back and his neck, what were the results of that?

20 A He had increased pain.

21 Q What's a Spurling's sign?

22 A A Spurling's sign involves eliciting the same tingling
23 except this is done in the neck. It basically increases
24 pressure on the disk. And if the disk in the neck is
25 contained, if the annulus is not violated, the patient will not

05/24/2012 11:30 AM

146

Dr. Davy - Plaintiff - Direct

1 have any tingling.

2 The actual test involves extending the patient's head
3 and bending it to one side and then putting pressure on the top
4 of the head. And what that does, it increases the intra-discal
5 pressure. And if there is violation of the lining of the disk,
6 the patient will have tingling down the side to which the head
7 is being bent. So the left side has tingling to the left. That
8 is how a Spurling's sign is carried out and it allows you to
9 determine whether the patient has radicular symptoms in the
10 neck.

11 Q Sir, after obtaining the history and, by the way, in
12 the history, did you come to learn that Mr. Weathers had
13 arthritis?

14 A Yes. In his past medical history he reported a
15 history of rheumatoid arthritis.

16 Q Doctor, can you explain to the members of the jury
17 what effect rheumatoid arthritis generally has on a patient?

18 A Rheumatoid arthritis is an autoimmune disease where
19 the body attacks the synovial joints. Synovial joints are
20 usually joints that have a high degree of movement, fingers,
21 the knees, the shoulders. It rarely attacks the spine. A
22 synovial joint has a lining with fluid and the spine just does
23 not have that type of material. So rheumatid arthritis usually
24 affects small joints, fingers, knees, toes.

25 Q Doctor, I ask you to assume that Mr. Weathers

05/24/2012 11:30 AM

147

Dr. Davy - Plaintiff - Direct

1 testified in here in court that although he's been treated for
2 arthritis for a few years before this automobile accident, that
3 the treatment for the rheumatoid arthritis was limited to his
4 hands and his knee. Would that be consistent with what you
5 know about rheumatid arthritis and what you found in this
6 patient?

7 A Yes, it would.

8 Q Sir, did you make an initial diagnosis after having
9 reviewed the MRI films, the history and taking an examination
10 of Mr. Weathers?

11 A Yes. My diagnosis was lumbar and cervical
12 post-traumatic disk pathology, myofascial trigger points. I
13 couldn't rule out facet syndrome.

14 Q And did you find radiculopathy in the lumbar and
15 cervical low back and neck regions?

16 A Yes, I did.

17 Q Sir, did you set forth a plan for this patient?

18 A Yes. The plan was to institute lumbar and cervical
19 epidural steroid injections, diagnostic facet nerve injections.

20 Q Let me just stop you at that point. Can you explain
21 to the jury about what an epidural steroid injection is and
22 what the purpose or what the use is for somebody with Mr.
23 Weathers' symptoms and problems?

24 A Right. The epidural space is the space where the
25 spinal cord and nerves live and where the disk can herniate

05/24/2012 11:31 AM

148

Dr. Davy - Plaintiff - Direct

1 into. An epidural steroid injection involves delivering an
2 anti-inflammatory steroid into that area and the steroid works
3 two-fold. It's a particulate steroid, so it has particles and
4 that can help shrink the bulge or herniated disk. The steroid
5 also can go inside of inflammatory cells and shut down their
6 ability to produce a substance called Phospholipase A, which is
7 one of main mediators of pain and inflammation and what causes
8 the chemical radiculopathy and tingling down the leg.

9 So it works both ways, to help decrease the mechanical
10 pressure on the nerve that can cause abnormal sensation such as
11 tingling, as well as the chemical irritation by blocking the
12 production of that substance, Phospholipase A.

13 Q And the goal in providing epidural steroid injections
14 is also to relieve the pain, the symptoms that the patient is
15 having?

16 A Yes.

17 Q And, sir, are those usually conducted in a series of
18 threes?

19 A Yes.

20 Q Can you explain to the jury why that is?

21 A The reason we give a pulse steroid, because the
22 steroid takes about seven to ten days to work, each injection
23 involves giving a certain, a reduced amount of steroid to
24 affect the relief in the pain. So the patient is either given
25 a week to two weeks apart and each time they receive a smaller

05/24/2012 11:31 AM

149

Dr. Davy - Plaintiff - Direct

1 amount of steroid to help decrease the pain, irritation and
2 swelling.

3 Q Was Mr. Weathers given a series of three epidural
4 steroid injections?

5 A Yes.

6 Q Can you just, perhaps with your hands, however you
7 choose to do it, can you explain to the jury what that needle
8 is like that goes into the area of the spine when you inject
9 the epidural?

10 A I have one here.

11 Q You have it with you?

12 A This is a -- can I?

13 MR. AVANZINO: Would that be okay, your Honor, just
14 for the doctor to --

15 THE COURT: You can hold it up.

16 A This is an epidural needle. And we advance this --
17 this is about a three-and-a-half inch needle, and we advance
18 this about two inches into the tissue, about a few millimeters,
19 actually goes into the epidural space. And we then inject a
20 contrast material to confirm that you are in the epidural space
21 and then we administer the medicine. Of course, we numb up the
22 skin before.

23 So the needle passes through skin, soft tissue and a
24 ligament and then it gets into the epidural space. Although
25 this much is in the body, only about this much is in the

05/24/2012 11:31 AM

150

Dr. Davy - Plaintiff - Direct

1 epidural space.

2 Q And he had a series of three. And were those
3 successful, sir?

4 A No.

5 Q What was the next course of action for Mr. Weathers
6 when the epidural steroid injections were not successful?

7 A Because he had pain on extension and reported pain on
8 movement, I then performed a diagnostic procedure. So now I'm
9 trying to find out or pinpoint the pain generator. And my
10 first --

11 Q Let me interrupt.

12 THE COURT: You got to let him answer, counsel.

13 A And my first diagnostic procedure was a diagnostic
14 facet nerve injection.

15 Q And I want you to explain to us what a facet nerve
16 injection is.

17 A If you recall, I described the facet joints, those two
18 joints that are behind the intervertebral joint behind the
19 spinal cord, behind the disk. Because of the rigid construct
20 on each of those levels, when you have a bulging disk or
21 herniating disk, the spacing between the vertebral body is
22 lost. Those vertebral bodies move and the joints, facet joints
23 can rupture and those joints can become arthritic and cause
24 pain.

25 So the diagnostic facet nerve injections numbs up the

05/24/2012 11:31 AM

151

Dr. Davy - Plaintiff - Direct

1 nerve. It's called medial marginal branch nerve and all it
2 serves is to transmit pain from those joints. So a small amount
3 of local anesthetic or Novocaine is injected in or around the
4 nerve. The patient then waits in the office for about ten,
5 fifteen minutes. Then they try to twist and turn. If the pain
6 that was produced with twisting and turning is reduced by
7 fifty percent or more, then we have a positive diagnostic facet
8 nerve injection and the patient is a candidate for a more
9 permanent treatment of that nerve to help alleviate the pain.

10 Q Can you tell us what the result was when you gave Mr.
11 Weathers the facet block injections?

12 A They did not change his pain.

13 Q So what was the next step along your course of the
14 treatment for him?

15 A The next step was to identify which of the disks, if
16 any, was actually causing his pain to see if he would be a
17 candidate for percutaneous discectomy. That is done by doing a
18 diagnostic discogram.

19 The diagnostic discogram is akin to actually going in
20 to each disk and actually squeezing the disks to see which
21 causes the patient pain. It's a blinded test. It's an
22 objective test. The patient does not know which disk I'm
23 irritating. I put a needle in all five disks and then I inject
24 the dye. The dye increases pressure in the disk akin to when he
25 sits for a long time or coughs or sneezes and that pressure, if

05/24/2012 11:31 AM

152

Dr. Davy - Plaintiff - Direct

1 there's violation around the lining of the disk, will reproduce
2 the patient's pain down the leg.

3 Q And did you, in fact, do a discogram on this patient,
4 Mr. Weathers?

5 A Yes, I did.

6 Q And, sir, can you tell us about the results of the
7 discogram?

8 A The discogram, we have a special monitor that measures
9 the pressure and volume injected into the disk and we record
10 the patient's pain. And if he has any when we're injecting the
11 dye, the pain is then -- the patient is then asked to correlate
12 that pain that he has with the same pain that we're trying to
13 treat. And if he says it's the same pain going down the same
14 leg, it's described as concordant pain.

15 When the patient has concordant pain, that disk is
16 then identified as a painful disk. We also inject -- when we
17 inject the dye, we use a live x-ray, fluoroscopy, so we can
18 watch the dye spread and we keep pictures of the dye spread. If
19 you imagine the disk and the annulus, the lining around the
20 disk, if that lining is intact and you inject material in the
21 center of the disk, it will stay in the center of the disk. If
22 there are rips or tears in the lining, the dye, the contrast
23 will leak out.

24 And depending how rapid the dye leaks out, how much of
25 it leaks out, you can then determine that that disk has tears

05/24/2012 11:31 AM

153

Dr. Davy - Plaintiff - Direct

1 around the lining of it and is a painful disk.

2 And during the discogram you can have an abnormal disk
3 that has tears in it, but it just doesn't cause the patient
4 pain. So that's the beauty of the discogram, it allows you to
5 ascertain which disk is causing the pain and what the extent of
6 that pathology of the disk is so you can target treatment.

7 Q And what were the results of the discogram you
8 performed on Mr. Weathers?

9 A Ronald had concordant pain at three levels, L3-4, L2-3
10 and L4-5.

11 Q And that concordant pain means pain that is consistent
12 with the clinical findings of your exam?

13 A Yes.

14 Q Were any pictures produced that are part of your
15 record from that discogram?

16 A Yes, I have pictures here.

17 MR. AVANZINO: Your Honor, can the doctor display
18 those so the doctor can just explain what they are?

19 THE COURT: Have you seen these?

20 MR. GORKIN: No, I haven't.

21 THE COURT: Are these part of his medical records?

22 MR. AVANZINO: Yes.

23 Q Would it help for you to show the jury?

24 A Yes.

25 MR. AVANZINO: With the Court's permission.

05/24/2012 11:31 AM

154

Dr. Davy - Plaintiff - Direct

1 A This is the cross table. This is the back, the front.
2 This is the L5, S1 disk. This, if you look at this dark shadow
3 here, it looks sort of like a cotton ball and that means that
4 the dye is staying in the center of the disk.

5 If you look here, above here, this sort of looks like
6 it's going outside of the lining of the disk. There's defect
7 here, this is 4-5. And above that is 3-4. You can also see
8 that there is some narrowing here of the dye. It's not staying
9 in the center. It's sort of seeping out here at L3-4. This
10 indicates that the lining is violated and it's consistent with a
11 bulging disk.

12 Q Or contained herniation as you were talking about?

13 A Or herniation, yes.

14 Q Thank you, Doctor.

15 Sir, after getting the results of the discogram, with
16 the concordant findings of the discogram results consistent with
17 your clinical exam and the patient's complaints, did you discuss
18 them with Mr. Weathers and the prospect of doing the discectomy?

19 A Yes.

20 Q And can you explain to the jury what the purpose is of
21 a discectomy?

22 A It has many descriptions and one of the best
23 descriptions, it is also described as a disk decompression. If
24 you envision a contained herniated disk, its propensity wants
25 to move back in the space it came from. But because of the

05/24/2012 11:31 AM

155

Dr. Davy - Plaintiff - Direct

1 abnormal changes from the trauma, it has difficulty doing so.
2 And sometimes it will, but then it will move back along the
3 path of least resistance.

4 The discectomy allows the disk, because it is
5 contained and under pressure to move back, it allows that disk
6 material to move off the nerve back into the center of the disk.
7 And what it does, it is simply going in with a special probe and
8 taking out two to three millimeters of disk, very small amount,
9 and that creates a space. And the patient is then told to avoid
10 any pressure this way on the spine. So no excessive sitting or
11 standing, lifting, pushing for two weeks. And over that
12 two-week period, the material moves off the nerve and back into
13 that space.

14 Q So that's the objective. That's the hope from the
15 surgery?

16 A That's the theory behind this percutaneous discectomy,
17 yes.

18 Q Sir, in describing to the patient about the
19 discectomy, what the goal is, what the purpose is, do you also
20 go over the potential risks with the patient?

21 A Yes.

22 Q Can you explain what the risks are?

23 A The risks are, the main risk is, although very small,
24 is infection. The disk has very little blood supply. So if
25 you have infection in the disk, you get a diskitis, one of the

05/24/2012 11:31 AM

156

Dr. Davy - Plaintiff - Direct

1 only ways to treat it is to open the back and clean out the
2 disk, a major surgical procedure. Other complications are
3 procedure dependent. You can inadvertently sever a nerve root.
4 You can impale a spinal cord. But that usually doesn't happen
5 with experienced surgeons.

6 Q And, sir, we have in evidence the actual Brooklyn
7 Hospital record where the surgery was performed. I want to ask
8 you to comment on that in a moment.

9 Doctor, I'm going to show you what has been marked as
10 Defendant's C in evidence. This is the Brooklyn Hospital
11 record. Do you see that, sir?

12 A Yes.

13 Q And that has your operative record in there?

14 A Yes, it does.

15 Q Can you tell us what date the operation was performed?

16 A February 19, 2009.

17 Q Can you describe for the jury what you did during the
18 operation, what the operation consisted of?

19 A Right. I spoke to Ronald before and on the morning of
20 the procedure and ascertained exactly where most of this
21 radiating pain was, which was around the knee and the upper
22 thigh. And so the decision was made to just decompress two
23 disks, the L3-4, which was the disk that had the bulge on MRI,
24 in addition to the L4-5 disk, which was not described as
25 abnormal on the MRI, but on the discogram reproduced his pain

05/24/2012 11:31 AM

157

Dr. Davy - Plaintiff - Direct

1 and showed leakage or tears in the annulus fibrosus.

2 Q And did you then set about removing that disk material
3 from the L3-4 and 4-5 levels?

4 A Yes.

5 Q Was he under anesthesia when you did this?

6 A Yes.

7 Q Doctor, can you tell us, we know what the goal is the
8 benefit of the removal of the disk material, but I've heard it
9 described essentially as borrowing from tomorrow to help today.
10 What is the long term effect when you remove disk material from
11 the patient?

12 A Any time you go into the body like that, it responds
13 with an inflammatory response. You also lose disk material.
14 So you can have loss of his height. You can have arthritic
15 changes in the disks. But because the probe is so small and we
16 remove such a small amount, a normal disk is about 69 cc's of
17 material. We don't remove more than two or three cc's at a
18 time. And so the long-term effects are minimal when it is done
19 this way.

20 Q Sir, can you tell us what the results were from the
21 surgery you performed on Ronald?

22 A Ronald had excellent relief of his radicular pain. He
23 did quite well with the procedure, but he continued to have
24 axial back pain in the back.

25 Q When you say axial back pain, can you tell us what

05/24/2012 11:31 AM

158

Dr. Davy - Plaintiff - Direct

1 that means?

2 A Meaning the pain that stays in the back and is not
3 radiating down the legs.

4 Q So nothing from the injections or the surgery were
5 able to assist or alleviate that axial or localized back pain?

6 A Correct.

7 Q Sir, did you continue to have the opportunity to treat
8 Ronald Weathers after the surgery?

9 A Yes. And we tried several different medications to
10 try to alleviate the axial back pain including Tylenol, Motrin
11 and long-acting and short-acting opiates.

12 Q And over what period of time did you continue to see
13 Mr. Weathers as a patient?

14 A I saw him about every four weeks and I last saw him in
15 the summer of 2011.

16 Q And, sir, did you come to an ultimate diagnosis based
17 on your examinations of him and your treatment of him over the
18 course of that period of time?

19 A Yes. It was my opinion that, especially in the lower
20 back, he continued to have pain. And because he had back
21 surgery, his diagnosis changed to something called post-back
22 surgery symptom or chronic intractable pain. And I proposed to
23 him a very beneficial treatment called a spinal cord stimulator
24 for his continued lower back pain.

25 Q And can you explain to the jury what is involved with

05/24/2012 11:31 AM

159

Dr. Davy - Plaintiff - Direct

1 a spinal cord stimulator?

2 A Right. It is a therapeutic intervention that it's not
3 a cure. It basically blocks the pain. It does so by taking
4 advantage of the gate control theory of pain.

5 The gate control theory of pain emphasizes that -- the
6 central nervous system, the brain has different gates. And when
7 one set of nerves are working, the gate shuts off other sets of
8 nerves. That's why you can focus on different things. And so
9 with pain, when sharp burning pain is being processed in the
10 central nervous system, other gates are closed. When vibratory
11 pain is being processed, the gate to the sharp burning pain is
12 shut off.

13 The best example of that is if you ever stubbed your
14 toe or hit your elbow or your finger and you give it a deep rub,
15 it makes the pain better. Basically what you're doing when
16 you're rubbing it, you're stimulating one set of fibers, beta
17 fibers, that require a smaller current. And when you stimulate
18 those, they shut off the gate, they close the gate on the sharp
19 burning pain, A delta and C fibers. So the spinal cord
20 stimulator uses gentle electrical stimulation on the spinal cord
21 to modulate those painful signals.

22 This is delivered by using specialized electrodes that
23 is implanted into the epidural space. It requires a trial
24 period where the temporary electrodes are implanted through
25 epidural needles, similar to this one, and the patient keeps it

05/24/2012 11:31 AM

160

Dr. Davy - Plaintiff - Direct

1 in temporarily. The patient is taught how to turn the
2 stimulator on and off. And after five to seven days they're
3 removed and the patient is assessed for pain removal, they're
4 ability to tolerate the tingling and their use of pain
5 medicines. And if there's a fifty percent reduction in the pain
6 medicine score and if the patient is able to tolerate the
7 tingling and if they use less pain medication and able to sleep
8 better, that is deemed as a successful trial.

9 The temporary leads, electrical, is removed and a more
10 permanent system is implanted which requires a mild -- the only
11 minimal surgery that you'd ever have, it's a surgical procedure
12 where two incisions are made, one incision in the back, two to
13 three new electrodes are implanted. They're secured to the
14 ligaments above the spine. The leads are then tunneled into one
15 of the butt cheeks where a battery pack is implanted and
16 everything is on the skin and the patient can control the
17 permanent system by remote.

18 Q Doctor, if you could go over with us, please, what the
19 cost would be for Ronald, if he chose to undergo that spinal
20 cord stimulator, first the trial and then the actual
21 implantation of the stimulator.

22 A The trial, if it's done in the office, which would not
23 require anesthesia or hospital bill is about \$15,000. Each
24 lead is about \$7,000. But usually if it's done in the office,
25 I can get it discounted. In the hospital that cost increases

05/24/2012 11:31 AM

161

Dr. Davy - Plaintiff - Direct

1 because there's the cost of anesthesia and cost of the
2 facility. So in the hospital, the trial is about \$25,000.

3 And then the implant is the same \$25,000 in addition
4 to the battery pack, which is about \$30,000. So the permanent
5 is about 50 to \$60,000.

6 Q And as far as the permanent stimulator, what type of
7 maintenance or upkeep is necessary with the permanent
8 stimulator?

9 A Well, if everything goes well and the patient uses
10 their stimulator moderately, the battery lasts between seven to
11 nine years. They have a rechargeable battery that the patient
12 can recharge through the skin through telemetry remote control.
13 When it gets depleted, it needs to be replaced. So that would
14 require another \$30,000 plus the physician, hospital fee and
15 anesthesia fees.

16 Q Doctor, I'm going to ask you a hypothetical now. I'm
17 going to ask you to assume the following information: I'm
18 going to ask you to assume that Ronald Weathers, at 56 years of
19 age on June 23 of 2008 was involved in a motor vehicle
20 accident;

21 That he was the driver of a car wearing a seat belt
22 traveling on Shell Road when another car coming the opposite
23 direction made a sudden left turn in front of him causing Mr.
24 Weathers' car to strike the passenger side of this other
25 vehicle, this SUV that had made the turn in front of him;

05/24/2012 11:31 AM

162

Dr. Davy - Plaintiff - Direct

1 And that Mr. Weathers, as a result of the impact,
2 seated in the car, was caused to go forward in the car and be
3 restrained or stopped by his seat belt;

4 That in the course of being stopped by his seat belt,
5 he was then snapped back toward his seat;

6 And that as a result of this impact, his glasses and
7 hat flew off his head;

8 And as a result of the impact, the fastener by the
9 seat belt actually snapped;

10 That Mr. Weathers is approximately six feet, two
11 inches tall and at the time of the accident weighed
12 approximately 250 pounds;

13 That Mr. Weathers had previously been diagnosed with
14 rheumatoid arthritis which he testified affected his knee and
15 his hands;

16 That he had also been working throughout his adult
17 life, primarily as an automobile mechanic;

18 And that he had last worked in December 2007 when the
19 dealership where he was working as an auto mechanic was caused
20 to close down;

21 That over the next six months leading up to this motor
22 vehicle accident he was being treated for prostate cancer, even
23 had surgery in April and undergoing radiation treatments at the
24 time this accident actually took place, this auto accident;

25 That following the auto accident, Mr. Weathers had

05/24/2012 11:31 AM

163

Dr. Davy - Plaintiff - Direct

1 complained about his neck and his back, but not severe enough
2 for him to go in an ambulance from the scene to a local
3 emergency;

4 And it wasn't until the next afternoon that he went to
5 Coney Island Hospital emergency room where he had complaints
6 again about his neck and his back. And he was treated, x-rayed,
7 and given pain medication and released, being told to follow up
8 with his doctor;

9 That the next day he went to a doctor, Dr. David
10 Delman for treatment. Dr. Delman examined him, ordered MRIs for
11 him and set him out on a course of physical therapy;

12 That the MRIs were taken at Stand-Up MRI on June 27
13 and those MRIs consisted of MRIs of his cervical, neck region
14 and his lower back; that those MRIs revealed herniations in his
15 neck as well as a bulge or contained herniation in his lumbar
16 spine.

17 MR. GORKIN: Objection.

18 THE COURT: Sustained.

19 Q That he was sent for MRIs of his neck and his back and
20 that he was then given physical therapy with -- he was given
21 physical therapy with Dr. Delman's office and was sent to you
22 on August 20th of 2008;

23 That you reviewed the MRIs and found there to be
24 herniation in the cervical region as well as contained
25 herniation or bulge in the lumbar region in L3, L4;

05/24/2012 11:31 AM

164

Dr. Davy - Plaintiff - Direct

1 That you set Mr. Weathers out on a course of epidural
2 steroid injections. He had three of them, followed by a month
3 later, facet block injections. Neither set of injections were
4 successful in alleviating his pain;

5 That you had him undergo a discogram which required
6 dye being injected into his spinal column to determine the
7 actual level where the pain source was coming from;

8 That you made a determination that he had concordant
9 pain at the levels in his lumbar including L3-4 and L4-5;

10 That Mr. Weathers then underwent a discectomy or
11 decompression at the L3-4 and 4-5 levels at Brooklyn Hospital;

12 That he continued to treat with you over the course of
13 the next two-and-a-half years. And that although the surgery
14 relieved the radicular pain that he had been experiencing, he
15 still had the axial pain or lower back pain as well as
16 discomfort and pain in his neck;

17 That Mr. Weathers was then advised by you of the
18 prospect of having a spinal cord stimulator implanted on your
19 last visit in July 2011.

20 Sir, I'm going to ask you now, based on those details,
21 if you have an opinion with a reasonable degree of medical
22 certainty, sir, as to whether Mr. Ronald Weathers' condition,
23 the condition producing a pain in his neck and lower back, the
24 herniations in his neck, the contained herniation in or bulge in
25 his lower back, if those were causally related -- and the one

05/24/2012 11:31 AM

165

Dr. Davy - Plaintiff - Direct

1 other detail I'll add is that he had no prior complaints of neck
2 or back pain prior to the motor vehicle accident, nor had he
3 treated for neck or back pain prior to the motor vehicle.

4 Now, sir, do you have an opinion with a reasonable
5 degree of medical certainty as to whether Mr. Ronald Weathers'
6 pain in his lower back and his neck, the herniations and bulging
7 disk, whether those were causally related by the motor vehicle
8 accident of June 23, 2008?

9 A Yes, I do believe that all his pain and subsequent
10 inability to work were a result of the motor vehicle accident.

11 Q Now, sir, I'm also going to ask you, based on that
12 same hypothetical, do you have an opinion with a reasonable
13 degree of medical certainty as to whether these injuries that
14 Mr. Weathers sustained, the herniated disk as well as the
15 bulging disk, whether those injuries were or are permanent in
16 nature?

17 A Yes.

18 Q And can you amplify that or expound on that?

19 A Yes. He clearly has had some benefit from the
20 treatments that I've administered to him, but he continues to
21 have pain primarily in his lower back. And it tends to be in
22 the moderate to severe region and limits his ability to work
23 and, therefore, would require additional treatments if we were
24 to plan to decrease the pain and improve his function. So
25 there is permanency. The treatment offered is not a cure. It

05/24/2012 11:31 AM

166

Dr. Davy - Plaintiff - Direct

1 is sort of a symptomatic treatment to block the pain.

2 Q And, sir, do you have an explanation for us with
3 regard to the term exacerbation and remission? Are those
4 conditions, the herniations in his neck, the contained
5 herniation problems with his lower back, are those conditions
6 that can be subject to exacerbations or remissions and can you
7 explain what that means?

8 A Yes. The nature of the disk as I described it, it
9 being movable and not rigid, there can be instances where Mr.
10 Weathers might cough, perform sudden movement and this can
11 certainly increase his pain. Because of the defect of the
12 lining around the disk, he's at increased risk for worsening of
13 the herniation, extruding the disk into the spinal canal. That
14 could cause severe neurologic deficits that would require
15 immediate surgery.

16 Q So the disks, as you've examined, based on your
17 examination and your review of the MRIs, discogram and surgery,
18 you're telling us Mr. Weathers' disks that have been injured as
19 a result of this motor vehicle accident are now more -- make
20 him more vulnerable and susceptible to injury going forward?

21 A Yes.

22 Q Doctor, do you have an opinion with a reasonable
23 degree of medical certainty whether the injury, first I'm going
24 to deal with the cervical region, with regard to Mr. Weathers'
25 cervical region, is that a significant limitation of his

05/24/2012 11:31 AM

167

Dr. Davy - Plaintiff - Direct

1 ability to use his cervical region or his neck region?

2 MR. GORKIN: Objection.

3 THE COURT: Sustained.

4 Q Doctor, do you have an opinion with a reasonable
5 degree of medical certainty whether the injury, as you've
6 diagnosed it with regard to Mr. Weathers' neck, is a
7 significant limitation for him?

8 MR. GORKIN: I don't know that he ever diagnosed his
9 neck of any injury. I don't think we've had any testimony
10 about his neck.

11 THE COURT: Do a little back-up before you ask that.
12 Sustained.

13 Q Sir, you reviewed the MRI films for us of Mr.
14 Weathers' cervical region?

15 A Yes.

16 Q And you found in those MRIs that there were three
17 levels of herniated disks?

18 A Yes.

19 Q Sir, you also performed a number of examinations on
20 Mr. Weathers. You told us in that initial diagnosis that you
21 had found there to be a positive Spurling's sign, positive
22 tenderness throughout the facet joints, range of motion
23 decreases in his neck.

24 A Yes.

25 Q And that you had made an initial diagnosis of the

Dr. Davy - Plaintiff - Direct

1 cervical post-traumatic disk pathology, cervical radiculopathy?

2 A Yes.

3 Q And you continued to examine Mr. Weathers over the
4 course, that was August of 2008 you continued to see him
5 basically for the next three years and continued to treat him
6 through last summer.

7 A Yes.

8 Q And, sir, did you reach a conclusion about the
9 herniated disks that you had seen on the MRI films and were
10 those consistent with your findings, your clinical findings?

11 A Yes, they were.

12 Q And is it your opinion, with a reasonable degree of
13 medical certainty, that those herniated disks that you saw on
14 the MRI films and consistent with your clinical examinations,
15 that those were injuries related to the motor vehicle accident
16 of June 23rd, 2008?

17 A Yes.

18 Q And subject to the exacerbations and remissions, is
19 this a permanent condition, the injury to his cervical region?

20 A Yes.

21 Q And do you have an opinion, with a reasonable degree
22 of medical certainty, whether that produces a significant
23 limitation of his ability to use his neck?

24 A Yes.

25 Q Do you have an opinion -- and what is that opinion,

05/24/2012 11:31 AM

169

Dr. Davy - Plaintiff - Direct

1 sir?

2 A That it significantly limits his ability to use his
3 neck and upper extremities.

4 Q Sir, with regard to the lower back now, his lumbar
5 region, with the same basis, the MRI that you reviewed as well
6 as all your clinical examinations of him, do you have an
7 opinion, and the treatment you rendered, do you have an opinion
8 with regard to the lumbar, lower back region, does the injury
9 to his lower back as you've diagnosed related to this motor
10 vehicle accident, does that produce a significant limitation of
11 Mr. Weathers' ability to use his lower back?

12 A Yes.

13 Q What is that limitation?

14 A It produces a significant limitation in his ability to
15 use his back and his legs.

16 Q Now, I know that he has been your patient for a number
17 of years and you've seen him on many, many occasions. And we
18 didn't go through each and every one of the visits, but were
19 your findings on your visits with him consistent with these
20 conclusions that you've reached?

21 A Yes, they have been.

22 Q And is it your opinion, sir, that the spinal cord
23 stimulator that you spoke to Mr. Weathers about, if a trial is
24 done and successful and a permanent spinal cord stimulator is
25 inserted for Mr. Weathers, might that help to alleviate some of

05/24/2012 11:31 AM

170

Dr. Davy - Plaintiff - Direct

1 the problems that he has with his spinal column?

2 A Yes.

3 MR. AVANZINO: Thank you, sir.

4 THE COURT: We're going to take a break so you can
5 look at the records. And you've been going for an
6 hour-and-a-half, so we'll take a fifteen minute break.

7 (Whereupon the jury exits and a recess was taken)

8 (Whereupon the jury enters)

9 THE COURT: Ladies and gentlemen of the jury, we're
10 now going to have cross-examination.

11 CROSS EXAMINATION

12 BY MR. GORKIN:

13 Q Good morning, Doctor.

14 A Good morning.

15 Q Can you tell us, sir, you testified that you did some
16 work for plaintiffs, testified for plaintiffs?

17 A Yes.

18 Q Have you ever testified on behalf of any of
19 Mr. Resnick's clients?

20 A No.

21 Q Have you ever testified on behalf of any of
22 Mr. Avanzino's clients?

23 A No.

24 Q Approximately how many cases have you testified for
25 for plaintiffs?

05/24/2012 11:31 AM

171

Dr. Davy - Plaintiff - Cross

- 1 A Maybe this is my fifth one.
- 2 Q Have all those cases involved automobile accidents?
- 3 A I don't remember.
- 4 Q Have all those cases involved injuries, claimed
5 injuries to a person's neck or a person's low back?
- 6 A Yes.
- 7 Q You have the Coney Island Hospital record as part of
8 your records that you just looked at?
- 9 A Yes.
- 10 Q Before we get to that, when you first saw Mr.
11 Weathers, you took that history you told us about, correct?
- 12 A Yes.
- 13 Q And you told us that he told you that he was involved
14 in a motor vehicle accident on June 23rd?
- 15 A Yes.
- 16 Q And did you ask him other than where the two cars
17 happened to hit, did you ask him any other questions about the
18 accident such as were you moving? Were you standing still?
19 Were you going fast? Were you going slow?
- 20 A Yes. Yes, I did.
- 21 Q And what did he tell you with respect to how fast he
22 was going?
- 23 A I did not ask him that or did not document that. I
24 documented that his car was moving and it was hit in the front.
- 25 Q Did you indicate or did he indicate to you whether it

05/24/2012 11:31 AM

172

Dr. Davy - Plaintiff - Cross

1 was the right front of his car that was hit or the driver's
2 side of the car?

3 A No.

4 Q He didn't. Did you ask him that?

5 A I don't think so.

6 Q Did you ask him about whether the impact was light,
7 medium, heavy, any questions along those lines?

8 A No.

9 Q You didn't think that was important to know?

10 A No.

11 Q As to whether or not those injuries that you told us
12 about were caused by the impact or not, maybe not caused by the
13 impact?

14 A I really don't dwell on the specific mechanics or, you
15 know. I focus on the patient's pain.

16 Q I understand that. But did you think it was important
17 to know the force of the impact with respect to what developed
18 after the impact?

19 A No.

20 Q When you saw him for the first time, that was after he
21 had been seen by Dr. Delman, correct?

22 A Yes.

23 Q And after he had been to Coney Island Hospital,
24 correct?

25 A Yes.

05/24/2012 11:31 AM

173

Dr. Davy - Plaintiff - Cross

1 Q Did you have the Coney Island Hospital record when you
2 first saw him in August of 2008? Did you have it in your
3 possession?

4 A No.

5 Q So at that time in August of 2008 when you first saw
6 him, you didn't know what they did or didn't do for him at
7 Coney Island Hospital?

8 A Correct.

9 Q When did you find out what they did or didn't do for
10 him at Coney Island Hospital?

11 A A few weeks ago when I obtained the records.

12 Q You got the Coney Island Hospital records a few weeks
13 ago?

14 A Yes.

15 Q You never had them at any time while you treated Mr.
16 Weathers?

17 A No.

18 Q Any particular reason why you decided to get them
19 three weeks ago?

20 A Just to be thorough in preparing for today. They're
21 not necessary. As is the mechanism of the accident wasn't
22 necessary because he had no pre-existing --

23 Q Doctor, if you would, if you could just answer the
24 question I'm asking you.

25 A Sure.

05/24/2012 11:31 AM

174

Dr. Davy - Plaintiff - Cross

1 Q You didn't feel that the Coney Island Hospital records
2 were necessary to care or treat Mr. Weathers, correct?

3 A Absolutely not.

4 Q When you got those records, did you review them?

5 A Yes, I did.

6 Q And I think you told us that the records, which are in
7 evidence, indicate that Mr. Weathers came into the hospital on
8 June 24th, correct?

9 A Correct.

10 Q And he came in -- the doctor who took the initial
11 history took the history of the accident on the day before,
12 correct?

13 A Yes.

14 Q And he took the history with respect to complaints
15 that were made by Mr. Weathers at that time?

16 A Yes.

17 Q And you reviewed those complaints?

18 A Yes.

19 Q Is there anywhere in the Coney Island Hospital record
20 that you reviewed of Mr. Weathers making a complaint of
21 radiating pain from his low back into any of his legs?

22 A No.

23 Q There was an examination done at Coney Island
24 Hospital, a neurological examination?

25 A Yes.

05/24/2012 11:31 AM

175

Dr. Davy - Plaintiff - Cross

1 Q What does a neurological examination consist of,
2 Doctor? Can you tell us?

3 A Yes. Basically sensory, motor testing, the dermatomes
4 and myotomes, patient's bulk or tone of the muscles. You can
5 also test cranial nerves, vision cells.

6 Q What is the doctor doing that neurological exam, what
7 is he looking for?

8 A He's looking for sensory motor deficits that would
9 require immediate either diagnostic or therapeutic
10 intervention.

11 Q And you reviewed that examination?

12 A Yes.

13 Q The doctor performed a motor examination. What does
14 that motor examination consist of?

15 A It basically consists of the patient's ability to move
16 their extremities, to generate pressure either by grasping.
17 Also standing on their toes or heels.

18 Q And that motor examination, that was done with both
19 arms and both legs, correct?

20 A You're talking about the Coney Island exam, correct?

21 Q Right, the neurological exam dated June 24th, 2008.
22 Do you have it?

23 A I have.

24 Q My question is, was the motor examination done of both
25 arms and both legs?

05/24/2012 11:31 AM

176

Dr. Davy - Plaintiff - Cross

- 1 A Yes.
- 2 Q And what did they test with respect to power?
- 3 A Right. The power is graded zero to five, five out of
4 five is normal.
- 5 Q And they test the nerves?
- 6 A Yes, the power tests the nerves.
- 7 Q So they tested both arms and both legs, correct?
- 8 A Correct.
- 9 Q I think you said it ranges from zero, zero being what?
- 10 A No movement.
- 11 Q No movement. And one next up from zero, what would
12 that indicate?
- 13 A It says here muscle fasciculation.
- 14 Q What is that?
- 15 A Twitching movements of muscles.
- 16 Q And up from there, number three would be what?
- 17 A Movement that's not against gravity.
- 18 Q Are you familiar with any of these tests, Doctor? I
19 get the impression you're not familiar with these types of
20 neurological tests.
- 21 A Not in that detail.
- 22 Q You're not a neurologist, correct?
- 23 A Correct.
- 24 Q You don't do neurologic testing?
- 25 A I do.

05/24/2012 11:31 AM

177

Dr. Davy - Plaintiff - Cross

1 Q You do, but you're not familiar with that?

2 A Not in that minute detail.

3 Q And then number four, there's a number four that the
4 doctor could use?

5 A Yes.

6 Q What does that indicate?

7 A That is movement without resistance.

8 Q And then there's number five and number five means
9 that everything would be considered to be normal?

10 A Yes.

11 Q Isn't that what the doctor who examined him on June 24
12 found on the motor examination, his arms and legs, everything
13 was normal?

14 A Correct.

15 Q And then the doctor also does another examination,
16 correct?

17 A Correct.

18 Q Of his deep tendon reflexes, correct?

19 A Correct.

20 Q What are deep tendon reflexes?

21 A Deep tendon reflex is the response to a stimulus and
22 it examines an arcing in the nervous system, a sensory and
23 motor response.

24 Q Does it indicate in the report whether the findings
25 were normal or abnormal?

05/24/2012 11:31 AM

178

Dr. Davy - Plaintiff - Cross

- 1 A Normal.
- 2 Q So both his motor examination and his tendon reflexes
- 3 were normal, correct?
- 4 A Yes.
- 5 Q On June 24th?
- 6 A Yes.
- 7 Q Now, did the doctor also, according to the record,
- 8 examine Mr. Weathers' neck?
- 9 A Yes.
- 10 Q And what did he check off with respect to the
- 11 examination of Mr. Weathers' neck on June 24th?
- 12 A Supple.
- 13 Q What does supple mean?
- 14 A It means that he could move his neck in all range of
- 15 motion.
- 16 Q Freely?
- 17 A Yes.
- 18 Q Without pain?
- 19 A Yes.
- 20 Q And after that examination, did the doctor, the
- 21 neurologist, the doctor who examined him, what was his
- 22 impression as recorded in the record?
- 23 A I can't make this out.
- 24 Q Would it be neural, NL, neurological normal exam?
- 25 A Okay, normal. Okay. Okay. Yes, yes.

05/24/2012 11:31 AM

179

Dr. Davy - Plaintiff - Cross

1 Q Now, did they also do some x-rays, you told us, at the
2 hospital?

3 A Correct.

4 Q And the radiologist gave his findings on x-ray,
5 correct?

6 A Correct.

7 Q On the front page. He took x-rays of the neck,
8 correct, cervical spine?

9 A Yes.

10 Q The lumbosacral spine?

11 A Yes.

12 Q And the left knee, correct?

13 A Correct.

14 Q And what were his findings with respect to the x-rays
15 that he took?

16 A They were normal.

17 Q Did he find any degenerative changes on those x-rays?

18 A Not that he indicated.

19 Q Are you familiar with that term, Doctor, degenerative?

20 A Yes, I am.

21 Q Could you give us a definition of that term?

22 A It's a deviation from normalcy that usually occurs
23 over time.

24 Q And not caused by any trauma, correct?

25 A I disagree. A trauma can result in degeneration of an

05/24/2012 11:31 AM

180

Dr. Davy - Plaintiff - Cross

1 area over time.

2 Q Over time?

3 A Yeah. Trauma can initiate the degeneration.

4 Q How much time would it take for a trauma to initiate a
5 degenerative change?

6 A About six weeks to several months.

7 Q Now, you treat a lot of patients you say with back
8 problems?

9 A Yes, I do.

10 Q Do all those patients who come to you with back
11 problems, they come as a result of some trauma to their back?

12 A Most of them, yes.

13 Q Do others come for other reasons beside trauma?

14 A Yes. There are patients who have cancer pain causing
15 back pain.

16 Q Other than trauma or cancer, any other reason why
17 someone would come to you with a complaint of low back pain?

18 A Yes, from just sudden --

19 Q Normal wear and tear?

20 A -- sudden onset or gradual onset from normal wear and
21 tear.

22 Q Over time?

23 A Yes.

24 Q Now, basically when it comes to herniated disks
25 there's two causes. There's either a traumatic cause, correct?

05/24/2012 11:31 AM

181

Dr. Davy - Plaintiff - Cross

1 A Correct.

2 Q As you say in this case, correct?

3 A Correct.

4 Q Or some other cause such as degenerative changes,
5 correct?

6 A Yes.

7 Q Not instituted by trauma, just degenerative changes?

8 A Correct.

9 Q So it could be either traumatically caused or
10 degeneratively caused?

11 A Yes.

12 Q Would that also be the case with bulges?

13 A Yes.

14 Q You can get a bulge from trauma?

15 A Yes.

16 Q You're sure about that?

17 A Yes.

18 Q When you first saw Mr. Weathers, you got the
19 information that he had been referred by Dr. Delman?

20 A Yes.

21 Q And when you got that information from the patient,
22 did you contact Dr. Delman to find out what had gone on with
23 respect to Dr. Delman's either diagnosis or Dr. Delman's care
24 and treatment? Did you contact him when you first came to see
25 Mr. Weathers?

05/24/2012 11:31 AM

182

Dr. Davy - Plaintiff - Cross

1 A No. I don't usually do that.

2 Q It's not important to know what another treating
3 doctor found?

4 A No. That actually is not good because it will then
5 create a bias in your determination and your approach to the
6 patient's complaints.

7 Q Let me just go back to the Coney Island Hospital
8 record for a second. You told us that the diagnosis there was
9 neck and low back musculoskeletal pain.

10 A Yes.

11 Q And left knee sprain.

12 A Yes.

13 Q And according to the hospital record, his discharge
14 condition was listed as what?

15 A Good.

16 Q Now, with respect to herniated disks, would you agree
17 with me that if there was no history of radiating back pain,
18 that would kind of lead you away from the diagnosis of a
19 herniated disk, if there was no indication of radiating pain?

20 A Yes, it would.

21 Q When you first saw Mr. Weathers in August of 2008, did
22 you ask him whether or not this pain that he was telling you
23 about, this low back pain, did you ask him whether it radiated?

24 A Yes, I did.

25 Q What did he tell you?

05/24/2012 11:31 AM

183

Dr. Davy - Plaintiff - Cross

1 A He said no.

2 Q He said no?

3 A Correct.

4 Q If I tell you that Mr. Weathers testified yesterday
5 that, in fact, he did tell you that he had radiating pain, is
6 that something you would have written down?

7 A I would have written it down.

8 Q So as far as you know, based on your records, Mr.
9 Weathers, when he first came to you, never complained to you
10 about any radiating pain from his back to his legs?

11 A That's correct, according to my notes, yes.

12 Q And you, when you first saw him, never did any testing
13 of your own to confirm this diagnosis other than the straight
14 leg raising you told us about; is that correct?

15 A That's correct.

16 Q And that straight leg raise test, that's a little
17 different than an MRI, isn't it?

18 A Yes, it is. Wait, I did do diagnostic tests
19 afterwards.

20 Q We're talking about the first visit. That's all we're
21 talking about, the first visit.

22 The only diagnostic test you did is a straight leg
23 raise test, according to your records?

24 A Yes.

25 Q You never did any range of motion, having him turn his

05/24/2012 11:32 AM

184

Dr. Davy - Plaintiff - Cross

1 neck from side to side or his back?

2 A I'm sure I did. He had pain -- yes, I did do those.

3 Q What did you do?

4 A I had him bend forward. I had him bend backwards. I
5 noted that he had pain on those maneuvers.

6 Q Did you make any notation as to the degree of forward
7 flexion or back?

8 A No, I did not.

9 Q You also asked him whether he had any numbness,
10 tingling or spasms, correct?

11 A Correct.

12 Q Would it indicate if he said yes?

13 A It would indicate severe neurological deficits.

14 Q And his answer to those questions were no?

15 A No, consistent with the emergency room doctor's
16 findings.

17 Q Now, did he tell you, sir, that he hasn't been able to
18 work since the time of the accident because of the pain, is
19 that what he told you?

20 A Yes.

21 Q He didn't tell you that he had been out of work since
22 six months before the accident because his place of business
23 went out of business? He didn't tell you that?

24 A No.

25 Q Now, when you saw him on the 8th, did you have the MRI

05/24/2012 11:32 AM

185

Dr. Davy - Plaintiff - Cross

1 films?

2 MR. AVANZINO: 8th of what?

3 Q I'm sorry, August, not 8th, August 20. When you saw
4 him in August of '08, did you have the MRI films at that time?

5 A I think so, yes.

6 Q You think so?

7 A Yes.

8 Q Did he bring them?

9 A Yes, I think, yes.

10 Q Did you examine the films at that time?

11 A Yes.

12 Q And did the films also contain in the folder a
13 radiologist report?

14 A Yes, I had the radiologist report.

15 Q And did you read that report before you looked at
16 films or after you looked at films?

17 A Probably before.

18 Q And with respect to the low back, your opinion after
19 reading the MRIs is not consistent with the board certified
20 radiologist who read the MRIs; is that correct?

21 A Well, I said I wouldn't have called that a bulge.

22 Q Just --

23 MR. AVANZINO: Can he answer the question?

24 THE COURT: Sustained. Let him answer the question.

25 A I said I would not have called that a bulge.

05/24/2012 11:32 AM

186

Dr. Davy - Plaintiff - Cross

1 Q And could you tell us then, Doctor, when you became
2 board certified in radiology?

3 MR. AVANZINO: Objection, Judge.

4 THE COURT: Sustained.

5 Q Did you ever become board certified in radiology?

6 A No, and that's why I incorporated his report in my
7 report.

8 Q Well, his report differs from your report?

9 A Correct.

10 Q So he's the one who made the mistake; you're the one
11 who read it correctly?

12 A No, no, you're fragmenting the case. You're
13 fragmenting the neuro exam and radiology. You have to put
14 everything together. I'm not a neurologist. I'm a pain
15 specialist. So neurologic deficits doesn't rule out pain. One
16 can have a normal neurological exam and be in severe pain and
17 that needs to be emphasized. So the fact that this neurologic
18 exam was normal does not in any way, shape or form rule out the
19 fact that he had pain from the accident and from the physical
20 findings which was corroborated with the MRI findings.

21 Q Doctor, just so I'm clear, your opinion is that all of
22 those findings on those MRIs that you read to the jury, all of
23 those findings resulted from trauma of the accident of June 23,
24 2008; is that correct? Is that correct? Yes or no.

25 MR. AVANZINO: Or if the doctor can't answer it.

05/24/2012 11:32 AM

187

Dr. Davy - Plaintiff - Cross

1 THE COURT: Let's see what his answer is.

2 A Not all of them.

3 Q Not all of them?

4 A Some of them.

5 Q So which were the result -- let's do it this way.

6 Which weren't as a result of the accident? Which findings were
7 not as a result of the accident?

8 A I don't know.

9 Q Which findings were the result of the accident?

10 A The bulging disk, the herniated disk. It's difficult
11 to -- you know, we're focusing a lot of attention on a
12 diagnostic test that is very overly sensitive and will show
13 abnormal findings even when the patient has no clinical finding
14 and symptoms. I understand your job and what you need to do,
15 but that's not how I treat patients by fragmenting and focusing
16 on one thing.

17 So with all due respect, to answer the question, yes,
18 obviously Mr. Weathers is a 56 year old gentleman who did a lot
19 of heavy work. So some of the findings were probably present
20 before his accident. But none of the findings caused any pain
21 or disability or his inability to work.

22 Q Well, I need to know, Doctor --

23 A And that was my focus.

24 Q You told us that on direct examination with a
25 reasonable degree of medical certainty, that all of those

05/24/2012 11:32 AM

188

Dr. Davy - Plaintiff - Cross

1 injuries that Mr. Weathers sustained came as a result of the
2 accident, but now you're telling us some of them predated the
3 accident?

4 A Let's define injuries. Are you saying MRI findings?
5 That's not my definition of injuries. Injuries to me means
6 that he has pain. He has, after the history of an inciting
7 event, he has clinical findings on exam which is consistent
8 with his complaints and the MRIs corroborate that.

9 Q In fact, Doctor, if he took the MRI the day before the
10 accident, you'd have the same findings the day before the
11 accident that you had three days after the accident; isn't that
12 true?

13 A That is not true. I don't know the answer to that.

14 Q Well, if you don't know that, you don't know if it's
15 true or not?

16 THE COURT: He just said that, Counsel.

17 MR. GORKIN: Okay.

18 Q Would you agree, Doctor, that a board certified
19 radiologist is in a better position than you or more qualified
20 than you to read and interpret MRIs?

21 A Absolutely not. He does not have the clinical input
22 that I have. And if you look at most radiology reports, you
23 will see that they request clinical correlation. So I strongly
24 disagree.

25 MR. GORKIN: I move to strike everything after the

05/24/2012 11:32 AM

189

Dr. Davy - Plaintiff - Cross

1 first two words out of his mouth.

2 MR. AVANZINO: He answered the question.

3 THE COURT: Overruled. Counsel, sometimes you get an
4 answer you're not planning for.

5 Q Would you agree with me, Doctor, that you, as an
6 anesthesiologist, is better qualified to administer anesthesia
7 than a radiologist?

8 A Yes.

9 Q No ifs, ands or buts?

10 A Correct.

11 Q And a radiologist is not better qualified than you,
12 who is board certified or specialized in radiology, that
13 radiologist is not better qualified to interpret an MRI; that's
14 what you're telling us?

15 A That's not what I'm saying. All I'm saying is --

16 MR. GORKIN: Judge, he answered the question "that's
17 not what I'm saying."

18 THE COURT: Okay, next question.

19 Q You saw him that first visit and let's take your
20 office records for that first visit, okay. You have them in
21 front of you?

22 A Yes.

23 Q Now, at the bottom of the record, your initial --
24 where it says physical exam findings?

25 A Yes.

05/24/2012 11:32 AM

190

Dr. Davy - Plaintiff - Cross

1 Q What did you put in your physical exam findings?

2 A That he's alert, oriented to person, time and place,
3 afebrile. Vital signs are stable. I noted his height and
4 weight. His gait was markedly antalgic bilaterally. He had
5 pain on flexion, increased pain on extension. Lumbosacral
6 spine, positive straight leg raises to 30 degrees both sitting
7 and supine. His neck was notable for positive Spurling's sign
8 bilaterally. Tenderness throughout the facet joints and
9 multiple myofascial trigger points throughout.

10 Q Now, the straight leg raising, you said that was a
11 subjective test?

12 A Yes, it is.

13 Q That means that as you raise the patient's leg, it is
14 up to the patient to tell you when it hurts?

15 A Correct.

16 Q So they can tell you anything?

17 A Oh, yes.

18 Q And that doesn't necessarily mean that you accept that
19 finding, or do you?

20 A I do.

21 Q You do?

22 A I do. As a pain specialist, I do. I have to believe
23 my patient that they hurt at least at the onset.

24 Q Now, you continue to see him over the course of time,
25 correct?

05/24/2012 11:32 AM

191

Dr. Davy - Plaintiff - Cross

1 A Yes, I did.

2 Q Did you ever, at any time, did you contact Dr. Delman
3 to find out what was going on with respect to the therapy Mr.
4 Weathers was getting?

5 A No, I did not.

6 Q Were you aware that initially Dr. Delman diagnosed him
7 with cervical and lumbar strain/sprain? Were you aware of
8 that?

9 A Yes, I was.

10 Q How did you become aware of that?

11 A I saw his notes, Dr. Delman's notes.

12 Q When? The same time you saw the Coney Island Hospital
13 record?

14 A Recently, yes.

15 Q Tell the jury what is a cervical lumbar sprain or
16 strain?

17 A It's just a soft tissue injury.

18 Q Minor injury?

19 A If it's not your injury, yes. And I'm not being
20 facetious. Pain is a very subjective entity. So it's very
21 difficult for me to sit here and trivialize someone's
22 complaints of pain, even if it's from a strain, that it's
23 minor.

24 Q Certainly not permanent, correct?

25 A Well, a sprain or strain certainly isn't a

05/24/2012 11:32 AM

192

Dr. Davy - Plaintiff - Cross

1 radiculopathy.

2 Q Usually they go away over a period of time?

3 A Eventually.

4 Q Maybe six months or so of treatment, they go away?

5 A Yes.

6 Q So those are not "significant" injuries?

7 A Again, it's difficult because it's not my -- it's
8 difficult to say that because pain, being subjective, it might
9 be significant to the person with the sprain or strain.

10 Q Well, as to a physician, you wouldn't consider it to
11 be significant?

12 A As a pain specialist, I cannot answer in that line,
13 sir. I cannot. Unless it's my sprain or strain.

14 Q When you continued to see Mr. Weathers, did you make
15 some notes in the chart over a period of time?

16 A Yes, I did.

17 Q On any of the occasions that you saw Mr. Weathers, did
18 you ever make a notation with respect to the range of motion or
19 lack of range of motion of his neck or his back?

20 A Occasionally I did.

21 Q Occasionally? Did you put down the degree of loss of
22 motion?

23 A No, I just put decreased range of motion or reduced
24 range of motion. I did not measure the degrees.

25 Q Did you ever -- are you familiar with a test called an

05/24/2012 11:32 AM

193

Dr. Davy - Plaintiff - Cross

1 EMG?

2 A Yes, I am.

3 Q Could you tell the jury what that is, an EMG test?

4 A EMG is electromyography. It measures the nerve
5 conduction from one end of the nerve to the other. A signal is
6 sent from one end of the nerve and then captured at the other
7 end. And they have normal times and velocities that they
8 chart. And based on the normal values, it could be abnormal or
9 normal.

10 Q And, for instance, if there was an abnormal finding,
11 what could that be indicative of?

12 A It certainly indicates that there is something wrong
13 with the nerve conduction, i.e. there might be some radiculitis
14 of the nerve. There might be some impingement of the nerve.

15 Q Did you, before you did your surgery, ever request
16 that that test be done for Mr. Weathers?

17 A No, I did not.

18 Q Isn't there a notation, sir, in your record that asks
19 Dr. Delman to do an EMG?

20 A Well, yes, I said to check. I assumed he had done one
21 so I wanted to check it.

22 Q And he didn't do one?

23 A I have not seen one.

24 Q Did he?

25 A I haven't seen one.

05/24/2012 11:32 AM

194

Dr. Davy - Plaintiff - Cross

1 Q Why would you ask him -- why would you want to know if
2 he did one or not?

3 A Just to correlate my other findings to bolster up my
4 diagnosis.

5 Q So you asked Dr. Delman to check to see if it was done
6 and he told you he didn't do it?

7 A No. I actually never asked him. I indicated -- what
8 I did, I assumed it was done and in my note I wrote to check
9 the EMG, that I would check it. But obviously it wasn't done,
10 so.

11 Q Did you call Dr. Delman's office to see if it was
12 done?

13 A My staff probably did. I don't remember. I didn't
14 actually call.

15 Q When you saw -- let me ask you this: The MRI that you
16 read to the jury with respect to the lumbar area, you said
17 there was some problem that's indicated there at L3, L4.

18 A Correct.

19 Q And what was that problem?

20 A A bulge.

21 Q A bulge. Was there any evidence that that bulge was
22 causing some neural impairment on the MRI?

23 A You mean neural impingement?

24 Q Yes, sorry, impingement.

25 A No, there was none.

05/24/2012 11:32 AM

195

Dr. Davy - Plaintiff - Cross

1 Q So that bulge that you told us about wasn't pressing
2 on any nerve?

3 A No, it was not. At the time the MRI picture was
4 taken?

5 Q Yes.

6 Would that indicate, sir, that there was no indication
7 to do any further epidural injections since there was no neural
8 impingement?

9 A No, it does not mean that. And I emphasize that at
10 the time that that picture was taken, there was no impingement.
11 Remember, the disk is fluid and it can move. And I don't treat
12 neural impingement, I treat pain. And the patient had pain.

13 Q Were you aware that none of Dr. Delman's neurological
14 testing that he did on any of the visits Mr. Weathers made to
15 his facility were all within normal limits?

16 A I disagree. I see where Dr. Delman found positive
17 straight leg raises on the left side on one visit, on both
18 sides on one visit.

19 Q I'm talking about deep tendon reflexes and motor
20 strengths, the same ones they did at Coney Island Hospital?

21 A Doesn't really mean much.

22 Q Doesn't mean much? So why bother doing it then?

23 A It shows whether the patient has severe neurologic
24 deficits which is different from pain. You know, you do not
25 have to have neurologic deficits to have pain and that's what

05/24/2012 11:32 AM

196

Dr. Davy - Plaintiff - Cross

1 I'm trying to emphasize.

2 Q So without any neurological deficits being caused by
3 this accident, you're saying Mr. Weathers' pain is caused by
4 this accident?

5 A Absolutely.

6 Q Are you familiar, Doctor, with the term osteophyte
7 formation?

8 A Yes.

9 Q Would you tell the jury what an osteophyte is?

10 A Osteo is the prefix that describes bone. Osteophyte
11 is an abnormal growth of bone or arthritis in the bone.

12 Q It's not caused by trauma, correct?

13 A Again, trauma can precipitate -- its formation is from
14 remodeling of the bone in response to an insult. So yes,
15 trauma definitely can cause it.

16 Q And it can also be caused naturally, correct?

17 A Well, when you say naturally --

18 Q Yes or no, can it be caused naturally? It's a simple
19 question.

20 A I don't like your terms. Aging can cause it. It's
21 not natural.

22 Q So it comes from aging?

23 A It can come from aging.

24 Q It's more likely to come from aging than from trauma,
25 is it not?

05/24/2012 11:32 AM

197

Dr. Davy - Plaintiff - Cross

1 A Guess what? Aging causes micro-trauma. Just your
2 lifestyle can cause it. You cannot sit there in a vacuum and
3 get it.

4 Q So then basically anything that's wrong with anybody's
5 back, at some point in time, be it trauma from an accident,
6 micro-trauma, someone's going to have a bad back no matter
7 what, just from getting older?

8 A With all due respect, the minute we stood up on all
9 fours we created that problem. The answer is yes. The spine
10 is not meant to be standing against gravity. Animals don't get
11 osteophytes.

12 Q Do you have a degree in veterinary medicine doctor?

13 MR. AVANZINO: Objection, Judge.

14 THE COURT: Sustained.

15 Why don't we break here at this point in time. We'll
16 continue in the afternoon. The court rules, I'm not
17 allowed to pay overtime, they're trying to reduce overtime.

18 So, again, we're going to break until 2 o'clock. Do
19 not talk amongst yourselves. Do not talk to your friends,
20 wives, relatives, et cetera. Do not Twitter, blog. Do not
21 do Internet research regarding any of the terms you've
22 heard about, et cetera. Do not talk to the lawyers or
23 anyone you've seen in this courtroom at this point in time.
24 Keep an open mind until you heard all the evidence and
25 final charge to you on the law which you will not get until

Dr. Davy - Plaintiff - Cross

1 next week.

2 See you. Come directly to the jury room as I've said
3 in the past. See you at 2 o'clock. Thank you.

4 (Whereupon the jury exits)

5 THE COURT: Doctor, don't talk to the lawyer about
6 your.

7 (Whereupon a luncheon recess was taken)

8 * * * * A F T E R N O O N S E S S I O N * * * *

9 (Whereupon the jury enters)

10 THE COURT: Sit down. Thank you for being prompt,
11 ladies and gentlemen. Thank you, attorneys, for being
12 prompt.

13 MR. GORKIN: May I?

14 CROSS EXAMINATION

15 BY MR. GORKIN:

16 Q Doctor, I think when we left off at lunch we were
17 discussing osteophytes.

18 A Yes.

19 Q Now, osteophytes, would you say that's a fairly common
20 finding on an MRI of a person of Mr. Weathers' age?

21 A Yes.

22 Q And those osteophytes, generally speaking, are not
23 caused by trauma like from an automobile accident?

24 A Yes.

25 Q Yes, they're not?

05/24/2012 11:32 AM

199

Dr. Davy - Plaintiff - Cross

1 A Some are, yes.

2 Q Some are, yes, and some are not?

3 A Yes.

4 Q And would you agree that an osteophyte can cause pain?

5 A Yes.

6 Q And it can cause, if it's in the lumbar area, it can
7 cause low back pain?

8 A Yes.

9 Q And if it's in the cervical area, it could cause neck
10 pain?

11 A Yes.

12 Q When you examined the MRI films in August of 2008, did
13 you find any evidence of any osteophyte formations?

14 A No.

15 Q At any point in time that you saw Mr. Weathers in your
16 office, on any of those office notes that you wrote, did you
17 ever write that he complained of radiating pain from his lower
18 back into any of his legs? Did he ever make that complaint to
19 you at any time you treated him?

20 A I don't -- I'd have to look through the notes.

21 Q Take a look. Be my guest. That's what we're here
22 for.

23 A You want specifically in the complaint?

24 Q Yeah, did he ever complain to you -- I'll withdraw the
25 question for a second.

05/24/2012 11:32 AM

200

Dr. Davy - Plaintiff - Cross

1 You generated several reports concerning your care and
2 treatment of Mr. Weathers, correct?

3 A Yes.

4 Q In any of those reports that you generated, do you
5 ever make mention that Mr. Weathers complained of radiating
6 pain from his lower back into his legs?

7 A No.

8 Q If, in fact, he made that complaint at any time he saw
9 you, you certainly would have noted that, correct?

10 A Yes.

11 Q So we can safely assume then since there's no mention
12 of it in your records, he never mentioned it to you?

13 A Not as a complaint, correct.

14 Q Now, you told us, Doctor, one of the elements of the
15 vertebra is the annulus, correct?

16 A Yes.

17 Q That's the outermost layer?

18 A Yes.

19 Q When you reviewed the MRIs, let's take the neck first,
20 on any of those neck herniations that you reviewed, was there
21 any evidence that you found a tear in any of the annulus on any
22 of those levels where he had herniated disks? It's a yes or
23 no?

24 A No, I didn't specifically look.

25 Q You didn't specifically look for it?

05/24/2012 11:32 AM

201

Dr. Davy - Plaintiff - Cross

1 A Tears, no. But you're asking --

2 Q Doctor, please, you answered my question.

3 In your report, in your report, your initial report
4 that you sent to Dr. Delman that was generated when you first
5 saw Mr. Weathers, you make reference to the MRI findings,
6 correct?

7 A I dictated the report word-for-word. I did not --

8 Q It makes reference to certain findings on the MRI, did
9 it not?

10 A I describe the MRI finding that the radiologist
11 described. I did not include my findings.

12 Q Did the radiologist make any reference to any annular
13 tear?

14 A No.

15 Q So whatever herniations there were, that outermost
16 level of the disk didn't get torn, correct? Didn't herniate
17 through that entire disk, correct?

18 A No, that's not correct. I proved that with the
19 discogram. You're asking me --

20 Q Doctor, if you could just answer the question.

21 A No, the answer is no.

22 Q The answer is no. Okay.

23 So your findings on the MRI, you didn't note, when you
24 made your report, you didn't make note of any annular tear,
25 correct?

05/24/2012 11:32 AM

202

Dr. Davy - Plaintiff - Cross

1 A In the initial, yes.

2 Q And I think you told us that you differ in opinion
3 from the board certified radiologist who read the films. You
4 say that the bulge that he found in L3-L4, that's not really a
5 bulge, that's a contained herniation. Is that what you told
6 us?

7 A Yes.

8 Q Can you show us in your report where you mention that,
9 use that term "contained herniation."

10 A I didn't mention it in the report.

11 Q Because you just made it up today?

12 A That's not correct.

13 Q You had an opinion when you first read those films
14 that there was a contained herniation, but you didn't see fit
15 to report that to Dr. Delman?

16 A No.

17 Q It didn't matter to you? You didn't think that was
18 important?

19 A No.

20 Q You didn't think he would think it would be important?

21 A No.

22 Q Are you telling us, Doctor, that when you read the
23 films initially, you initially came to a diagnosis of a
24 contained herniation at that time; is that what you're telling
25 us?

05/24/2012 11:32 AM

203

Dr. Davy - Plaintiff - Cross

1 A No, that's not what I'm saying.

2 Q You came to that conclusion at some other time?

3 A Correct.

4 Q When did you come to that conclusion?

5 A After the discogram.

6 Q After the discogram. You wrote a report in, I think
7 it was September of 2011, correct? You wrote a second report
8 in December of 2011, correct?

9 A Correct.

10 Q And would you show me where in that report you mention
11 that, in your opinion, Mr. Weathers had a contained herniated
12 disk in his lower back? Show me where that is in your report.
13 That report was after the discogram, correct, and it was after
14 the surgery, correct?

15 THE COURT: Counsel, you just asked him three
16 questions. Let's go back to the first question when you
17 asked him to show you where it is in the report.

18 Q Can you find that report?

19 A It's not in the report.

20 Q You don't mention that in your report?

21 A Correct.

22 Q So even though you say you diagnosed it from the
23 discogram, it's not anywhere in the report of December 2011,
24 correct?

25 A Correct.

05/24/2012 11:32 AM

204

Dr. Davy - Plaintiff - Cross

1 Q Are you sure you didn't diagnose it like last week
2 when you were preparing to testify in this case?

3 MR. AVANZINO: Objection.

4 THE COURT: Sustained.

5 Q Now, was there any evidence in the MRI, Doctor, that
6 you read of the low back of any age-related findings?

7 A No.

8 Q No, none?

9 A No.

10 Q Are you familiar with the term desiccation?

11 A Yes.

12 Q Would you tell the jury what that term means?

13 A It means arthritic, sort of dried disk. The disk is,
14 as I said, like jello. That means it has a lot of fluid in it.
15 So a dessicated disk means it's not as fluid. There's less
16 liquid in it.

17 Q That condition, disk desiccation, that's not caused by
18 trauma, is it? That's caused by the aging process, is it not?

19 A No, absolutely not. They both can cause it, sir.

20 Q Are you telling us, Doctor, that the trauma from the
21 accident of June 23rd caused disk dessication on June 27th when
22 the MRI was done?

23 A How can I see that? I said I didn't see any disk
24 desiccation.

25 Q If, in fact, there is any disk desiccation, whether

05/24/2012 11:32 AM

205

Dr. Davy - Plaintiff - Cross

1 you saw it or not, are you saying it was caused by the accident
2 as opposed to the aging process?

3 A Well, based on the time frame of the MRI, no.

4 Q No, it wouldn't be caused by the accident?

5 A No.

6 Q Now, you performed some surgery, you told us, on Mr.
7 Weathers, correct?

8 A Yes.

9 Q And in that surgery you removed some tissue from
10 certain levels of his spine?

11 A Correct.

12 Q And what did you do with that tissue that you removed?

13 A Sent it to the pathologist.

14 Q And why do you send it to the pathologist?

15 A To confirm that it is, indeed, the tissue I intended
16 to remove.

17 Q Does the pathologist examine the tissue?

18 A Yes.

19 Q And after he examines it under the microscope, he
20 makes a diagnosis?

21 A No, no, he generates a report. It's hard to make a
22 diagnosis with just that information. I send the diagnosis
23 with the request.

24 Q You say he doesn't make a diagnosis?

25 A No.

05/24/2012 11:32 AM

206

Dr. Davy - Plaintiff - Cross

- 1 Q Doctor, do you have the Brooklyn Hospital report
2 there?
- 3 A Yes.
- 4 Q Would you turn to the page that's entitled Surgical
5 Pathology Report?
- 6 A Yes.
- 7 Q Do you have that page?
- 8 A Yes.
- 9 Q The pathologist received two specimens labeled L3-L4
10 disk material and L4-L5 disk material.
- 11 A Yes.
- 12 Q And he examined that tissue?
- 13 A Yes.
- 14 Q And, in fact, Doctor, he issued a diagnosis, did he
15 not?
- 16 A No, he issued a pathologic diagnosis. There's a big
17 difference.
- 18 Q He issued a pathological --
- 19 A He just described the tissue.
- 20 Q Doctor, please.
- 21 THE COURT: Let him ask you the question.
- 22 Q So his final pathological diagnosis was what?
- 23 A Fibrocartilaginous tissue showing degenerative
24 changes.
- 25 Q For all the specimens, correct?

05/24/2012 11:32 AM

207

Dr. Davy - Plaintiff - Cross

1 A Correct.

2 Q And degenerative changes as far as you're concerned
3 means what?

4 A An old process that's been around for more than six
5 months.

6 Q At least six months, correct, if not longer, correct?

7 A Correct, correct.

8 Q So that could be there for years?

9 A Could be, yes.

10 Q Minimum time it's there for is six months is your
11 opinion?

12 A About six weeks is when the tissues start to
13 regenerate and can create changes that might resemble
14 degeneration of tissue. It starts to heal itself. It's not
15 normal, so six weeks.

16 Q Did you just tell me six months? Did you mean six
17 weeks? Did I hear you wrong?

18 A I said it's been around at six months, but starts at
19 six weeks. The degree is hard to tell, sir.

20 Q All I'm asking, sir, the minimum amount of time it
21 would take for degenerative tissue to develop is six months, at
22 least six months prior to the time you took it out?

23 A To develop meaning to start to show degeneration or to
24 set in as a distinct degenerative finding? Six weeks is when
25 it starts. It can be present six weeks after insult.

05/24/2012 11:32 AM

208

Dr. Davy - Plaintiff - Cross

1 Q Are you telling us, Doctor, that the pathologist would
2 classify this degenerative if it was there for six weeks; is
3 that what you're telling us?

4 A Yes. If these findings that he saw were there, he
5 would describe it as degenerative even if it had occurred six
6 weeks before.

7 Q You didn't describe it as degenerative, did you? You
8 never diagnosed degenerative disk disease, did you?

9 A That's correct.

10 Q At any time?

11 A That's correct.

12 Q Can we agree, Doctor, that a man of his age, 57 years
13 old, I think, or 56 at the time of the accident would certainly
14 be within the realm of possibility to have degenerative disk
15 disease in spine?

16 A Yes.

17 Q It's common in people that age?

18 A Yes.

19 Q Especially with the work he did, the bending, lifting
20 of tires, things like that?

21 A Yes.

22 Q None of those conditions would be traumatic in origin,
23 correct?

24 A Not as you described.

25 Q Doctor, you said in addition to testifying on behalf

05/24/2012 11:32 AM

209

Dr. Davy - Plaintiff - Cross

1 of plaintiffs, you also do some work as an independent medical
2 examiner on behalf of defendants.

3 A Yes.

4 Q And you do a lot of that work?

5 A No.

6 Q How often would you say you do it?

7 A Once every two years.

8 Q Okay. When you do it, I assume you do it with the
9 same degree -- you treat the person who comes to see you in
10 that capacity, as a claimed injury party, you treat that person
11 with the same manner of treatment that you would your own
12 patient?

13 A No, you cannot because there's no physician/patient
14 relationship. It's usually an isolated visit.

15 Q When they give you a history, do you write it down?

16 A Yes.

17 Q Do you accept it?

18 A Yes.

19 Q When you examine them, you examine them carefully?

20 A Yes.

21 Q As carefully as you would examine a patient of your
22 own?

23 A Yes.

24 Q And when you do that, you know that there's a
25 possibility that you may come to court to testify, correct?

05/24/2012 11:32 AM

210

Dr. Davy - Plaintiff - Cross

1 MR. AVANZINO: Objection.

2 THE COURT: Overruled.

3 MR. GORKIN: I'll withdraw the question.

4 Q You bill for that examination? You send a bill?

5 A I'm paid for it, yes.

6 Q And you generate a report?

7 A Yes.

8 Q Now, do you -- withdrawn.

9 Let me ask you this, Doctor, because I'm not sure we
10 were clear on this this morning. I'm going to ask you if -- all
11 of these questions I'm going to ask you now, if you have an
12 opinion with a reasonable degree of medical certainty and if you
13 can answer it yes or no or I can't answer it, if you can do
14 that, okay? I just want to make sure we're clear, okay?

15 In your opinion, with a reasonable degree of medical
16 certainty, was the accident of June 23, 2008 the competent
17 producing cause of Mr. Weathers' herniated disks at C4-C5?

18 A Yes.

19 Q Was the accident the competent producing cause of the
20 herniation at C5-C6?

21 A Yes.

22 Q Was the accident the competent producing cause of the
23 herniation at C7-T1?

24 A Yes.

25 Q And was the accident the competent producing cause of

05/24/2012 11:32 AM

211

Dr. Davy - Plaintiff - Cross

1 bulges in his L2-L3 -- I'm sorry -- yes, L2-L3 -- I'm sorry,
2 withdrawn.

3 Was it the competent producing cause of the bulging
4 disk at C2-C3?

5 A Yes.

6 Q And at C3-C4?

7 A Yes.

8 Q Was it also the competent producing cause of the
9 bulging disk at L3-L4?

10 A Yes.

11 Q So that means then, Doctor, none of those conditions
12 that you just testified were caused by the accident occurred
13 prior to the accident?

14 A Yes.

15 Q They all resulted directly from the accident. And the
16 MRIs, if they were taken the day before, you wouldn't see any
17 of those findings there?

18 A Yes.

19 MR. AVANZINO: Objection. It's a multi-layered
20 question.

21 THE COURT: Well, overruled. I don't think --

22 Q Just so we're clear, if you took the MRIs the day
23 before the accident, if Mr. Weathers went for an MRI the day
24 before the accident, none of those herniations and none of
25 those bulges would have been present?

Dr. Davy - Plaintiff - Cross

1 MR. AVANZINO: Objection.

2 Q They all resulted from the accident?

3 MR. AVANZINO: Objection.

4 THE COURT: Sustained. Calls for speculation.

5 Q Can you answer that with a reasonable degree of
6 medical certainty?

7 THE COURT: I sustained it.

8 MR. GORKIN: That's not speculation.

9 THE COURT: I sustained the objection, counsel.

10 Q Would you agree, Doctor, that the dessication, if
11 there was any, that would have pre-existed the accident?

12 MR. AVANZINO: Objection. Are we talking about
13 referable to the MRI?

14 Q Yes, as seen on the MRI.

15 A Repeat the question.

16 Q Are you saying that also, assuming there was
17 desiccation on the MRI, that you didn't find, but assuming it's
18 there, would that have been something that was caused by the
19 accident or something that pre-existed the accident?

20 A Probably preexisted.

21 MR. GORKIN: Thank you.

22 THE COURT: Any redirect?

23 REDIRECT EXAMINATION

24 BY MR. AVANZINO:

25 Q Dr. Davy, you were telling us about the pathological

05/24/2012 11:32 AM

213

Dr. Davy - Plaintiff - Redirect

1 diagnosis, the pathologist diagnosed, and how that differs --
2 you were trying to explain how that differs from, let's say,
3 your diagnosis. What's the role of the pathologist after the
4 surgery?

5 A The pathologist's role is to examine the tissue center
6 and to describe it in scientific terms and then to make a
7 conclusion in terms of whether this is, indeed, disk material.

8 Q And was that, in fact, found to be the case? Was it
9 found to be disk material?

10 A Yes.

11 Q In addition to disk material, the finding about there
12 being degenerative changes, would that be consistent seven
13 months after or eight months after a traumatic event for there
14 to be that finding?

15 A Yes, absolutely.

16 Q Doctor, the emergency room record from Coney Island
17 Hospital, you were asked a great many questions about the
18 neurological exam that was done by one of the doctors in the
19 emergency room the day that Mr. Weathers went in. And you were
20 asked about the findings on the page that says Consultation
21 Notes Neurological Examination 4DDR. You were asked up to the
22 midpoint of that page by my colleague.

23 What about the bottom half of that page, can you tell
24 the jury about what was written by the doctor there?

25 A Stark contrast. I was queried on the normal part of

05/24/2012 11:32 AM

214

Dr. Davy - Plaintiff - Redirect

1 the exam, but not the abnormal part of the exam.

2 Q Would you explain to the jury what the abnormal part
3 of the exam was?

4 A Lumbar spine spasm, tenderness to palpation, straight
5 leg with pain bilaterally, sensory intact, left knee tender,
6 full range of motion of the knee with pain.

7 Q All abnormal findings, Doctor?

8 A Yes.

9 Q And all findings consistent with what your ultimate
10 diagnosis was?

11 A Yes.

12 Q Doctor, you also were asked great many questions about
13 the MRI. The MRI is a tool that you use in helping put
14 together the entire picture?

15 MR. GORKIN: Objection to the form, leading.

16 THE COURT: Sustained.

17 Q Doctor, can you explain, you were asked great many
18 questions about the MRI. Can you explain the role the MRI
19 plays?

20 A As I stated earlier, the MRI is used help to confirm
21 or refute my clinical diagnosis and also to aid me in
22 pinpointing the pain generator or the area that is causing the
23 pain. It also aids me in assessing a patient's poor response
24 to treatments. And there is a legal term that says --

25 MR. GORKIN: Objection as to any legal term, Judge.

05/24/2012 11:32 AM

215

Dr. Davy - Plaintiff - Redirect

1 THE COURT: I haven't heard it, so.

2 MR. GORKIN: Before we hear it, that's why I'm
3 objecting.

4 THE COURT: Give us a medical term.

5 A Well, the medical term is that a patient may have
6 pre-existing conditions but it no way, shape or form can affect
7 their clinical presentation.

8 And that is what I would like to emphasize with Mr.
9 Weathers. Even if he did have these findings in his neck and
10 lower back, he was working full-time, full duty without any
11 complaints of neck or low back pain, any treatments to these
12 areas. After the accident, he started to complain of pain and
13 required advanced interventional treatment to help decrease his
14 pain.

15 And so, regardless of what was present on the MRI
16 finding before the accident, it was not manifesting itself
17 clinically. And that is why I can assuredly tell you that I
18 think the accident, within a certain -- within a reasonable
19 degree of medical certainty, in my experience, was the
20 antecedent cause of Mr. Weathers' pain, inability to work and
21 suffering.

22 Q Sir, you were asked questions about bulging disk
23 versus a contained herniation. Can you explain about how those
24 terms are similar, in fact?

25 A Right. As I stated earlier, there is a chemical

05/24/2012 11:32 AM

216

Dr. Davy - Plaintiff - Redirect

1 response that the body initiates to any type of injury. You
2 have redness, swelling, pain. And that is how the body heals
3 itself. The body doesn't recognize whether it's a bulge or
4 herniation.

5 Once you have a violation of those annular fibers, the
6 body sends out chemicals that can cause radiating pain and the
7 end result is drying of the disk, degeneration of the disk and
8 chronic changes. The body cannot differentiate between a
9 herniation and bulges in that respect. The main difference
10 between the two is that one is mechanically more significant and
11 presses more on the nerve. It violates the spinal canal more.
12 That would be a herniation. Whereas the bulge might not present
13 as much mechanical stresses on the canal.

14 Q Would the contained herniation be more akin to the
15 bulge as opposed to the tear in the outer layer of the annular?

16 MR. GORKIN: Objection.

17 THE COURT: Overruled.

18 A Yes. A bulge is a contained disk herniation. It
19 rests in the inner layers of the annulus fibrosus and the
20 protrusion of the nucleus pulposus towards that tear. Because
21 it hasn't gone through or torn through all the layers, it's a
22 bulge or contained disk herniation. That is the diagnosis.
23 And the -- I'm trying to find the word -- that is the specific
24 cause for which a percutaneous diskectomy is beneficial,
25 contained disk herniation.

05/24/2012 11:32 AM

217

Dr. Davy - Plaintiff - Redirect

1 Q Sir, with the discogram that you did prior to the
2 surgery, did that confirm your findings about the extent of the
3 injuries sustained by Mr. Weathers?

4 MR. GORKIN: This is improper redirect, Judge. We had
5 this all on direct.

6 MR. AVANZINO: You brought up all the issues.

7 THE COURT: Overruled. You asked about the discogram.

8 A I just want to emphasize that the discogram is to
9 identify disks that are causing concordant pain. It is not to
10 differentiate between contained versus bulge. It is to
11 identify the disk that is causing the patient's pain and then
12 to see the dye spread.

13 And the dye spread clearly shows that there were tears
14 in the annulus and that these disks would benefit from the
15 procedure, and he did.

16 MR. AVANZINO: Thank you, Doctor. Nothing further.

17 THE COURT: Anything else on recross?

18 RE CROSS EXAMINATION

19 BY MR. GORKIN:

20 Q Doctor, just so I'm clear, you just told me a few
21 minutes ago that the accident was the competent producing cause
22 of the herniations and the bulges, correct?

23 A Correct.

24 Q But now you're saying that's not the competent
25 producing cause of the herniations and the bulges. The

05/24/2012 11:32 AM

218

Dr. Davy - Plaintiff - Recross

1 accident is the competent producing cause of pain that Mr.
2 Weathers experiences in his back?

3 MR. AVANZINO: Objection. I don't understand what
4 that question means.

5 A My specialty tells you that I'm a pain specialist, not
6 a bulge or herniating disk specialist. I treat patients' pain.

7 Q Doctor, the plaintiff is claiming in this case that
8 this accident of June 23, 2008 was the competent producing
9 cause of all those herniations and all those bulges that I
10 discussed with you. That's the claim in this case. That's why
11 we're here. They're claiming the accident caused all of those
12 conditions.

13 MR. AVANZINO: Objection.

14 THE COURT: Sustained.

15 Q The claims that are being made in this case have to
16 do, among other things, with herniations and bulges, okay? And
17 they're saying the accident caused that.

18 MR. AVANZINO: Again, objection.

19 MR. GORKIN: That's the element of the case. That's
20 why we're here.

21 MR. AVANZINO: Objection to the form of the question.

22 THE COURT: Sustained.

23 Q Can you tell us, Doctor, with a reasonable degree of
24 medical certainty, in your opinion whether the accident was the
25 competent producing cause of all of those conditions or were

05/24/2012 11:32 AM

219

Dr. Davy - Plaintiff - Recross

1 they caused by something else?

2 A Let me start off to answer your question by
3 emphasizing that I treat Mr. Weathers and most patients for
4 pain, pain that results in inability to sleep, to work or
5 engage in recreational activities. I do not treat MRI
6 findings, isolated EMGs, isolated clinical findings. I treat
7 the whole patient. And it's my opinion that Mr. Weathers'
8 pain, suffering and disability is a result of his accident on
9 the date in question.

10 Q Caused by what? The disability and pain caused by
11 what?

12 A Caused by herniation and bulging disks in his back.

13 Q That didn't pre-exist the accident.

14 A I'm not aware that they -- I don't think that
15 pre-existed the accident.

16 Q That's all I'm trying to find out.

17 THE COURT: Let's not make this an argument.

18 Q So then would it be fair to say that you're saying
19 that the accident was the competent producing cause of all of
20 the herniations and all the bulges?

21 A At least the ones that resulted in pain.

22 THE COURT: That's the answer.

23 Q Are there some findings that you found that were not
24 caused by the accident? Whether they caused pain or didn't
25 cause pain, were there some findings on the MRI that you didn't

05/24/2012 11:32 AM

220

Dr. Davy - Plaintiff - Recross

1 believe were caused by the accident?

2 A I don't approach it that way. I didn't look for
3 those.

4 MR. GORKIN: Thank you.

5 THE COURT: Thank you. You may step down.

6 Okay, ladies and gentlemen of the jury, as I said, we
7 have a chopped up schedule. We have another doctor coming
8 in tomorrow morning. You should be aware of the fact that
9 we prefer to hear from doctors in the morning. Because of
10 the budget cuts that occurred last year, we're not allowed
11 to go past 4:30 anymore. We get reprimanded for overtime.

12 So, again, I remind you not to talk among yourselves.
13 Do not talk to friends, wives, lovers. Do not Twitter.
14 Do not blog. Do not go on any search engines, whether it
15 be Bing, Safari, Internet Explorer, et cetera, to look up
16 any of the terms that were talked about. Do not do any
17 research on any individuals in this courtroom. Do not talk
18 to any of the attorneys you've seen in the courtroom or
19 anyone else that you've seen in this courtroom. And
20 certainly, do not contact anyone who's been a witness at
21 this point in time.

22 Thank you. We'll see you tomorrow.

23 What time is Dr. Delman coming in?

24 MR. AVANZINO: I told him 9:30, Judge.

25 THE COURT: Why don't you try and be in the jury room