

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

MR. GJELAJ: Plaintiff calls Dr.
Lattuga to the stand.

S E B A S T I A N L A T T U G A, called as a
witness on behalf of the plaintiff, having been
first duly sworn, testified as follows:

DIRECT EXAMINATION

BY MR. GJELAJ:

Q. Good morning, doctor. You and I
have met before?

A. Yes.

Q. Have you ever testified in a case
that I was the lawyer on, sir?

A. No, sir.

Q. What about a case that Miss Ronai
has been the lawyer on?

A. No, sir.

Q. Are you currently licensed to
practice medicine in the state of New York?

A. Yes, as an orthopaedic surgeon in
the State of New York since 1991.

Q. Can you please tell the jury your
educational background up to the point in time
we are at now?

A. I'm a board certified orthopaedic

1
2 surgeon. I am licensed to practice medicine,
3 my specialty is orthopaedic surgery
4 specifically surgery of the spine. I received
5 my board certification initially in 1998 and
6 recertified in 2008. My training includes
7 residency in orthopaedic surgery. You probably
8 know from watching television, a residency is
9 subspecialty training after medical school.
10 You pick what field of medicine you want to
11 practice in and then you spend a number of
12 years, five years in this case, learning and
13 training in that particular subspecialty. I
14 chose orthopaedic surgery. Towards the end of
15 my orthopaedic residency I decided to
16 subspecialize further in spinal surgery. So at
17 the end of my orthopaedic residency I did
18 what's called a fellowship in spinal surgery,
19 adult and pediatric spinal surgery. My
20 residency was at Stoneybrook and my fellowship
21 was at University of Miami Jackson Memorial
22 Medical Center for spinal surgery.

23 Q. You mentioned board certified,
24 explain what it means to be board certified?

25 A. Yes. Once you complete your

1
2 medical school education and then you choose a
3 field of specialty like I did, orthopaedics,
4 after an opportunity to practice and learn in
5 that field of orthopaedic surgery, at some
6 point you are allowed to go out and practice.
7 You practice in a community or hospital for a
8 specified number of years. In this case it's a
9 year and a half. After that year and a half
10 you are obligated to collect all of the work
11 that you do in practice, meaning the patients
12 you see, the surgeries that you do, you collect
13 the data and at the end of this year and a half
14 of practice you send this to the board. The
15 board is the organizational structure that
16 oversees the practice of that specialty,
17 orthopaedic surgery in my case. And so then
18 they review all of the cases, every patient you
19 see. It's mostly the surgery they do. And
20 they review the cases and then they have you
21 select a dozen cases, you bring the cases to
22 the board, you carry everything to Chicago and
23 you sit in front of a panel of doctors and they
24 review all the cases you've done. After they
25 review what you've done, and there is also a

1
2 written component, then you present the oral
3 portion of the boards and then you are board
4 certified.

5 Q. You mentioned a fellowship, explain
6 what that means?

7 A. A fellowship is a layer of further
8 subspecialization. So within the field of
9 orthopaedics which is the treatment of bone and
10 joint disorders, the surgical treatment, within
11 that broad specialty is also neck and back,
12 spinal injuries. Spinal surgery. Obviously
13 spinal surgery is more complex, you actually
14 spend additional years of training after you've
15 completed medical school, after your residency,
16 four years of medical school, five years of
17 orthopaedic surgery and another two years of
18 fellowship dealing with just spinal surgery.
19 That's what I chose to do and then I went into
20 practice.

21 Q. Do you currently maintain an
22 office?

23 A. Yes.

24 Q. Where?

25 A. My main office is 2001 Marcus

1
2 Avenue Lake Success, New York.

3 Q. Do you maintain any hospital
4 affiliations?

5 A. Chief of spinal surgery at North
6 Shore Franklin Hospital.

7 Q. How long have you been chief there?

8 A. Approximately seven years.

9 Q. Doctor, I've asked you to give your
10 qualifications to the jury. Can you define
11 what exactly the field of orthopaedics is?

12 A. Briefly orthopaedic surgery is when
13 someone breaks a bone, they get a cast. That's
14 bread and butter of orthopaedics. So it's the
15 study and treatment medical and surgical
16 treatment of bone and joint disorders,
17 fractures, knee problems, hip problems, spine
18 problems, children with crooked legs, adults
19 with hip fractures, spinal deformities,
20 fractures and trauma in general as it affects
21 multiple from childhood up to adulthood. All
22 of that is orthopaedic surgery.

23 Q. Now, your treatment of Mr. Robles
24 focused on his spine; is that correct?

25 A. Yes.

1
2 Q. Please educate the jury to the
3 spine.

4 A. Well, again most the jurors when
5 they think of the spine they think of neck or
6 back. It's a column of bones that helps to
7 serve two main functions. First is it's a
8 stablizer of the torso. Your entire upper body
9 moves about a semi flexible construct of bones,
10 ligaments and structures. There is some
11 flexibility, unlike your thigh bone, a solid
12 bone. It's actually a stablizer. Without a
13 spine the entire torso would collapse. So one
14 main function is to provide support for the
15 upper body.

16 The second function of the spine is
17 as a conduit for the spinal cord and nerves.
18 All signals originate in the brain. Your brain
19 creates the thoughts and it sends signals out
20 to the periphery. The tunnel through which it
21 sends that signal is the spine and actually
22 like a cable that it sends that signal across,
23 it is an electrical chemical signal, is the
24 spinal cord and individual nerves that come out
25 at every segment. So each of the spine is

1
2 divided into three parts, your neck cervical,
3 thoracic spine -- which has seven bones and
4 thoracic is twelve bones, the chest area also
5 attached to the ribs and lumbar spine which is
6 five bones. That would be lower back. Neck
7 is cervical, chest area is thoracic and low
8 back is lumbar. That's an overview of the
9 spine.

10 Q. You mentioned the bones to the jury
11 along your spine. Do these bones ever come
12 into contact with each other?

13 A. Yes. In general the way the jury
14 should see the spine is a semi rigid structure
15 that provides the functions which I described
16 to you, stability as a conduit of electrical
17 signals. You've seen a fish, the bones, or
18 animals that you prepare for meals that the
19 vertebrae it's a series of boney objects called
20 vertebrae which are connected by the
21 intervertebral disc and ligaments. That holds
22 the structure together such that there is the
23 individual bones don't move apart. You can't
24 separate them. They provide a rigid core
25 structure around the body. The way the jury

1
2 should think of the spine as an analogy is a
3 rigid bamboo rod, a fishing pole has a lot of
4 flexibility, that's a good analogy for a normal
5 functioning spine as a rigid bamboo pole. That
6 structure of the spine which is composed of
7 multiple vertebral bodies or bones separated by
8 a structure called intervertebral disc which
9 allows for a little flexibility but mostly
10 stability is generally how the spine is
11 assembled.

12 Q. Have you ever heard the disc
13 referred to as a shock absorber?

14 A. It's considered by many to be a
15 shock absorber. I don't characterize it that
16 way. That suggests it's soft like a
17 marshmallow. It's not. It does allow for some
18 flexibility in that it's not bone and softer
19 than bone. More importantly it's a stabilizer,
20 it connects one bone to the other and prevents
21 bones in a healthy spine from slipping passed
22 each other.

23 MR. GJELAJ: I would move to qualify
24 Dr. Lattuga in the field of orthopaedic
25 surgery.

1
2 MR. SHAPIRO: No objection in the
3 field of orthopaedic surgery.

4 THE COURT: Okay. So qualified.

5 Q. In order to come here and testify
6 today, did you cancel appointments?

7 A. Yes, sir.

8 Q. Cancel office visits?

9 A. Yes, sir.

10 Q. Surgeries cancelled?

11 A. Yes.

12 Q. What are you being compensated for
13 your presence?

14 A. \$600 an hour.

15 Q. I'll try to make it quick. Sir,
16 did there come a time that you first met Mr.
17 Robles?

18 A. Yes, sir.

19 Q. Doctor, what do you have in front
20 of you?

21 A. My office chart.

22 MR. GJELAJ: May I have that marked.

23 (Plaintiff's 1 for identification.)

24 Q. Just marked Plaintiff's 1, it's a
25 copy of your office report. You maintain that

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

in the ordinary course of your business?

A. Yes.

Q. Is it your business to maintain that chart?

A. Yes.

MR. SHAPIRO: I haven't seen it yet.

THE COURT: Show it to Mr. Shapiro.

MR. SHAPIRO: I don't want to have the jury waiting. I will need to see the notes before I question him. I'll look at it during the break.

THE COURT: Do you consent to it in evidence?

MR. SHAPIRO: No.

MR. GJELAJ: I'll use it to refresh your recollection now. We can review my application to move it in evidence.

THE COURT: So he should only refer to it when he cannot recall.

Q. If you cannot recall something feel free to refresh your recollection with the chart?

Q. When did you first meet Mr. Robles?

A. Approximately October of '08.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Q. Who referred Mr. Robles to you?

A. I don't recall.

Q. Was it Dr. Berkowitz?

A. Yes, it was.

Q. Who is Dr. Berkowitz?

A. He's an orthopaedic surgeon.

Q. Do you recall the first date you met Mr. Robles?

A. No. October of '08.

Q. Do you want to look at your notes to refresh your recollection. If I told you October 23, 2008?

A. That would be accurate.

Q. Did he come to your office?

A. Yes, sir.

Q. What's the first thing that happens when you get a new patient that comes to your office, doctor?

A. We take a patient history. We ask the patient what are the circumstances around which he is visiting. In this case he was involved in an accident. We take that history and once we take a history about the presenting complaint we may -- we ask about past relevant

1
2 medical history. We do a physical exam
3 consistent with what the complaints are and, if
4 available, I make an attempt to make diagnostic
5 imaging, MRI or x-rays. Then you create a
6 working diagnosis, differential diagnosis, any
7 one of those depending on the circumstances and
8 you make a plan for treatment.

9 Q. What was Mr. Robles' chief
10 complaint?

11 A. Chief complaint was neck and back
12 pain.

13 Q. Did he tell you which one was
14 hurting more, neck or back?

15 A. His primary was neck pain. I'm an
16 orthopaedic spinal surgeon. He was referred to
17 me because he was not responding to
18 conservative treatment. The context of the
19 interaction is seeing me as a surgeon to get a
20 surgical opinion. So his primary complaint and
21 primary focus of that initial visit was
22 cervical problems.

23 Q. You say he had exhausted
24 conservative measures, can you give us some
25 examples of what conservative measures are?

1
2 A. His injury was approximately
3 February of '08. I saw him in October of '08.
4 In that time he was being treated by physical
5 therapy, receiving medicine. He had some
6 interventional pain management, epidural
7 injections in the neck. A whole host of what
8 is considered to be conservative non-surgical
9 modality to help alleviate his condition.
10 Because he failed that, he was not responding
11 to that, he was referred to me for surgical
12 evaluation.

13 Q. Did you ask him if he had ever
14 injured his neck or back before?

15 A. Yes.

16 Q. What did he tell you?

17 A. No.

18 Q. Is that significant?

19 A. Yes.

20 Q. Why is that significant?

21 A. A prior -- in taking a history, if
22 the patient says I've had back pain since I was
23 a child, had a football injury, that's relevant
24 in terms of helping to make a diagnosis and
25 also a treatment plan. It feeds into the

1
2 algorithm in how I make a decision of how to
3 treat someone.

4 Q. Did you ask him if he was in pain?

5 A. Yes.

6 Q. Did he tell you if he was?

7 A. Patient --

8 MR. SHAPIRO: Objection.

9 MR. GJELAJ: Medical history.

10 THE COURT: Overruled.

11 A. Yes, his chief complaint was neck
12 pain on presentation.

13 Q. Did he quantify the pain?

14 A. Yes.

15 Q. What did he say?

16 A. Seven out of ten on that date.

17 Q. Did you do an examination?

18 A. Yes.

19 Q. Tell the jury the type of
20 examination you performed and findings that you
21 had, if any?

22 A. It's a dedicated spinal exam.
23 Essentially it is as follows: We observed the
24 patient ambulate. After that we take the
25 patient's spine through a range of motion. We

1
2 start with the cervical spine we do flexion
3 extension. For example, the neck we ask the
4 patient to bring their chin to chest, as far as
5 back as he can bring it, we ask the patient to
6 go to the left and right as far as they can go.
7 It's quantified by the examination. With
8 respect to low back, similarly we ask the
9 patient to go through a similar range of
10 motion, forward flexion, bending forward,
11 leaning back, twisting to the left, twisting to
12 the right as far as you can go. Then we
13 qualify that and document that exam. Tied to
14 the function of the spine is not only to
15 stabilize it but it houses the neural
16 structures. A neurological exam is part the
17 spine exam. Sometimes there are neurals that
18 go along with spine injuries. In terms of
19 neurological exam we bring each motor groups
20 and nerves through an assessment specifically
21 muscle testing, motor strength of each muscle
22 of the upper extremity and lower extremities as
23 well. It's not just-- it's multiple levels.
24 Motor is one, sensory is number two, the
25 sensation in the areas of the nerves as they

1
2 affect upper and lower extremities. The third
3 parameter we examine is reflexes. For those of
4 you not familiar it's when the doctor hits your
5 knee. That's reflex. Also a function of the
6 nerves. If you have a disorder of the nervous
7 system affects the reflexes. That's part of
8 what we examine. That's part of the spine exam
9 which was performed on that date.

10 Q. When you examined his neck, did you
11 gauge his range of motion?

12 A. Yes.

13 Q. Did you compare it to a normal
14 range of motion?

15 A. It's all compared to normal. Mr.
16 Robles had a greater than fifty percent loss of
17 motion, in flexion, extension and lateral
18 bends. Frequently spine surgeries is an
19 extremity injury. The function of that joint
20 or body part is limited both structural by the
21 damage and pain. A limitation of range of
22 motion as characterized here reflects some
23 problem with that body part. It's a little
24 confusing in the spine. If you sprain your
25 ankle, within a few hours of that severe sprain

1
2 someone tries to move your ankle you would say
3 it hurt. You would be limited. That reflects
4 not only that it hurts that the movement is now
5 disordered and there is a loss of function and
6 that's why it moves less. That's a reasonable
7 way of making an analogy.

8 Q. When you examined Mr. Robles did
9 you note a spasm?

10 A. Yes. Spasm and tenderness like the
11 ankle analogy, if you go to squeeze an ankle,
12 they jump in pain. That reflects a problem.
13 Spasm which is involuntary muscle contraction,
14 you might not know what spasm is, if you had a
15 charlie horse in the middle of the night, you
16 can't control it. That's an involuntary muscle
17 contraction in response to some problem. In
18 the spine, we pick it up on exam. We could see
19 one of the muscles is abnormally firing,
20 contracting and that reflects a way of a doctor
21 to observe that there is something wrong in
22 this situation.

23 Q. You use the word involuntary. Is a
24 spasm something a person can control?

25 A. No.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Q. Something they can fake?

A. No.

Q. Is this objective or subjective?

A. Objective.

Q. Explain the difference objective and subjective test.

A. In medicine we try to make a delineation things that are objective and subjective. It's very difficult to say purely one or the other. In this case on spasm especially on the spine a patient can't fake one of the muscles is firing. Any time a doctor approaches a question and does an exam the patient has to participate. The way I characterize certain portions is mostly objective, the doctor is able to make a good assessment as to the accuracy, but if the patient has the ability to influence it then there is a subjective component. All the tests done in this exams are primarily objective other than subjective which is purely objective.

Q. Did you note any tenderness?

A. Yes.

1
2 Q. What is tenderness and what's the
3 significance?

4 A. Tenderness if you hurt your ankle
5 or wrist and somebody squeezes it, it hurts.
6 That reflects an underlying pathology.
7 Something is wrong with the body part. The
8 pain is the body's way of protecting the
9 patient re-injuring that body part.

10 Q. Did you examine his lower back?

11 A. Yes.

12 Q. What, if anything, did you find?

13 A. Similarly there were abnormalities
14 in his lumbar spine with loss of range of
15 motion. Similar to cervical spine with less
16 than fifty percent of normal function.

17 Q. You testified a while ago you did
18 some neurological testing as well?

19 A. Yes.

20 Q. Did you come up with any findings
21 neurologically?

22 MR. SHAPIRO: Objection.

23 THE COURT: Sustained.

24 Q. As part of your practice do you
25 conduct neurological tests as well?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

A. Yes.

Q. Have you been doing that-- how long?

A. Since 1998. A neurological is part of the orthopaedic exam.

Q. Is that something you rely on?

A. Yes.

Q. Do other spinal surgeons in the field rely on these tests as well?

A. It's part of the orthopaedic spine exam.

Q. Tell us the neurological finding you found?

THE COURT: Side bar please.

(Off the record.)

THE COURT: You may answer.

A. On the first date -- I don't have an independent recollection. If I can refer to my chart.

MR. GJELAJ: Can I have this marked?

(Marked Plaintiff's 2.)

MR. GJELAJ: Two of them came in. I will put into evidence the one pursuant to your subpoena.

MR. SHAPIRO: I consent. I will look subject to redaction during the break.

(Plaintiff's 2 in evidence.)

THE COURT: Is it different from Plaintiff's 1.

MR. GJELAJ: I'm not sure. I'm just trying to move things along.

A. The neurological exam on 10/23/08 revealed a normal motor strength in the left wrist to extension flexion four out of five. Muscle strength is graded by numbers. A normal motor strength is five over five. Any number below that is less than normal. This case is extension and flexion were diminished in motor strength. One grade four over five. Similarly in sensation in the upper extremities, in both C-6 and 7 nerve roots was also found to be abnormal. Reflex on the left upper extremity were found to be abnormal as well. A normal reflex is greater than three. This was two out of three. This is how we assess to the best of our ability if there is any alteration. In this case this exam reflects there has been some nerve damage in the upper extremities.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Q. Did you review the films themselves?

A. Not on this occasion but the following visit.

Q. Which film?

A. The 3/7/08 MRI of the cervical spine.

Q. You told us you're an orthopedist. How often do you review films?

A. The review of MRI diagnostic studies is an integral part of becoming a spinal surgeon. When we get boarded and to pass our exams we have to be certified in reading MRI's of the spine. Every day I review the films for patients that are seeking an opinion with respect to their problem.

Q. Prior to testifying did you come into courtroom and look at the films?

A. Yes.

Q. You made notations on the films to help you differentiate. Can you show me which is the cervical MRI?

A. These.

Q. You reviewed these personally?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

A. Yes, I did.

Q. Can you select one or two that best help you testify.

A. This one.

Q. When you reviewed the films-- when you read the films what was your interpretation?

A. My interpretation is the patient sustained a herniated disc at C-4-5, C-5-6 and a small herniation at C-6-7.

Q. Did you note anything else in there?

A. With respect to his particular problem, that's what I thought the main diagnosis was and the cause of his symptoms, pain, numbness and weakness of the arm with the consequential herniated disc at C-4-5 and 5-6.

Q. The findings were they consistent with your physical findings during the first and second exam?

A. Yes.

Q. How so?

A. The body is hard wired. If a patient comes in and says this part of their

1
2 arm hurts, even without seeing an MRI, the
3 surgeon can anticipate where the problem is.
4 Obviously we like corroboration to be
5 consistent with the patient, especially
6 pre-operatively if you consider surgery. So we
7 like to see consistency between what the
8 patient is saying, where the symptoms are and
9 what the MRI is showing. Those are the best
10 patients to operate on. They are most likely
11 to get the benefit from surgery. There was
12 consistency with his verbal complaints and
13 objective findings.

14 Q. Can you please show the jury the
15 film you pulled out?

16 MR. SHAPIRO: Which date of treatment
17 is he referring to?

18 MR. GJELAJ: The second one.

19 MR. SHAPIRO: What date is that?

20 MR. SHAPIRO: Is that November 20?

21 THE COURT: Side bar.

22 (Off the record.).

23 Q. Doctor, please show the jury what
24 you found on the MRI film you just
25 testified to?

1
2 A. This is an MRI of the patient's
3 cervical spine. The MRI looks inside you.
4 X-rays give you images of bones. MRI gives you
5 images of not only bones but soft tissue
6 structures, things with fluid, things with fat.
7 The body is not all bone. In this case the
8 image I selected was the easiest one to portray
9 where the damage is. It's a sagittal
10 reconstruction. That means we slice the
11 patient length wise. And it gives us a nice
12 comparative image of these structures, you can
13 broadly make out the brain here, the face,
14 mouth. We see a vertical line with white in
15 front and behind it. To orient you, you will
16 all be able to read this when I'm done, here is
17 the brain, this is the neck, it's a long slice
18 through the middle of the spinal canal. What
19 you see hear is the brain with the spinal cord
20 and white, bright white is cerebral spinal
21 fluid. The fluid contained inside the spinal
22 canal. To the right is a column of squares,
23 like little dice stacked, those are vertebrae.
24 The little lines between each one are the discs
25 they bind one vertebrae to the other. These

1
2 both reflect clearly what is going on. If this
3 vertical gray stripe is the spinal cord you
4 could see the relationship to the vertebrae and
5 disc in front and some structures behind. You
6 see the lower area down here where it's normal,
7 that's the first thing you should know is what
8 it should look like. You see a gray stripe
9 with fluid on both sides, it's clear nothing is
10 touching it. As you go up higher up the spine
11 you see a wavy structure sticking out and
12 digging into the spinal cord. That's what you
13 see here. You see one which is C 6-7. Above
14 that is 5-6 and 4-5. You don't need to be a
15 doctor, you can all see, down here the spinal
16 cord, these best demonstrate that there are
17 areas where there is nothing touching the
18 spinal cord and that's normal, and there is
19 something sticking out and touching the spinal
20 cord. Those things sticking out are the
21 herniated disc. That's the word you always
22 here. That's what it looks like on a MRI. My
23 review of this MRI, which it is my custom and
24 practice, I ask all my patients to bring me the
25 films so I can see it because what generally

1
2 happens is they bring a report. I have a
3 report. I don't necessarily agree with what
4 other doctors say. I disagreed with the
5 radiologist's reading in this case. If I'm a
6 surgeon and cut somebody open, I'm not relying
7 on someone else to tell me where the disc is
8 herniated. I better see it myself before I do
9 a surgery and go in and try to find it. It's
10 clear to me even today and it was then after I
11 saw the patient and before I did the surgery
12 this patient had these herniated discs in a
13 multiple, more than one herniated disc. It was
14 4-5, 5-6 , 6-7. You see some is sticking out
15 more or less. It's a large herniated disc,
16 medium. That's my reading of the MRI.

17 Q. You just said you disagreed with
18 the radiologist's finding. How so?

19 A. The radiologist says it's a small
20 disc herniation at 5-6. He says a large one at
21 6-7. He doesn't mention 4-5. It's pretty
22 clear that there are three structures deforming
23 the spinal cord. Two significantly and one
24 less so. That's the way I read it. I think
25 the jury can read it that way.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Q. Are you familiar with degeneration?

A. Yes.

Q. What is degeneration?

A. The word, broadly speaking, something is wearing out.

Q. Did you note any degeneration to the cervical spine?

A. The MRI is not the best picture to make the best characterization of that. A degeneration finding usually have to do with boney changes. Most people in their 30's and 40's begin to get some wear and tear changes to the spine. We begin to see certain changes to reflect the fact this is not an eight year old or sixteen year old but a thirty or forty year old man. Often times radiologists characterize those age related changes, wear and tear, they say degenerative. It's an easy way for them to see things, it is not an eighteen year old. There are some mild degenerative changes in here. My primary read is not the fact that I think the MRI of a 33 year old male. There is significant traumatic injuries to the spine.

Q. What do you mean by traumatic

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

injuries?

A. The herniated discs are a consequence of trauma. That's the primary mechanism for large herniated discs that decompress the spine.

JUROR: Can we take closer look?

THE WITNESS: I'll slide it down.

THE COURT: I will allow the doctor to show it.

THE WITNESS: Again, here you see where --

MR. SHAPIRO: He's reiterating his testimony again.

MR. GJELAJ: They asked for it.

MR. SHAPIRO: They just asked to see it, not to hear his testimony again.

(Witness resumes his seat in the jury box.)

Q. As people are aging their body tends to change; is that correct?

A. Yes.

Q. Everyone?

A. Yes, everyone.

Q. The fact there may have been

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

degenerative changes, does that mean that leads to pain?

A. No.

Q. Assume there will be testimony by Mr. Robles that prior to this accident he never had any treatment whatsoever for his back or for his neck. And then was involved in a motor vehicle accident on February 6, 2008. Further assume there will be testimony from Mr. Robles that he was taken by ambulance to Greenwich Hospital where he complained of back and neck pain. Further assume that there will be testimony from Mr. Robles and his doctors that he presented to a neurologist, had physical therapy, various other modalities, what you testified you knew about before he saw you. Do you have an opinion within a reasonable degree of medical certainty as to what the competent producing cause of the herniated discs in his back were?

A. If all that's accurate, it's from that car accident.

Q. You are sure about that?

A. Yes.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Q. Within a reasonable degree of medical certainty?

MR. SHAPIRO: Objection.

THE COURT: Overruled.

A. I'm sure.

Q. After that first and second visit, did you come up with a game plan in terms of your treatment of Mr. Robles?

A. Yes.

Q. What was that?

A. Based on the history that I told the jury, the fact that he was treated by everybody else for eight months, I had an opportunity to review the MRI, I felt he would benefit from surgery.

Q. Did he agree to have the surgery?

A. Yes.

Q. Was it done?

A. Yes.

Q. When was it done?

A. 3/31/09.

Q. What type of surgery did you perform?

A. A cervical discectomy and fusion.

1
2 Q. I show you Plaintiff's 4. Have you
3 ever seen this before, I showed you this
4 morning?

5 A. Yes.

6 Q. Is this anatomically correct?

7 A. Yes.

8 Q. Would this better help you explain
9 to the jury the surgical procedure you
10 performed on Mr. Robles neck?

11 A. Yes.

12 Q. Would it help the jury understand
13 your testimony?

14 A. Yes.

15 MR. GJELAJ: Move into evidence.

16 MR. SHAPIRO: No objection.

17 (Plaintiff's 4 in evidence.)

18 Q. Please explain to this jury using
19 Exhibit 4 the type of procedure you performed?

20 A. He had a herniated disc in his
21 neck. The operation is called anterior
22 cervical discectomy. What happens is I, the
23 surgeon, go in and do two things. Remove the
24 damaged disc. Take pressure off the nerve and
25 reconstruct that space. It's an incision on

1
2 the left hand side. Through that incision
3 gives me the exposure to the area where the
4 damage is done. You cut through skin and some
5 of the muscles you displace, move, the tube,
6 pharynx over. That gives me access to the
7 vertebrae here and intervertebral disc between
8 them. I remove all of the intervertebral disc.

9 Q. Why do you take the whole disc out,
10 why not just the piece pinching?

11 A. The disc is completely fragmented
12 at the time. Because of the traumatic nature
13 and we can see the disc is fragmented from the
14 trauma, you can't just take the piece out
15 pinching the nerve. The remaining disc will
16 continue to cause pressure. I remove it all.
17 Once I remove the disc I can visualize the
18 spinal cord and nerve roots. That's the first
19 part of the operation, decompression. This
20 part is not finished until I see the cord and
21 nerves. That's how I make my observations as
22 part of the procedure and preparation for the
23 reconstruction. There are two parts, we have
24 to use a burr to prepare it. Sometimes bone
25 spurs we like to make the tunnels larger where

1
2 the nerves pass through. That gives us an
3 opportunity to and that's what C and D reflect,
4 we prepare the plates, we make the tunnel
5 bigger and make the passage ways larger. They
6 were encroached and impinged on by the
7 herniation. Once we've done that we rebuild
8 the spine. We use a variety of techniques.
9 These implants now take the place of the disc
10 damaged in the accident. They act as spacers
11 and bone grafts so they will grow together.
12 This is placed in between each one. This is
13 anatomically correct. Once implants are placed
14 we put a titanium plate over it. It stays
15 inside permanently. In old days people wore
16 the halos or collar. The internal fixation
17 gives enough stability so we don't need to use
18 a halo after. This is a good overview of what
19 went on during surgery.

20 Q. You testified you removed the disc?

21 A. Yes.

22 Q. It's a bone?

23 A. The disc and part of the bone.

24 Q. That was removed from his neck?

25 A. That's correct.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Q. Will that ever grow back again?

A. No.

Q. It's a permanent loss of use?

A. The whole thing is a permanent loss of use.

Q. The hardware, what are these, pedicle screws?

A. Regular screws. Vertebral screws.

Q. The hardware shown here, is that ever going to be removed from his neck?

A. No.

Q. Stay there until the day he dies?

A. Yes.

MR. GJELAJ: This is a good time to break.

THE COURT: Okay. Be back at two o'clock. Do not discuss the case with anyone during the break. Have a great lunch.

(Jury exits courtroom. Luncheon recess. Case adjourned to 2:00.)

A F T E R N O O N S E S S I O N.

MR. GJELAJ: Can I make an application? I have no idea about cross

1
2 examination, but what I heard about
3 based on pending medical malpractice
4 cases. That can't be brought up in
5 terms of cross examination. It doesn't
6 go to credibility. They are still
7 pending.

8 THE COURT: You raised an issue I
9 have not thought about. Did you plan to
10 ask him?

11 MR. SHAPIRO: I appreciate counsel
12 bringing those to my attention. I don't
13 see why I wouldn't ask the doctor about
14 malpractice claims.

15 THE COURT: These are sustained
16 claims. By virtue they were brought
17 doesn't mean there is justification for
18 them. If there is no award or decision
19 on malpractice claim it's highly
20 prejudicial. I don't know why you would
21 raise it.

22 MR. GJELAJ: The last thing I want is
23 him coming to the podium and asking the
24 question, even if Your Honor sustains
25 it, you can't unring it.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

MR. SHAPIRO: I appreciate counsel indication of that. I assume he will practice what he preaches and having an objection sustained and asking it again. That rings the bell more than once.

THE COURT: That's fair comment.

MR. GJELAJ: I'm not sure what that has to do with my application.

MR. SHAPIRO: You've done exactly that throughout the trial.

MR. GJELAJ: Make your application before I get up.

MR. SHAPIRO: You surprise me with those. When the judge sustains the question and you ask the question again and again.

THE COURT: I do understand your position. I made my ruling on the malpractice issue unless you know of some kind of finding that there committed malpractice by a court or judge. The mere question is highly prejudicial. That's my ruling. I will not allow it.

MR. GJELAJ: Mr. Shapiro surprised me
boy a lot of accusations.

(The sworn jury enters the
courtroom.)

DIRECT EXAMINATION CONTINUED

BY MR. GJELAJ:

Q. Good afternoon. Did you enjoy your
lunch?

A. Yes.

Q. Did we have lunch together?

A. No.

Q. Did we discuss your testimony?

A. No.

Q. As part of your practice, did you
prepare an operative report?

A. Yes.

Q. Is that in your file?

A. Yes.

Q. If you want to refresh your
recollection you can. Look at the operative
report you prepared?

THE COURT: Plaintiff's 2.

MR. GJELAJ: Correct.

THE COURT: Not his office notes.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

MR. GJELAJ: No. The records that came in via subpoena.

A. I have it here.

Q. Where was the surgery done?

A. 3/31/09.

Q. Where?

A. Franklin North Shore Hospital.

Q. That's where you are chief of spinal surgery?

A. Yes.

Q. What was your pre-operative diagnosis?

A. Cervical radiculopathy.

Q. Explain what radiculopathy is?

A. When I mention to everyone before I discussed the nerve and things like motor weakness or sensory abnormalities reflex changes. The nerve has four functions. It comes from the brain through spinal cord out to the arm or leg, that particular nerve carries four pieces of information. It carries pain. It carries sensation, three. Motor function and reflex information. All found in the cables. Any of those functions, pain,

1
2 numbness, reflex changes, doctors use the word
3 for that disorder is radiculopathy. Disease of
4 the nerve root. It's very frequently a
5 diagnosis of patients with herniated discs. It
6 refers to the pathological change, the damage
7 to the disc. What the patient is complaining
8 of what, what the patient is exhibiting is
9 radiculopathy. A disorder of the nerve root.
10 That's a diagnosis that we would operate for.

11 Q. In this case the radiculopathy is
12 that on the shoulders down to where?

13 A. The arms.

14 Q. Were any tests performed prior to
15 this, EMG?

16 A. Yes.

17 Q. Was it positive?

18 A. I believe it was positive.

19 Q. Would that be consistent with your
20 testimony this morning that Mr. Robles suffered
21 a herniated disc?

22 A. Yes.

23 Q. Is that consistent with your
24 testimony he suffered a herniated disc with
25 radiculopathy?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

A. It is consistent.

Q. Is that within a reasonable degree of medical certainty?

A. Yes.

Q. If you had a herniated disc without radiculopathy would you operate on it?

A. No, not for the word herniated disc.

Q. Just because a disc is herniated doesn't mean you operate on it?

A. That's correct.

Q. You need radiculopathy?

A. It's more complicated than just diagnosis of herniated disc.

Q. What's the term you used?

A. Indications.

Q. Were those indications such for Mr. Robles in terms of the neck?

A. Yes.

Q. Can you explain to the jury what you did when you went into his neck?

A. Yes.

Q. When you opened up his neck you went through the front?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

A. Yes.

Q. Did you visualize the spinal cord?

A. Yes.

Q. Did you use any instruments?

A. Well, I used an operative microscope, it further magnifies the structures that I'm operating on. I described to the jury how part of the operation is removing all of the disc until I see the spinal cord and nerve roots. That is an integral part of the operation. I was able to visualize the spinal cord, visualize nerve root as part of the operation.

Q. When you visualize -- did you visualize the disc?

A. Yes.

Q. When you did that, what did you see?

A. I found -- I described a little bit earlier, when you open the disc space I saw and see frequently in traumatic discs, they are fragmented, pieces have displaced from the normal place to a different place, they are herniated. And you can see they are causing

1
2 some degree of compression as well.
3 Compressing spinal cord and nerve roots. It is
4 my testimony that's what I found on that day
5 during surgery.

6 Q. Did that confirm your findings
7 based on your review of the MRI that you had
8 done in prior visits?

9 A. Yes.

10 Q. Was it consistent with the
11 complaints Mr. Robles made in regard to his
12 neck?

13 A. Yes.

14 Q. As a matter of fact, doctor, when
15 you removed the disc it's called a specimen?

16 A. Yes.

17 Q. What did you do with that specimen?

18 A. It gets sent to pathology lab and
19 it's quantified and qualified by the
20 pathologist. That's a specialty of medicine
21 that examines tissue under microscopes and
22 that's routine part of an operation where you
23 send specimens of human tissue down to
24 pathology for confirmation and analyses.

25 Q. Was it done here?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

A. Yes.

Q. Did it confirm your findings?

A. Yes.

Q. That it was herniated disc?

A. Yes. The pathologist finding is it's a disc. The fact that it's herniated is intra-operative observation by the surgeon.

Q. You saw it. You saw it was a traumatic herniation. Explain that how it was traumatic?

MR. SHAPIRO: Objection.

THE COURT: Sustained.

Q. You had a post operative diagnosis?

A. Yes.

Q. What was post operative diagnosis?

A. Traumatic induced herniation.

Q. Was this disc caused by the accident of February 6, 2008?

A. Yes, that's my testimony.

Q. I used the wrong word before, you see the screws there, how many did you implant into the neck?

A. Six.

Q. That's permanent, correct?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

A. Yes.

Q. How long was he hospitalized for?

A. I don't recall, it's usually two days.

Q. This was at Franklin hospital?

A. Yes.

MR. GJELAJ: Pursuant to subpoena I move into evidence Franklin hospital medical records for cervical fusion. I would like it marked Plaintiff's 5.

MR. SHAPIRO: Subject to redaction. I need to look at what they are.

THE COURT: Plaintiff's 5.

MR. GJELAJ: Since we are on the same topic, I would move into evidence the Franklin hospital records in regard to lumbar surgery.

MR. SHAPIRO: Same reservation.

MR. GJELAJ: Subject to redaction.

(Marked Plaintiff's 5 and 6 in evidence.)

Q. This surgery wasn't an ambulatory procedure?

A. No, in-patient hospitalization.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Q. How long did surgery take?

A. Under two hours.

Q. What are some of the risks of the procedure?

A. The risks are number one the patient does not get better from surgery, the outcome of surgery is ninety plus get some relief of symptoms. Many many get no relief because trauma of the accident can cause significant nerve damage. I don't repair the nerve damage. All I do is repair or reconstruct the spine. So to the extent the nerve may have been damaged and tissues damaged permanently. My intervention might prevent it from getting worse but it doesn't undo the damage. The most obvious risk of surgery, any person who has an operation like this, spine procedure, they could die from surgery, anesthetic complications, cardiac, blood clots, pneumonias. Any time you deal with spinal cord surgery there is a risk of worsening neurological deterioration. One of the risks is they can wake up having more neurological deficit, weaker or paralyzed. Bleeding,

1
2 infection. Fluid drainage. Even in well done
3 surgery those are known risks of the operation.

4 Q. You explained these risks to Mr.
5 Robles?

6 A. Yes.

7 Q. Did he sign a consent?

8 A. Yes.

9 Q. After you performed the surgery--
10 was surgery a success?

11 A. From the perspective did I
12 accomplish my aim with respect to decompression
13 and the implants, yes.

14 Q. How was it not a success?

15 A. Well, we as doctors don't
16 necessarily make that assessment was it a
17 success or not. From a technical exercise of
18 accomplishing the goal of taking pressure off
19 the nerve and putting implants in it was a
20 success. They are in, stabled, it all healed.
21 On the broader spectrum we analyze success on
22 did the patient get full recovery of their
23 symptoms. When you look at it from that
24 perspective, it may not be yes. As I discussed
25 with you before, a biggest risk is the patients

1
2 don't get full recovery. An accident changes
3 like this changes their life further. We may
4 keep them from getting worse but they may
5 remain with those deficits as a risk forever.
6 If I use that bar for success, then no, it
7 wasn't successful. Was this technical surgery
8 executed correctly and were my goals of surgery
9 accomplished? I would say yes. It's a double
10 edge sword.

11 Q. Did the surgery take away his pain?

12 A. Not really.

13 Q. By the way, you see the areas you
14 fused?

15 A. Yes.

16 Q. How many levels?

17 A. Two level fusion. Each two
18 vertebrae is motion segment. We would say that
19 was 2 levels even though it's three fused
20 together.

21 Q. You see the 2 levels you fused.
22 What, if anything, happens-- can you show us
23 where those are in your neck?

24 A. It's right in the middle.

25 Q. What if any affect does it have on

1
2 those spaces, those levels?

3 A. Everyone thinks if you have the
4 fusion now you are fused-- it's opposite. The
5 disc get jurisdiction as a consequence of the
6 accident. That motion segment is permanently
7 distorted as a consequence of the injury. The
8 surgical reconstruction is to provide
9 stability. Yes you are fused together. If you
10 examine these segments prior to the surgery,
11 the motion is not normal. That disc is a
12 necessary component of how the vertebrae move
13 together. The permanent loss of use of
14 function is the consequence of the traumatic
15 event of the disc herniation. Adding the
16 fusion, the fusion doesn't make you normal,
17 feel like you were before the accident. What
18 it does is it keeps the vertebrae from
19 collapsing to continue to give impingement on
20 the nerve. Surgery doesn't make people normal.
21 That's a misconception. We take great efforts
22 before surgery to manage the patient's
23 expectation. It's hard in the jury to see
24 because it's inside. If you were hit by a
25 truck and shattered your thigh bone even though

1
2 we put a plate and rod back that leg will never
3 be the same, you would limp, it's crooked, you
4 would have scars. Even though a surgeon fixed
5 it that leg is never the same again. It's not
6 the same because the surgery made it different.
7 It's not the same because the accident damaged
8 the tissue. Caused irreversible damage and the
9 surgery was meant to get things so the patient
10 is more functionable with the rest of his life.

11 Q. You said permanent. Do you have an
12 opinion with a reasonable degree of medical
13 certainty as to whether the limitations in Mr.
14 Robles neck are permanent?

15 A. Yes.

16 Q. What is that opinion?

17 A. The patient sustained a traumatic
18 event in the motor vehicle accident and that
19 created a permanent change in the vertebral
20 structures in the neck and back. As a
21 consequence of that and consequence of the need
22 of surgery the patient has a permanent loss of
23 function of his neck.

24 Q. That will never come back?

25 A. No.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

MR. SHAPIRO: Objection.

THE COURT: Sustained.

Q. Will it ever come back?

A. No.

Q. With the neck alone, do you have an opinion based on the neck as to within a reasonable degree of medical certainty as to whether Mr. Robles is disabled?

MR. SHAPIRO: Objection.

THE COURT: Sustained.

Q. Did you examine Mr. Robles after the cervical fusion?

A. Yes.

Q. How many times?

A. Over the entire two years.

Q. When you saw him did he continuing complaining of pain to his neck?

A. Yes.

Q. Continue complaining of radiculopathy?

A. Yes.

Q. Did the surgery relieve the radiculopathy?

A. It did not reduce all of the

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

symptoms.

Q. Some of the symptoms?

A. Some.

Q. Let's talk about that, doctor.

MR. GJELAJ: I move into evidence the emergency room records from Greenwich hospital.

THE COURT: There was a motion this morning. Is that part of it?

MR. GJELAJ: It is.

THE COURT: Side bar.

(Off the record.)

THE COURT: Marked plaintiff's 7 in evidence.

MR. SHAPIRO: Subject to redaction.

Q. Showing you Greenwich records which are the records for Mr. Robles when he was taken by ambulance to the hospital. Do you see an x-ray report there?

A. Yes.

Q. What is it an x-ray report of?

A. .

MR. SHAPIRO: Objection.

MR. GJELAJ: It's in evidence.

1
2 MR. SHAPIRO: There is no foundation
3 this person.

4 THE COURT: Lay a foundation.

5 MR. GJELAJ: It's a report. Not the
6 film.

7 THE COURT: Okay.

8 Q. Have you taken x-rays before?

9 A. Yes.

10 Q. Cervical x-rays?

11 A. Yes.

12 Q. How many times have you taken
13 cervical x-rays?

14 A. Five thousand.

15 Q. How many times have you read those?

16 A. Five thousand is that a report. An
17 x-ray report.

18 MR. GJELAJ: A report in evidence.

19 THE COURT: It's an x-ray report
20 that a radiologist read and is part of
21 the Greenwich hospital record.

22 MR. GJELAJ: Yes.

23 THE COURT: You want the doctor to
24 review the report of the x-ray.

25 MR. SHAPIRO: That's the basis of my

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

objection.

THE COURT: Excuse the jurors please.

MR. SHAPIRO: How can this witness comments on someone else's report, this the first time he's seen it.

MR. GJELAJ: His doctor, a couple of his experts hired refer /REPB that report specifically. For their defense. That report claims there is degeneration in the neck.

THE COURT: You qualified this witness as an expert in orthopaedic surgery. Now we're talking about radiology.

MR. GJELAJ: He's not reading the film. It's just the report.

THE COURT: Then we can say the report speaks for itself. You want him to read it.

MR. GJELAJ: I have no problem with your ruling. Then his doctors who will base their testimony on that record, what's goose for goose and /TKPWAPBD.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

They are not radiologist.

MR. SHAPIRO: I am producing a radiologist. Number two I served /TKHRUPB oh one D.

MR. GJELAJ: He's a treat.

MR. SHAPIRO: He's never seen these records.

MR. GJELAJ: He can testify as to anything. I have a memo of law here ready to back up my position. I don't need /TKHRUPB oh one.

MR. SHAPIRO: Not to something he's ever seen before.

THE COURT: If you ask the proper foundational question it will make it much simpler. The document is in evidence. The jury can look at it. It's part of the case. Whether or not this particular witness is qualified to read that document. It's in evidence. The jurors will get it.

MR. SHAPIRO: He can read it. Can he comment on what he said read. There is no 3101(d)

1
2 MR. GJELAJ: I have a trial
3 memorandum for treating witness. I was
4 anticipating this 3101 is not required
5 for treating physician. Every
6 department in this state says that. The
7 first department, second department. I
8 list eight cases in the second
9 department which stand for that
10 proposition. It is well settled that
11 the disclosure requirements of 3101(d)
12 do not apply to treating physicians.

13 MR. SHAPIRO: I think plaintiff's
14 counsel is miss stating my position.
15 I'm saying he can't testify about
16 records that he's never seen before and
17 were not part of his disclosure. They
18 are not refer render in his record.

19 MR. GJELAJ: I don't understand the
20 argument. One second it's not 3101.
21 Then he realizes I don't need one he
22 changes his argument. I don't need to
23 serve /TKHRUPB oh one.

24 MR. SHAPIRO: I reference /TKHRUPB oh
25 one, it's either or. He doesn't have it

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

nor. Here is something knew tell us about this. He can't do that at trial.

MR. GJELAJ: That document is in evidence. Mr. Shapiro agreed to allow them in.

THE COURT: Maybe if I have an idea what he's commenting on.

(Handing to Court.)

(Doctor steps off stand.

/STKPHRAOEUFRLT.

THE COURT: If the doctor is in evidence, part of the records. The jury will see it. Document says soft tissue appeared you know remark /ABL narrowing at disc space. Mild anterior 84 /OFT fight formation at this level. No fracture seen. No /PHAL /HRAEPLT is noted.

This speaks for itself. What will he testify that relates to this.

MR. GJELAJ: They have a doctor who will--

THE COURT: I don't know what it is.

1
2 MR. GJELAJ: I've seen the /TKHRUPB
3 oh one. Have you not conceded I don't
4 need 3101.

5 MR. SHAPIRO: You don't have to serve
6 it for the purpose of this witness
7 testifying. He can't testify to
8 something that's not in 3101 and not in
9 the records.

10 MR. GJELAJ: That's not correct. You
11 are making up law now. The purpose of
12 me questioning him is A why would they
13 take an x-ray at the hospital. B based
14 on your review of the report do you
15 think there was degeneration in his
16 neck.

17 THE COURT: What is wrong with
18 those questions.

19 MR. SHAPIRO: How can he go based
20 upon a report. Can you tell based on
21 what the other guy said is what you
22 think about what he said.

23 THE COURT: It's a radiologist from
24 the Greenwich hospital. Radiologist
25 read x-ray. He's qualified as an expert

1
2 in orthopaedic surgery. It relates to
3 vertebrae in the neck. He's asking him
4 to look at a report in evidence and he's
5 asking to make some comments on the
6 report. It relates to the area of
7 specialty that he was qualified to as an
8 expert. I don't see a problem with the
9 doctor commenting on it as an expert in
10 other /PAEBGS. This is an x-ray of the
11 persons spine at the hospital. You
12 never objected to him qualified as an
13 orthopaedic expert. He's looking at the
14 x-ray at the time of the emergency room.
15 I don't have a problem with it. It
16 would be better if you asked a few more
17 questions.

18 MR. SHAPIRO: I need to look at his
19 file, before I cross.

20 THE COURT: I will read my decision
21 on the three issues raised. The
22 defendant's application to preclude
23 witness and /TPHA C U B A S is granted.
24 The notice of /THEURS witness was served
25 on counsel just this past weekend with

1
2 the damages portion of the trial
3 scheduled to commence this morning. The
4 witness was not disclosed to defendant
5 and there has not been a prior
6 opportunity to depose witness. Because
7 defendant failed to question plaintiff
8 as to daily activities or required
9 whether plaintiff required assistance on
10 day to day basis defendant cannot object
11 to plaintiff's late notice of intent to
12 use miss /KUB /PWAUS as a witness.
13 Colon V /TPUT man. 22 AD2d five
14 freight. First department 1995.

15 MR. GJELAJ: Note my exception.

16 THE COURT: The witness has been
17 sitting in the courtroom threw the
18 entire trial. The witness would not
19 have been in the courtroom every day.
20 If I had known she was a witness or
21 potential witness I would have her
22 removed from the courtroom. She sat in
23 here almost every day.

24 Defendant's also October /-D to
25 amended witness disclosure served on

1
2 defendant in late December 2011. The
3 Amendment is result of amend the tax
4 return and not considered by economics
5 when prepared initially report. The
6 impact is there is an increase in the
7 amount of damages that the economist
8 witness will attempt to prove. It seems
9 clear the defendant is not materially
10 prejudiced by Amendment and had not
11 initially planned to offer rebuttal of
12 an economic expert. The amended report
13 is admissible.

14 Plaintiff established good cause for
15 the amended witness disclosure having
16 realized a report is premises /-D upon
17 an earlier tax return that has
18 subsequently /TPHUL /TPAOEUD by
19 plaintiff's filing of the amended
20 return. L I S S A K. V sir /RO bone
21 /TPHA. First department case. Mad
22 today loan V Rolex watch. 73 A D three
23 D six 29 first department.

24 Defendant's motion for preclusion is
25 denied. Although defendant may have to

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

bring an economist and /AULT it's
initial strategy. The theory was known
to defendant and this Amendment is not
willful or prejudicial to defendant.
That's my ruling on that.

MR. SHAPIRO: Note my exception.

THE COURT: Plaintiff's application
to redact hospital records to remove
reference in the record as to plaintiff
being settle belt /-D at the time of
impact is granted. Physician office
records or hospital records are
ordinarily admissible to the extent they
are /TKPWER main to diagnose and
treatment including medical opinions.
Doctors records are known records are I
know admissible. Begins /PWERG V begins
/PWERG. 2 13 A D five 72 second
department 1995. Same result is found
in calm lot V B A I group. 79 A D three
D one ten. As I stated to counsel if
counsel Mr. Shapiro can lay the
foundation as to the source of this
information then the Court will revisit

1
2 the issue to admissibility of the
3 statement in the Greenwich hospital
4 records.

5 MR. SHAPIRO: Please note my
6 exception to that ruling.

7 THE COURT: That I will give you a
8 chance.

9 MR. SHAPIRO: The first part of the
10 ruling.

11 THE COURT: It's still open for you
12 to lay a foundation. If you lay a
13 foundation I can readjust.

14 (Open Court)

15 Q. Was there an x-ray of Mr. Robles
16 neck taken in the hospital?

17 A. Yes.

18 Q. What if any significance do you
19 attach to the fact they took an x-ray of his
20 neck at the hospital?

21 A. Generally it's a reference to some
22 type of complaint. Clinical information motor
23 vehicle accident with neck pain.

24 Q. They thought you have enough of
25 that to take an x-ray. Did you read the review

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

of the x-ray?

A. Yes.

Q. Does that change your opinion at all as to what the competent producing cause of the herniated disc in Mr. Robles neck was?

A. No.

Q. What does it say there?

A. Paravertebral soft tissue appear unremarkable. Narrowing at disc space 4-5 is mild osteophyte formation at this level is evident. No fracture seen. No malalignment noted. No boney or soft tissue are appreciated.

Q. What does it mean narrowing?

A. The space is narrowed at C 4-5.

Q. What about osteophyte, what's that?

A. That's a bone spur.

Q. He had a bone spur in his neck?

A. Yes.

Q. This is before the accident?

A. That would be from before the accident.

Q. He will testify tomorrow that he had never had any treatment whatsoever for his

1
2 neck, no physical therapy, no doctors visits,
3 no pain medication, nothing at all. Do you
4 think the competent producing cause of the pain
5 he was experiencing was that osteophyte?

6 A. No, I believe that's just a typical
7 finding that someone would find on an x-ray.

8 Q. Someone in their mid 30's typical?

9 A. Yes.

10 Q. Is there any proof had this
11 accident not occurred and his neck was as it
12 appears in that x-ray, do you have an opinion
13 with a reasonable degree of medical certainty
14 as to whether Mr. Robles would have required
15 surgery?

16 MR. SHAPIRO: Objection.

17 THE COURT: Form.

18 Q. Based on the findings alone on that
19 x-ray, assuming that this accident had not
20 happened, do you have an opinion within a
21 reasonable degree of medical certainty as to
22 whether or not Mr. Robles would have required
23 surgery on his neck?

24 MR. SHAPIRO: Objection.

25 THE COURT: Side bar.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Q. You testified he complained about his back as well?

A. Yes, neck and back injuring.

Q. The focus was his neck?

MR. SHAPIRO: Leading.

MR. GJELAJ: I'm trying to move along.

THE COURT: You can't lead first.

Q. Did there come a time after surgery where your focus turned to Mr. Robles back?

A. Yes.

Q. When was that?

A. June of '09, about three months.

Q. June 11 of '09?

A. Yes.

Q. On that exam did you examine him that day?

A. Yes.

Q. Just like-- did you examine him every time he came to see you in your office?

A. Yes.

Q. How many times have you seen him?

A. A dozen.

Q. Not including the two surgeries?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

A. No.

Q. What, if anything, did he tell you about his back?

A. We are focused we tend to deal with the issues at hand. At this visit there were conversations that occurred prior to that, in terms of documentation I began to lay a foundation for the need for lumbar surgery. I believe this is a Workers' Compensation case which requires a certain amount of specific documentation, I began to include in my narrative and evaluation of the patient that we are going to request surgery. You need a formal authorization from Workers Comp to get it.

Q. How was his range of motion in the lumbar spine?

A. On 6/11/09.

Q. At all?

A. Throughout the course, as I testified, the patient had a significant symptoms with respect to lumbar spine. He had loss of range of motion. The biggest concern was neurological deficit.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Q. What kind of neurological deficits?

A. Weakness of tibial anterior and extension hallous.

Q. You named three different muscle groups in the legs.

A. The muscles that lift your foot up and down, the muscles that lift your toes up and down and calf muscles all showed a weakness as characterized by that muscle testing. On this day it was four out of five. He continued to show symptoms that were consistent with a lumbar radiculopathy and now he had had it before but we are focusing in my notes because we were moving towards lumbar surgery.

Q. Were there any tests performed on Mr. Robles?

A. I reviewed a MRI of his lumbar spine.

Q. Do you recall what the date of that was, the late of the film, not the date you reviewed it.

A. 10/8.

Q. 10/20/08?

A. 10/20/08.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Q. In terms of cervical MRI, how many times have you reviewed them, rely on them, would your answers be the same with regard to lumbar MRI?

A. All MRI of the spine.

Q. And you reviewed these films prior to today?

A. Prior to the surgery.

Q. And you based your opinion on those?

A. Yes.

MR. GJELAJ: I would like to mark that and move it into evidence.

MR. SHAPIRO: Are these all MRI.

THE COURT: Plaintiff's damage 8. (Plaintiff's 8 in evidence.)

Q. Can you show the jury with the shadow box the lumbar film taken on 10/20/08.

(Showing jury plaintiff's 8.)

A. Similar to the other MRI I showed you this is a sagittal reconstruction, the long view of the lumbar spine, the low back and similarly to the other explanation it's a series of sequences slicing the body at some

1 point we get an image like this one. Focus on
2 this one and this one. It shows this canal.
3 You see this long central canal. We pick in
4 one it's the middle so gives you a nice
5 representation of the overall picture of the
6 spine. The best way to convey to you is to see
7 what's normal and then abnormal. Similar to
8 the other example there is a section of the
9 spine which appear more normal. If you follow
10 this view and this view we can see a canal of
11 clear fluid. We don't have that solid gray
12 line like in the neck, in the lumbar spine it
13 looks like the horses tale. Cauda equina. You
14 see the relationship of the central canal
15 vertebrae and discs. That's what I focus your
16 thoughts on. What's normal in this section is
17 the square and this white shaped structure.
18 Focus here and relationship to the clear space
19 here and here. You see a white structure with
20 a nice finite contour in the back. It's not
21 really protruding into the spinal canal. This
22 is the spinal canal with the vertebrae. Normal
23 disc is represented by white. That's normal.
24 Below that is where the disc herniations are.
25

1 This is characterized as L-4-5 and L-5 S-1.
2
3 The disc is no longer white, it's turned from
4 black to white. If you look over here you can
5 see it protruding and sticking out as opposed
6 to flush, normal is white. It's flush with the
7 back border and below it is where the disc
8 begins to change color and protrude out. Above
9 that is normal and below that is abnormal
10 herniations. At this level it's normal. At
11 this point below disc is dark and protruding
12 out. Diminishing the space and compressing the
13 nerve. Above my finger is normal. Below it's
14 abnormal. This MRI to me and again the easy
15 way is the white looks normal and ones below
16 are difficult. When they turn black and begin
17 to protrude out like that that's the way we
18 observe and characterize that disc as being
19 injured, disorders and in this case we call
20 that a herniated disc. These two L-4-5, L-5
21 S-1 show evidence of damage and herniation and
22 protrusion. I read this before the surgery and
23 that was my impression before I did the
24 surgery.

25 Q. We discussed degeneration in terms

1
2 of the cervical spine. Is that lumbar film
3 show degeneration?

4 A. Like the neck similarly shows areas
5 of wear and tear which one would typically see
6 in this age group. It's not advanced. It's
7 not an old degenerative spine. You see changes
8 in the shape of the bone that suggest this
9 patient lived on the earth for a few years and
10 experienced a life. These are typical changes
11 like graying of hair. It's not abnormal but it
12 suggests you've been through life a little bit.
13 Thinning hair, all of that.

14 Q. Were there any EMG performed to his
15 lower extremity?

16 A. Yes, one was performed 2/29/08.

17 Q. Is that consistent with your
18 reading of the film that shows herniated discs?

19 A. The EMG showed radiculopathy. This
20 was positive for radiculopathy.

21 Q. We have herniations on the film?

22 A. Yes.

23 Q. I asked you if herniations alone
24 cause pain?

25 A. They don't necessarily cause pain.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Q. How many herniations?

A. Two.

Q. And you have a positive EMG shows there is tingling, whatever, pain going down the leg?

A. Yes.

Q. What, if anything, did you do next, did you come to a conclusion?

A. My conclusion was not only based on those two pieces of evidence. Based on a history of the accident, on his verbal complaints and showing there were neurological abnormalities. On the MRI review which is very, very powerful piece of information. EMG is also important, not an essential component in arriving at a diagnosis, all this cumulatively helps me formulate my diagnosis of lumbar radiculopathy from two herniated discs. That's a working diagnosis and similar the same strategy we follow with the neck and low back. The same history applied in terms of treatment, physical therapy, medicine, injection. All that prior conservative non-surgical remedies were attempted before the patient came to me .

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Q. You are a last resort?

A. That's correct. All that happens before they come to me . It's only then that we begin some surgical consultation. He went through those things and we offer him options. I thought he would benefit from surgery.

Q. Do you have an opinion within a reasonable degree of medical certainty as to what the competent producing cause of the herniated disc you just testified to in his back were?

A. If the history is accurate, and I believe it to be so, that's the car accident.

Q. You did a surgery on him?

A. Yes.

(Plaintiff's 9 in evidence.)

MR. GJELAJ: Mr. Shapiro told me he will stipulate to this going in evidence.

Q. Can you explain the surgery you performed on Mr. Robles on August 18, 2009?

A. This is of the low back. Specifically L-4-5, L-5 S-1. This represents basically what I do is similar to the neck, the

1
2 two main goals of surgery is decompression and
3 stablization or fusion. Given the initial part
4 of the operation which is an incision on the
5 low back that gives me an opportunity to expose
6 the areas of the spine that are involved in
7 this problem. L-4-5 and L-5 S-1.

8 A. You expose the spine by dissecting
9 the muscles and tissues of the spine. That
10 exposes the bones. The bones are removed.
11 They are traumatized in the injury. You get
12 areas of collapse and displacement of the
13 bones. They begin to pinch the nerve. The big
14 picture I have to take the pressure off the
15 nerve. We do that by removing all the tissue.
16 You will see the nerves are gray. This is what
17 I see under the microscope. I can see the
18 relationship between disc, the damage bones and
19 nerves. Specifically we look to make sure it's
20 same principles of the neck, there is no longer
21 compression. There are three on each side, we
22 make sure no pressure on the nerve. Once I'm
23 satisfied I've done what I could to help them
24 fully recover. The traumatic injury occurred
25 at the time of the accident. They are hurt.

1
2 That damage is initially done. That continued
3 compression causes continued symptoms and
4 continued nerve damage I take the pressure off
5 the nerve and did as much as I could do. Once
6 I've done this and remove those tissues damaged
7 in the spine I have to set about to restablize
8 the spine. There is instability. I use
9 implants in the spine, like titanium, we use
10 implants in the spine all the time. What they
11 help us to do is help us to reconstruct the
12 spine so we are in an artificial way providing
13 the spine with the stability it needs it has
14 prior to the accident. The intrinsic part is
15 damaged, they collapse. We use a pedicle
16 screw. It's a screw that goes in, attaches to
17 a rod and we use sinthetic bone that grows all
18 around the rod. It ends up mimicking what the
19 spine would look like. Functionally and
20 visually you get a reconstruction of the spine.
21 This is the side view. The rod really attaches
22 the screw but it's a scaffold. It's like rebar
23 and concrete. Once this is all healed if you
24 open a patient up it looks similar in my
25 estimation to what the spine used to look like.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Q. How many levels did you fuse?

A. 4-5, 5 S-1. That's considered two level fusion, three vertebrae were fused.

Q. How many screws?

A. Six screws.

Q. A rod?

A. Rod screw construct.

Q. Your pre-operative diagnosis was radiculopathy and stability?

A. Yes.

Q. Did you correct that?

A. That's hard to answer. I decompress the nerve roots and recreated the stability by putting in the implants. This is called grafting procedure. Over the ensuing months it fuses. We do the surgery. God does the fusion. That happens months later. To the extent I took the pressure off the nerve, put implants in, surgery went fine. No post operative complications and the disc goes on to fusion, I accomplish my goal.

Q. Severe neuro compression, that was your post diagnosis?

A. I see this and was able to make

1
2 observation. People want to know is it mild
3 impinged, severely impinged. That's my way of
4 characterizing what my observations during
5 surgery were and I observed severe neuro
6 compression.

7 Q. Did you use the same apparatus that
8 you use during cervical surgery?

9 A. Yes.

10 Q. Did you visualize the spine?

11 A. I did.

12 Q. It's not the old once sticking out?

13 A. No, with a candle, no.

14 Q. When you use that what did you see?

15 A. I was be able to visualize each
16 nerve root. The relationship between those
17 nerve roots, the damage tissue including the
18 bones and the discs.

19 Q. Did you see the herniated discs?

20 A. Yes.

21 Q. You listed your findings were
22 traumatically induced herniations?

23 A. Yes.

24 Q. Please explain why?

25 A. During surgery sometimes I see

1
2 things congenital, developmental in origin or
3 age related changes, spinal stenosis in
4 elderly, in this case I notice it was a
5 traumatic induced herniation. As I pointed out
6 to you in the MRI that's exactly what I found
7 during surgery and that note is meant to convey
8 I found that.

9 Q. Did you take out any bone?

10 A. As the image portrays the
11 decompression part is laminectomy. That's the
12 actual part of the procedure. That bone is
13 sent to pathology for collection and
14 examination. And the disc removed as well.

15 Q. Does that bone grow back?

16 A. No.

17 Q. The pedicle screws and hardware
18 shown, will that be removed from Mr. Robles
19 back?

20 A. No.

21 Q. Until the day he dies?

22 A. Yes.

23 Q. Do you have an opinion within a
24 reasonable degree of medical certainty as to
25 what caused the need for Mr. Robles to have a

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

back surgery?

A. Similar to the neck, if everything told to me by patient and I believe it to be accurate this was causally related to the motor vehicle accident.

Q. He was hospitalized for that?

A. Yes.

Q. Frankln hospital?

A. Yes.

Q. Have you seen Mr. Robles since the back surgery?

A. Yes.

Q. Lumbar is back?

A. I understand when you say back you refer to lumbar.

Q. I will not go through each visit, up until today how is his back?

A. I think his recovery has been with respect to the x-rays and some of the symptoms he's had resolution and in some the pain never seems to subside despite the fact he had surgical intervention.

Q. Does he still have radiculopathy?

A. Yes.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Q. In his legs?

A. Yes.

Q. At some point when Mr. Robles was scheduled for his neck surgery in March of '09, do you recall that?

A. Prior to the neck.

Q. Do you recall the neck surgical being postponed?

A. Vaguely.

Q. If I told you it was postponed because his blood sugar was high would that refresh your recollection?

A. Yes.

Q. Are you aware Mr. Robles some time in March of 2009 was diagnosed with diabetes?

MR. SHAPIRO: Objection.

THE COURT: Sustained.

Q. Are you familiar with diabetes?

A. Yes.

Q. Do you treat patients that have diabetes?

A. A percent of my patients have diabetes.

Q. Are you familiar with neuropathy?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

A. Yes.

Q. The pain Mr. Robles is currently experiencing down his legs, do you have an opinion within a reasonable degree of medical certainty as to whether or not that pain is related to the accident that occurred on February 6, 2008?

MR. SHAPIRO: Objection. Asked and answered.

MR. GJELAJ: I didn't ask that question.

THE COURT: You may answer the question.

A. I believe it's causally related to the accident. Radiculopathy is different than diabetic neuropathy. That's generally painless, and different distribution than radiculopathy. I am an expert in people come to me with pre-opt neuropathy versus radiculopathy. This case it was clearly radiculopathy that was present prior and persistent after the surgery.

Q. Did you come to a conclusion after all your visits as to whether or not the

1
2 condition of in Mr. Robles back and neck is
3 permanent?

4 A. I believe it to be permanent.

5 Q. Please explain why?

6 A. When I explained before how these
7 injuries affect people's lives. His spine even
8 before he had the surgery, and then the
9 surgery, certainly not the spine of a healthy
10 eighteen year old person. Everything
11 thereafter is a structural alteration from
12 what's considered normal. In many respects
13 there are consequences to that. In this case
14 from the history he was asymptomatic. Gets
15 into an accident. I see the MRI. It shows
16 damage. Patient has symptoms. Doesn't do well
17 with conservative treatment. Now there is
18 structural aberration of his spine, no pill or
19 shot that gets that spine to make it look like
20 it was before the accident. You might try with
21 non-surgical remedies to make it feel better.
22 Those are the people that don't end up having
23 surgery. In this case that was not the case.
24 He had other treatments and didn't get better.
25 And then I did this operation. A further

1
2 change into the natural status, does this look
3 like the spine of an eighteen year person?
4 Obviously not. It's altered by the initial
5 trauma, the herniations and then by an
6 operation. It's a long answer. Will it return
7 to normal? It can't.

8 Q. Do you have an opinion, that
9 opinion you just gave was that within a
10 reasonable degree of medical certainty?

11 A. Yes.

12 Q. Do you have an opinion with a
13 reasonable degree of medical certainty as to
14 whether or not Mr. Robles is disabled from
15 working?

16 MR. SHAPIRO: Objection.

17 THE COURT: Sustained.

18 Q. Did you come to a conclusion in
19 your report as to his ability to work?

20 MR. SHAPIRO: Objection.

21 THE COURT: Sustained.

22 Q. Do you think he can work based on
23 his neck and back?

24 MR. SHAPIRO: Objection.

25 THE COURT: Sustained.

1
2 Q. Are you aware that Mr. Robles was a
3 taxi driver?

4 A. Yes.

5 Q. Are you aware they have to sit for
6 long periods of time?

7 A. Yes.

8 Q. Do you think based on his back
9 condition he can sit for long periods of time?

10 A. I think the patient in general and
11 specific to Mr. Robles he's not the first truck
12 driver cab driver I've operated on. There are
13 significant limitations depending on the
14 outcome. Depending on the severity of disc
15 herniation and prior. In this case a person
16 sustained a neck and back fusion that has
17 significant persistent symptoms, he's still on
18 narcotics, residual weakness of arms and legs.
19 I think it's dangerous for him to go back
20 professionally driving a cab. I would advise
21 against it from his perspective and I'd be
22 worried about the passengers he's driving.

23 Q. There will be testimony from Mr.
24 Robles prior to being a cab driver he was
25 working at a company doing cleaning. Are you

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

familiar with what is required?

MR. SHAPIRO: Objection.

THE COURT: Sustained.

Q. Do you think he can do any work at all?

MR. SHAPIRO: Objection.

THE COURT: Sustained.

Q. What does the future hold for Mr. Robles?

MR. SHAPIRO: Objection.

THE COURT: Sustained.

Q. From a medical perspective, orthopaedic perspective, what does the future hold for Mr. Robles?

A. It's hard to say in front of the patient. It's a sad and tragic situation where you have someone who really has permanent dysfunction of two significant body parts. And suffers in chronic pain. That's a significant issue with patients. A combination of both those events lead unfortunately to bleak prognosis. Is it good? Guarded. Bleak. That's the best way we can categorize patients to make a projection going forward. He's

1 obviously not going to have a complete recovery
2 that he will be as good as he was before the
3 accident. If you use that as a benchmark.
4 It's clear he sustained significant change in
5 his ability to perform all his activities of
6 life, especially work and home function, no
7 bending, no carrying. He has a bleak prognosis
8 with severe limitation of function. Well what
9 can he do or what can't he do? There are
10 certain patients that have this that can do
11 more. It's not every patient that has lumbar
12 fusion or cervical fusion, it depends on the
13 initial trauma. Is every patient that has a
14 severe fracture of the leg no longer a runner?
15 It depends. In this case the damage was very
16 severe. Specifically to the nerves. So he's
17 left with a significant deficit in his function
18 and he will need treatment, therapy. He has
19 some permanent loss of function in terms of his
20 arms and legs. He is on narcotics chronically.
21 To get narcotics medicine you have to be
22 treated by pain management. It's a controlled
23 substance. Someone has to give him the
24 medicine. It's not me. I'm a surgeon. He has
25

1
2 to see a doctor every six months. He has to be
3 monitored for the narcotics, blood tests and
4 urine tests. For this area he will not need
5 another operation at L-4-5. The whole package
6 of being injured in the future he will need
7 further medical treatment. I will not say too
8 much because it could be anything. It could be
9 more or what I just described depending on how
10 much pain it causes him.

11 Q. I asked you in regard to the neck,
12 once it's fused it becomes more rigid?

13 A. That's the goal. That's a good
14 thing. Being more rigid those nerves won't get
15 more damaged. The whole consequence including
16 the surgery, yes, that body part is no longer
17 the way it was like he was when he was eighteen
18 and there are limitations because of that.
19 Less flexible.

20 Q. Plaintiff's 9, the illustration,
21 because L-4 L-5 have now become fused what, if
22 anything, does that do to L-3?

23 A. I would say the way I answered
24 question before is the way I feel comfortable.

25 MR. SHAPIRO: Objection.

1
2 MR. SHAPIRO: I don't think that's
3 part of any claim. It's not alleged.

4 THE COURT: You may answer.

5 Q. Do you believe-- in the neck and
6 back when bones are fused does the level above
7 have to exert more stress?

8 A. I think anything is possible but it
9 isn't necessarily the case if you have one
10 operation and you might have another.

11 Q. Do you think Mr. Robles will ever
12 be pain free?

13 A. No.

14 MR. GJELAJ: Thank you, doctor.

15 MR. SHAPIRO: May we approach.

16 (Approach off the record.)

17 THE COURT: Ten minute break.

18 Excuse the jurors.

19 (Jury exits for brief recess.)

20 MR. GJELAJ: Plaintiff's 1 is not in
21 evidence. I'll withdraw my application
22 for that. Plaintiff's 2 is.

23 THE COURT: Yes.

24 CROSS EXAMINATION COMMENCE

25 BY MR. SHAPIRO: