

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

Proceedings

problems with physicians it is as I have said before sometimes we will take a defense witness instead of the next Plaintiff's witness because we have problems and it works out that way.

Today we have that situation. Ms. Taylor who represents Lynne and Douglas Barasch has called today this morning Doctor David Fisher who will take the stand forthwith and he is a radiologist and he's testifying on behalf of the defense not the Plaintiff. All right.

As I understand it this afternoon we will have a physician who will be called by plaintiff Dr. Lee, is that correct?

MR. SIRIGNANO: That's correct, your Honor.

THE COURT: And he is the physician as I recall who did two surgeries on the Defendant --

MR. SIRIGNANO: On the Plaintiff.

THE COURT: And he will be called for the Plaintiff. All right. Let's get started. I ask Ms. Taylor to call her next witness.

MS. TAYLOR: Thank you, your Honor. I call David Fisher. Doctor?

D A V I D F I S H E R, called as a witness on behalf of the Defendant, having been duly sworn, testified as

1 Fisher - Direct - Defendant

2 follows:

3 THE COURT: Be seated and would you please  
4 give us your full name.

5 THE WITNESS: David Fisher, F I S H E R.

6 THE COURT: Your professional address?

7 THE WITNESS: 430 Chestnut Drive, Roslyn,  
8 State of New York, 11576.

9 THE COURT: Your witness, Ms. Taylor.

10 MS. TAYLOR: Thank you, your Honor.

11 DIRECT EXAMINATION

12 BY MS. TAYLOR:

13 Q Good morning, Dr. Fisher.

14 A Good morning.

15 Q Would you please tell the Court and the ladies and  
16 gentlemen of the jury your occupation?

17 A I'm a medical doctor and I specialize in  
18 radiology.

19 Q Please tell the Court what radiology entails?

20 A Should I go through my educational --

21 Q Lets start with radiology?

22 A Radiology is a branch of medicine that deals with  
23 picture images of the body such as x-rays, CAT scans, MRIs,  
24 ultra sounds. These are tests that are typically ordered  
25 by the treating physicians. They will refer the patients

1 Fisher - Direct - Defendant

2 to radiologists and we will perform or interpret these  
3 tests to help them with their diagnosis and their treatment  
4 planning.

5 Q If you would, doctor, give us your educational  
6 background, professional experience?

7 A I studied undergraduate at Boston University's  
8 College of the Engineering with a bachelor of science  
9 degree in biomedical engineering. I went to Eastern  
10 Virginia Medical School for four years earning my medical  
11 degree. Then I did an internship in the field of internal  
12 medicine at LIJ Medical Center in Lake Success, New York.  
13 And then I did four years of residency training in the  
14 field of radiology which I also completed at LIJ Medical  
15 Center.

16 After completing my residency I was then eligible  
17 to sit for a series of exams that's given by a branch of  
18 the government. There were ten subjects and I passed them  
19 all and became board certified or a diplomat in the field  
20 of diagnostic radiology.

21 I then went to the University of Pennsylvania and  
22 did a fellowship and served as a clinical instructor  
23 specializing further in CAT scans and MRIs. And then I  
24 went into private practice.

25 For seven years I was the director of a large

1 Fisher - Direct - Defendant

2 group called Prohealth. I ran the radiology division and  
3 we were the official medical providers for the New York  
4 Jets football team, the New York Islanders hockey team,  
5 U.S. Open Tennis championships, and many local colleges  
6 including St. John's University and Hofstra University.

7 As the director of radiology, I personally  
8 interpreted the majority of the x-rays and MRIs of the  
9 athletes as well as of the families. And I gave a weekly  
10 teaching conference that was attended by orthopedists and  
11 neurologists and athletic trainers and physical therapists.

12 So there was teaching involved as well. I have  
13 also been elected as the president of the Long Island  
14 Radiologic Society. I have represented Long Island on the  
15 state level as a delegate to the New York State  
16 Radiological Society and I represented New York on the  
17 national level as an alternate counselor to the American  
18 College of Radiology.

19 Q In your educational studies did you ever deal with  
20 the anatomy or the structure of the spine?

21 A Yes. Anatomy is really one of the most important  
22 subjects that we deal with in radiology because we are  
23 taking pictures or images of the body. So every review I  
24 do deals with understanding of the anatomy.

25 Q Do you have any distinguished awards or

1 Fisher - Direct - Defendant

2 recognition, doctor?

3 A Yes, I have also been asked to be a guest lecturer  
4 at many of the state societies including orthopedic  
5 societies, lecturing them on MRI imaging.

6 Q Where are you licensed, doctor?

7 A I have active current license in the states of New  
8 York and New Jersey.

9 Q How long have you been licensed in New York?

10 A I believe it was 1989. So that would be 24 years.

11 Q In that 24 years approximately how many CT scans  
12 have you observed or reviewed?

13 A I don't know the exact number, but I would say a  
14 typical year I might review up to 10,000 radiology studies.  
15 That's all types: X-rays, CAT scans and MRIs, and I have  
16 been doing it over 20 years.

17 So I have reviewed hundreds of thousands of  
18 studies.

19 Q Now, doctor, were you requested to review films  
20 for a patient by the name of Vincent Taurone?

21 A Yes, I was.

22 Q Can you tell us what films you reviewed concerning  
23 Mr. Taurone?

24 A I was originally asked to review these films over  
25 two years ago and I reviewed I will go through them

1 Fisher - Direct - Defendant  
2 chronologically when the films were taken.

3 First was an MRI of the cervical spine or neck  
4 dated April 13, 2007. And then I reviewed x-rays of the  
5 cervical spine from St. John's Riverside dated October 26,  
6 2009. Two CAT scans of the cervical spine that were  
7 performed just a week apart on November 10 of 2009 and  
8 November 18 of 2009.

9 Then there was a repeat MRI on December 15, 2009.  
10 And then lastly two follow-up x-ray studies dated January  
11 6, 2010 and January 7, 2010.

12 Q Doctor, could you just explain to us what if any  
13 difference there is between a CT and a MRI?

14 A MRI stands for magnetic resonance imagining. It  
15 is basically a powerful magnet that takes pictures of the  
16 body and allows us to look in any projection or view that  
17 we would like and it also allows us to take thin slices.

18 And we can look from front to back or top to  
19 bottom. So we are not limited in evaluating any of the  
20 anatomy. We can also see all of the soft tissue  
21 structures. X-rays and CAT scans are best suited for  
22 seeing the bones, but we don't see the soft tissues, the  
23 skin, the nerves, the muscles as well.

24 So MRI is the test of choice when you want to look  
25 at the muscles, the disks; things other than just the

Fisher - Direct - Defendant

bones.

Q What would be the optimal tool for looking at bone or any type of fusion instrumentation?

A Well again x-ray is the most available test. But you are really limited in to just the single view from front to back. They put a plate behind the part of the body you want to image and we shoot a beam of x-rays through the patient and the film is then exposed.

CAT scan is a better test because there are a number of beams that spin around the body and take thin slices. So it stands for computerized axial tomography so it gives you much better detail than just x-rays.

Q Upon request of reviewing the films for Vincent Taurone, were you paid a fee by the defense for that?

A Yes, I was.

Q I'm just going to go through let's start with the MRI that you first reviewed for Mr. Taurone.

A Okay.

Q That was April 13, 2007?

A Yes. So this was performed approximately 2 1/2 years prior to the accident in question.

Q Doctor, when you reviewed that MRI, did you make any findings, any observations from that film?

A Yes, I did.

1 Fisher - Direct - Defendant

2 Q What were those?

3 A I found extensive degenerative change or arthritis  
4 throughout the neck and particularly at two levels of the  
5 neck. The neck is made up of seven different levels. And  
6 it is the cervical spine which is spelled with a C so the  
7 levels are just named based on that letter C.

8 So the top level would be C-1, C-2, all the way  
9 down to C-7. And the bones are separated by spacers or  
10 shock absorbers that we call disks. And the disks are just  
11 named for the bone above it and below it.

12 So for instance the disk between the C-5 bone and  
13 the C-6 bone is called the C5-6 disk. So I saw arthritis  
14 or degeneration throughout the neck. And I note that it  
15 was most pronounced or most severe at the C5-6 and the C6-7  
16 levels which were the two lower levels of the neck.

17 Q Did you make any findings, doctor, regarding the  
18 spinal canal or the spinal column?

19 A Yes. I just mentioned what the bones are. But  
20 there are a number of other structures and the bones form a  
21 canal or a long tube our spinal cord passes through and  
22 because of the arthritis or degeneration, these bones have  
23 overgrown and they have developed bone spurs or  
24 osteophytes.

25 And those spurs have narrowed the canal



1 Fisher - Direct - Defendant

2 significantly to the point that they are causing spinal  
3 stenosis or compression of the spinal cord and of the nerve  
4 roots.

5 Q Compression in your opinion, doctor, to a  
6 reasonable degree of medical certainty, stenosis would that  
7 cause pain?

8 MR. SIRIGNANO: Objection, your Honor. This  
9 is a radiologist.

10 THE COURT: Well, I think he can answer that  
11 question because it is a fairly general question.

12 MR. SIRIGNANO: He's not a treating  
13 physician. He has never laid hands on the  
14 plaintiff.

15 THE COURT: Based on his experience does he  
16 know whether these osteophytes can cause pain?

17 MS. TAYLOR: Thank you, your Honor.

18 A So I will confirm as a radiologist again I do not  
19 examine the patients. I just review the films. So I have  
20 never examined this patient. But in general when you have  
21 these large osteophytes and they can rub up or compress  
22 against the nerves or the spinal cord, there is a strong  
23 association with pain, with tingling, with paresthesia or  
24 numbness, with loss of reflexes.

25 So there is a strong correlation with types of

1 Fisher - Direct - Defendant

2 nerve damage or symptoms based on the degree of stenosis.

3 Q Was there another film that you reviewed on  
4 October 26, 2009, Dr. Fisher?

5 A Yes. Those were just x-rays as opposed to an MRI  
6 study. And again at this time the patient had undergone  
7 surgery. So between that first MRI in 2007 and then these  
8 x-rays that were taken two weeks after the accident in  
9 October of 2009, I noted that there was a plate and screws  
10 in place and also bone graft material at those two levels  
11 that I had noted the most severe degeneration namely C5-6  
12 and C6-7.

13 Q Now, doctor, were you aware that Mr. Taurone had  
14 surgery on October 12, 2009?

15 A I wasn't aware of the exact date, but I knew that  
16 there was some surgery in the interval. The only records I  
17 did review were MRI studies because again as a radiologist  
18 I don't examine the patient and review other medical  
19 records.

20 Q What if anything did you observe on the x-rays of  
21 October 26, 2009?

22 A That despite the evidence of the surgery and the  
23 presence of the plate and screws and disk grafting, I still  
24 saw large osteophytes. So these large bone spurs that were  
25 present two years earlier were not completely removed or

1 Fisher - Direct - Defendant  
2 resected at the time of the surgery.

3 Q Did there come a time when you reviewed another  
4 film taken on November 10, 2009 at St. John's Riverside  
5 Hospital?

6 A Yes.

7 Q What was that film?

8 A That was a CAT scan study. In fact there were two  
9 CAT scan studies taken just a week apart, and they both  
10 show the exact thing so we can lump them together. That  
11 really showed in much better detail that the x-rays, the  
12 presence of the plate and the screws and the bone grafting  
13 material and particularly in my opinion -- and we have the  
14 films here so I will be able to point them out --  
15 everything appears to be in satisfactory position.

16 There is no fracture or movement of the bones.  
17 The plate and screws have not shifted or displaced in any  
18 way. And again that CAT scan better shows the persistence  
19 of these large bone spurs or osteophytes.

20 Q Doctor, I ask you at this time if you could show  
21 the jury the April 13, 2007 MRI?

22 THE WITNESS: May I step down, your Honor?

23 THE COURT: Certainly.

24 April 13?

25 MS. TAYLOR: 2007.

1 Fisher - Direct - Defendant

2 THE WITNESS: They are in the manila  
3 envelope.

4 MS. TAYLOR: Okay.

5 MR. SIRIGNANO: Can we get them marked, your  
6 Honor?

7 MS. TAYLOR: Oh, yes, I'm sorry, counsel.

8 THE COURT: What will this be?

9 MR. SIRIGNANO: No objection.

10 THE COURT: F in evidence. How many films  
11 are in there, doctor, for the record?

12 THE WITNESS: There are four sheets of films.

13 THE COURT: Four sheets.

14 (Defendant's Exhibit F marked received in  
15 evidence.)

16 MR. SIRIGNANO: Your Honor, may I step over  
17 so I can see what's going on?

18 THE COURT: Of course.

19 THE WITNESS: I don't want to block anyone's  
20 view either. So let me stand to the side.

21 Okay, the first thing I look at when I review  
22 an MRI is what's called the demographics, this is  
23 the writing on the film. So before I even look at  
24 the pictures, each one of these postage stamps or  
25 images has writing and it identifies the patient.

1 Fisher - Direct - Defendant

2 It says Taurone, Vincent, 48 M. So it  
3 identifies the age of the patient. April 13,  
4 2007. What else do we have? Upright Imaging of  
5 Westchester. So I'm confirming that we have the  
6 correct patient, the correct date and the correct  
7 facility or office where the test was taken.

8 When we read an MRI, we read it just like a  
9 book from left to right and top to bottom. So I  
10 mention that MRI let's us take pictures in any  
11 plane or projection that we tell it to. So this  
12 particular view is a sideways view through the  
13 neck; what we call a sagittal view, and if you  
14 look at the very first image, it is a reference or  
15 a slice and there are a number of thin vertical  
16 white lines and each one of those lines has a  
17 corresponding number and it corresponds to the  
18 slice so we can see a small number two, four, six,  
19 eight.

20 So it tells me that they have actually taken  
21 the slices from right to left. It looks like it  
22 is left to right, but it is like we are in a  
23 mirror and there is a small R that tells me this  
24 is the right side of the image.

25 So this would be slices through the right

1 Fisher - Direct - Defendant

2 side of the neck and as we work our way across and  
3 down, we get towards the left side of the neck.  
4 But for demonstration purposes, I'm going to focus  
5 on right in the middle, the slice right down the  
6 center of the neck because that shows the anatomy  
7 the clearest.

8 So the patient is actually facing towards the  
9 back corner. And we can see the bottom of the  
10 chin and the skin under the front of the neck.  
11 And this is the skin under the back of the neck.  
12 And MRI works on the principles of fluid or water  
13 in our body. Our bodies are mostly made up of  
14 water which is H<sub>2</sub>O. And if structures have a lot  
15 of hydrogen or water in them, they will appear  
16 brighter on this type of picture and if they have  
17 very little water, they will appear darker.

18 So for instance if we look under the skin it  
19 appears bright and that's the layer of fat or as  
20 opposed to tissue and fat is gelatinous so it has  
21 liquid content. That's why that appears white.  
22 We can also see bright white stripes down the  
23 central canal and that's called CSF or cerebral  
24 spinal fluid.

25 Our brain and our spinal cords are bathed in

Fisher - Direct - Defendant

fluid that acts as a protector. That's what water looks like, this brightness. In front of the spinal cord which is more on the left side of the images, we see a number of these building blocks that are stacked one on top of the other.

And those are the vertebral bodies and that's what our spine just like a sky scraper where one block sits on top of the other. I mentioned names so for instance this is the C2, C3, C4, C5, C6 and C7 as we count down. Now our spine continues.

The next building block is called T1 for our thoracic spine, that's our chest and T2 and T3 so we can continue down.

If we looked at our lower back, it would be the lumbar spine that's called L1, L2. So the numbers are actually very simple to understand when you know how it works.

If we look at this long gray tubular structure I'm pointing to, that's surrounded by the white that's the spinal cord. And it is a cord just like a long rope. Now the back margins of the bones if we look at the thoracic spine they look like perfect building blocks that sit straight on top one another and they are separated

1 Fisher - Direct - Defendant  
2 by these disks, these spacers.

3 And so you can see norm -- what normal levels  
4 would look like. If we look at the cervical  
5 spine, the bones begin to jut out or flare out  
6 along the back where it is unculating.

7 Particularly if we go off to the sides, we  
8 can see this little corner of bone that's pinching  
9 backwards. So what happens over time is these  
10 disks or shock absorbers in our body they have two  
11 main parts. They have a central part which is  
12 called the nucleus pulposis which is like a gel  
13 and the outer part is the anulus fibrosis that are  
14 rubber bands that hold them in place. Over time  
15 that gel can dry out. It is like a grape that  
16 will eventually shrivel up and turn into a raisin.

17 So if our disks begin to dry out, they become  
18 darker. They will lose that water or brightness.  
19 Each one of these disks have turned black and also  
20 become flatter, and then the bones begin to rub  
21 against each other because they don't have that  
22 spacer or the shock absorber any more.

23 When the bones rub, the body responds to that  
24 stress by trying to heal itself and build the bone  
25 thicker. And that's what causes these bone spurs.



1 Fisher - Direct - Defendant

2 They typically take years to develop. So it is a  
3 long standing or chronic condition that's usually  
4 progressive. It gets worse over time.

5 This is the main reason we get shorter as we  
6 get older is these disks all dry out. As they  
7 flatten, we lose a few millimeters of height. So  
8 we shrink a few inches over the course of our  
9 lifetime. It is not the bones or anything else.  
10 It is those disks, those grapes that are turning  
11 into raisins that are flattening.

12 In this case I see the bones the disks are  
13 dried out and flattened and these bone spurs are  
14 pushing backwards. And for instance at the  
15 thoracic level we can see the thoracic cord with  
16 the white fluid surrounding it or spacing it and  
17 it is floating right in the middle.

18 If we look at the level of C5-6 and C6-7,  
19 those bone spurs are pushing backwards and coming  
20 right into contact with the spinal cord. We can't  
21 see that white stripe any more. And in fact  
22 within this gray cord there is some brightness or  
23 whiteness right in the center. And that's called  
24 myelomalacia.

25 It tells me that there is a chronic

1 Fisher - Direct - Defendant

2 inflammation or irritation of that cord because it  
3 is being pinched for so long. That's definitely  
4 associated with numbness and tingling and pain and  
5 a number of symptoms. So again this study was 2  
6 1/2 years before the accident in question.

7 So what I'm seeing is a chronic or long  
8 standing degeneration and it is worse at these two  
9 lower levels C5-6 and C6-7.

10 Q Doctor, I would also ask you to with the CT scan  
11 that was done on November 10, 2009, did you personally view  
12 that scan?

13 A I'm sorry, which date?

14 Q November 10, 2009?

15 A Yes.

16 Q After November 10, 2009 did you review any other  
17 films or scans of Mr. Taurone?

18 A Yes. Eight days later on November 18, 2009 they  
19 repeated the CAT scan. And again based on my report both  
20 CAT scans showed identical findings or similar findings.

21 Q When you say similar findings, what are you  
22 referring to?

23 A Whenever I have multiple studies I always compare  
24 them side by side. If there are different types of tests  
25 like an MRI on one side and a CAT scan, it is almost apples

1 Fisher - Direct - Defendant

2 to oranges because they are not the exact same test.

3 In this case they were both CAT scans, so I was  
4 comparing them one to the other. Sometimes the patient  
5 moves slightly and there is a little blurriness to it, but  
6 based on my review I saw no interval change or no  
7 significant difference between these two studies.

8 Q Those two studies just so we are clear was  
9 November 10 and November 18, 2009?

10 A Yes, just a few weeks after the accident in  
11 question.

12 Q Let's go back to the November 10, 2009  
13 observation. Did you make any findings when you viewed  
14 that CT scan?

15 A Yes.

16 Q What were those findings?

17 A Compare it to the MRI that we just reviewed, the  
18 patient had subsequently undergone surgery, spinal surgery.  
19 There was removal of the disk material at those two lower  
20 levels C5-6 and C6-7 and placement of bone graft material  
21 and also the spine was stabilized with a plate that was  
22 held in place with screws at the top part and the bottom  
23 part. So it is what's called anterior spinal fusion and  
24 discectomy or ASFD.

25 Q What if anything did you observe on that November

1 Fisher - Direct - Defendant

2 10, 2009 scan regarding the positioning of the plate and  
3 the screws?

4 A Based on my review and I was able to look at it  
5 again today that the plate is in satisfactory position.  
6 There is no bend or crack in the metal plate that I could  
7 detect, that the screws have not backed out or moved in any  
8 way. And that there is no fracture or shift of the  
9 vertebral bodies.

10 Q Did you make any findings, doctor, about the  
11 osteophytes that you referred that you saw in the 2007 MRI?

12 A Yes. I noted that there are again despite the  
13 surgery I saw large osteophytes throughout the spine and I  
14 said particularly from the C3-4 level through the C6-7  
15 level.

16 Q What if any affect did you see of those  
17 osteophytes on the spinal canal?

18 A I noted that they resulted in multi level spinal  
19 stenosis; that there was a narrowing of the spinal canal  
20 and the foramen which are the outlets where the nerves  
21 exit.

22 Q Doctor, did you see any swelling of the tissue or  
23 anything?

24 A No. I did not note a fracture and again I did not  
25 note any hematoma or swelling or any other evidence of

1 Fisher - Direct - Defendant

2 recent or acute trauma.

3 Q I direct your attention to the November 18, 2009  
4 CT. I believe that is here in court on the computer?

5 A Yes.

6 MS. TAYLOR: Could I ask, your Honor, that he  
7 take the computer over?

8 THE WITNESS: Where is the best place for me  
9 to set it up?

10 MS. TAYLOR: Well it should have power I'm  
11 hoping. Your Honor, with the Court's permission,  
12 would the Court have a problem with the jury  
13 coming over here to view it?

14 THE COURT: Can you move it. If it is  
15 charged, it is all right.

16 MS. TAYLOR: Thank you, your Honor.

17 THE WITNESS: I just don't want it to fall or  
18 block anyone's view. So instead of having a sheet  
19 of films and a view box --

20 MR. SIRIGNANO: May I, your Honor?

21 THE COURT: Yes.

22 THE WITNESS: These films, many studies  
23 nowadays are stored digitally and they are just  
24 transmitted by discs. I will identify everything  
25 just like I did with the MRI. The first thing I

1 Fisher - Direct - Defendant

2 look at is the writing so we can identify that we  
3 have the correct patient.

4 Vincent Taurone. The date of the study  
5 November 18, 2009. So just a few weeks after the  
6 accident and this identifies that at CT of the  
7 cervical spine without contrast. So CAT scans  
8 again are best for looking at the bones and also  
9 in this case of any hardware, orthopedic hardware.

10 Metal structures in an MRI don't show up well  
11 because they cause an artifact or which blurs the  
12 image. This particular view that I have up here  
13 is that sagittal or sideways view. So this is a  
14 slice right through the middle of the neck just  
15 like I had shown you on the MRI.

16 And we can see the bottom of the chin and the  
17 front of the neck so the patient is facing  
18 sideways towards the back room. And this is the  
19 nape of the neck along the back, the back of the  
20 skull. These are the bones of the spine.

21 And air appears black. So bones appear very  
22 white or bright and air appears black. So this is  
23 actually the base of the tongue and this is called  
24 the pharynx and the trachea and the esophagus. So  
25 this is the airway in the front of the neck.

1 Fisher - Direct - Defendant

2 We can see the bones that are stacked one on  
3 top of the other. This gray space is the spinal  
4 canal, and between these bones the C-5 the C-6 and  
5 C-7 bones we can see a metal plate and that's what  
6 I'm pointing to right along the front part of the  
7 vertebral body.

8 And it is held in place by screws at each  
9 level. There are paired screws that screw it  
10 right into the bone. And let me see if I can do  
11 this. This let's me take pictures -- that didn't  
12 work. Bear with me one second, I'm sorry. It is  
13 not my computer, so this should go by slice.

14 There we go. Let me stand over here now. I  
15 can actually advance slices through the neck from  
16 side to side and I can come backwards. See as I  
17 come off towards the sides, let me come back one.  
18 The disk is spinning, so it is going to catch up  
19 with me.

20 Here we can see the white stacked bone. This  
21 would be the T1 bone. And if we look at these  
22 bones, we can see these black -- the white  
23 triangles, these are the bone spurs that are  
24 pointing backwards. So even though the plate was  
25 put here and the disk materials were put here, we

1 Fisher - Direct - Defendant

2 still have these bone spurs in the back part which  
3 is where the spinal canal and the spinal cord and  
4 the nerves live.

5 So there is persistent narrowing of the canal  
6 at these levels. I will show you a few more  
7 images just to be representative. Again we can  
8 see particularly at this level at C6-7 we can see  
9 these white bone spurs that are still growing  
10 backwards. So this was just two weeks after the  
11 accident.

12 The other thing we can identify is how the  
13 plate is positioned in contact with the front  
14 margins of the bones. So let me try and get to  
15 that. Here is the plate, the white plate and it  
16 sits snugly up against the front of the bones. It  
17 is not lifted or moved in any way.

18 And if it was to displace or back out, these  
19 screws would also have to back out. The screws  
20 are holding it flush. So I can see each one of  
21 these screws on every image is in perfect  
22 position. And the head of the screw hasn't backed  
23 out in any way. This is just the sagittal or  
24 sideways view.

25 But to confirm it I'm now going to show you



1 Fisher - Direct - Defendant

2 what are called axial views, slices from top to  
3 bottom. This is a cross section where the front  
4 or the head is on the top of the image and the  
5 back of the neck is on the bottom.

6 Then we have an R here for right side and  
7 this is left side. This is the spinal canal, this  
8 oval structure and the white is bones. And  
9 instead of normal smooth bones, they appear jagged  
10 and these are the bone spurs or the osteophytes.

11 And I want to try and show you the plate if I  
12 can. Now we are getting to the plate sitting in  
13 front and the screws and I can see on every slice  
14 the plate is sitting snugly right up against the  
15 surface of the bone. It is not lifted off or  
16 removed in any way.

17 And here the screw is flush dead into the  
18 center of the bone at each level. Here are two  
19 screws. And they are sitting flush in. So based  
20 on this, I can still see the degeneration or  
21 arthritis. I can see the evidence of the surgery,  
22 but the hardware all appears in satisfactory  
23 position.

24 Q Thank you, doctor.

25 THE COURT: I guess we are going to remove

1 Fisher - Direct - Defendant  
2 that.

3 MS. TAYLOR: Yes, your Honor.

4 Q Dr. Fisher, based on your review of the November  
5 18, 2009 CT scan, did you see any elevation of that plate?

6 A No, I did not.

7 Q Doctor, based on your review of that CT scans of  
8 November -- I'm sorry, April 2007, November 10, 2009 and  
9 November 18, 2009, did you make any -- withdrawn -- did you  
10 formulate any opinion as to the positioning of the plate  
11 and the screws?

12 A Yes, I did.

13 Q What was your opinion within a reasonable degree  
14 of medical certainty?

15 A That they appear to be adequately placed or  
16 positioned. They were flush up against the bone. The  
17 screws were not extending through the bone. There was no  
18 breaking of the plate or bending of the plate or screws.  
19 So everything appeared to be intact and as it was placed  
20 several weeks before.

21 Q Did you have occasion, doctor, to view an MRI on  
22 or about December 15, 2009?

23 A Yes, I did.

24 Q Did you make any findings upon viewing that MRI?

25 A Yes.

Fisher - Direct - Defendant

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

Q What were those findings?

A The MRI again it is a different modality but it showed similar findings to the CAT scans; namely, there was persistent extensive degeneration or bone spurring. I could still see the presence of the bone graft material and the plates and screws.

So I saw no evidence of hematoma or fracture, no new disk herniations, and no evidence of a recent traumatic injury.

Q Doctor, are you familiar with the term wedge fracture?

A Yes.

Q What is a wedge fracture?

A Typically the bones in our spine fracture differently than our extremity bones. Instead of snapping or breaking in half, they often collapse almost like an accordion, so they are called compression fractures. And if it compressed just straight on top of another it would be a straight compression fracture, but typically they might construct a fracture slightly more in the front or the back so that's often referred to as a wedge fracture or wedge compression fracture.

Q Did you see any evidence of any wedge or compression fracture in any of those films we just

1 Fisher - Direct - Defendant

2 discussed?

3 A None whatsoever. And MRI is very sensitive not  
4 only for showing fractures but even bone bruising or  
5 contusions. And the MRI did not show any evidence of bone  
6 marrow edema or bruise.

7 Q What if anything did you see in terms of bruising  
8 of the spinal canal?

9 A I did not see any evidence of acute trauma or  
10 recent bruising. On the MRI's both the one two years  
11 earlier and the repeat one done several weeks after the  
12 accident I did see that bright signal in the spinal cord  
13 which again I wouldn't describe as bruising. But it is a  
14 chronic change or alteration of the spinal cord itself from  
15 the long standing compression.

16 Q You used the term acute. What does that mean,  
17 doctor?

18 A Usually we will describe findings in medicine as  
19 either acute or chronic. Acute means recent. It usually  
20 means within a few days or even a few weeks. Chronic is  
21 usually several months to years.

22 There is a gray zone or a gray area when you are  
23 three weeks to two or three months, it is not really acute  
24 and it is not really chronic yet. So often we will call  
25 that subacute.

1 Fisher - Direct - Defendant

2 Q You also used the term traumatic. What does that  
3 mean?

4 A Traumatic refers to an injury, an acute injury.  
5 It is tough to describe a word that you would use to  
6 describe itself. But a fall, an automobile accident. If  
7 you are punched, those are all traumatic events. There are  
8 many. In dealing with my athletes, every time they a  
9 pitcher throws a pitch it is microtrauma if you will, it is  
10 a small injury and if it is repeated thousands of times, it  
11 can result in similar to a one more dramatic injury.

12 Q What was your opinion, doctor, regarding whether  
13 there was any traumatic injuries shown in any of the films  
14 that we have discussed so far?

15 A Based on all the films that I reviewed I did not  
16 see any evidence of a recent or acute traumatic injury.

17 Q Did there come a time also, doctor, that you  
18 reviewed an x-ray of the C spine dated January 6, 2010?

19 A Yes.

20 Q And can you tell us what if any findings regarding  
21 that scan, that x-ray?

22 A That x-ray was actually done it appeared to have  
23 been done in the operating room at the time of the second  
24 surgery. So it was performed portably on the table. And  
25 so it was a very limited study as a cross table view more

1 Fisher - Direct - Defendant

2 to guide the surgeon and to help them localize a level than  
3 for real diagnostic purposes.

4 Q Were you able to see any of the plates or the  
5 screws in that x-ray?

6 A You know I hadn't even really commented on it  
7 because it didn't really even show the lower levels. And I  
8 noted specifically that the bottom of C5 through C7 weren't  
9 even included on the film. It was really to localize or  
10 start to count the levels from the top down.

11 Q Did you review an x-ray dated January 7, 2010?

12 A Yes, I did.

13 Q And did you make any findings regarding your view  
14 of that x-ray?

15 A Yes.

16 Q What were those findings?

17 A On those repeat films the next day you can clearly  
18 see the spinal fusion plate and screws in place at the C5-6  
19 and C6-7 levels and I noted that you could still see  
20 degenerative changes or bone spurs present.

21 Q Based on your review of all of these films  
22 including CT, x-ray and MRI, doctor, do you have an opinion  
23 regarding whether there was any causal injury from the  
24 November 9, 2009 motor vehicle accident on Mr. Taurone's  
25 cervical spine?

1 Fisher - Direct - Defendant

2 MR. SIRIGNANO: Objection, your Honor. May  
3 we have a side bar on this?

4 THE COURT: Yeah, read it back.

5 (Requested portion was read by reporter.)

6 MS. TAYLOR: I will withdraw the question,  
7 your Honor.

8 Q Doctor, I'm going rephrase that question. Based  
9 on your review of all of the films, did you see any  
10 evidence in any of these films dating back from the October  
11 -- the first October scan to January, 2010, did you see any  
12 evidence of any traumatic injury?

13 MR. SIRIGNANO: Objection.

14 THE COURT: Overruled. I mean --

15 MR. SIRIGNANO: Your Honor, the grounds are  
16 I'm holding the witness' report and there is no  
17 such mention in the report. It is outside of what  
18 has been disclosed.

19 MS. TAYLOR: Your Honor --

20 THE COURT: We will have to go to side bar.

21 (A sidebar conference is held with Court and  
22 counsel on the record:

23 THE COURT: Well I have to get my glasses I  
24 guess. All right, we are at side bar with both  
25 attorneys present. And Ms. Taylor is showing me

1 Fisher - Direct - Defendant  
2 something from a 3101 D I assume.

3 MS. TAYLOR: Yes, your Honor.

4 THE COURT: Here it is.

5 MR. SIRIGNANO: Your Honor, my objection is  
6 this is the ultimate issue for the jury to decide,  
7 not for this expert witness to decide. It is a  
8 matter for the jury. I think it is up to the jury  
9 based upon his radiological findings.

10 THE COURT: The only problem that I know this  
11 but is as follows: It is my understanding which  
12 could be wrong that trauma can cause these  
13 osteophytes to spur the growth of these  
14 osteophytes. So that it can be one way or the  
15 other. It could be that the osteophytes, you  
16 know, in fact were causing the pain and indeed  
17 continued to grow or it could be and I think this  
18 is true like football players and so forth they  
19 get injured and their back develops degeneration.

20 MS. TAYLOR: I believe my doctor is going to  
21 say the osteophytes are not causally -- do not  
22 have a traumatic etiology, your Honor, and I can  
23 ask him that question.

24 MR. SIRIGNANO: The problem I have with this  
25 witness going the whole nine yards counsel wants



1 Fisher - Direct - Defendant

2 to take him is he's not a clinician. He's not a  
3 treating doctor. He's a radiologist. He's  
4 reviewing films. Now he's being asked to opine on  
5 the ultimate issue in this case. I think it is  
6 improper.

7 MS. TAYLOR: He can based on his experience,  
8 view of the films. This was disclosed in 3101 D.  
9 Counsel made no objection at the time, your Honor.  
10 It is certainly within the purview of his  
11 experience in seeing films.

12 THE COURT: It is in the 3101 D.

13 MR. SIRIGNANO: I don't dispute that, but my  
14 objection is that it doesn't make it admissible at  
15 trial. It is not otherwise admissible, it is not.

16 MS. TAYLOR: It does make it admissible. It  
17 is within his experience. He does this every day.  
18 He can certainly opine based on the films as to  
19 whether there was a trauma shown in the films or  
20 whether it was chronic.

21 THE COURT: From years of experience with  
22 back injury cases, I do note that trauma is  
23 usually diagnosed most properly with a clinician  
24 because they look at the tissues and they look at  
25 the whether there is any mild blood in the tissues

1 Fisher - Direct - Defendant

2 or they look at the tissues to see if there is a  
3 traumatic -- there is trauma.

4 But I'm not a doctor. So you know I'm sure  
5 that the proper thing to do is let her ask the  
6 question and you can cross-examine and use  
7 whatever expert you have to bring that out.

8 MS. TAYLOR: Thank you, your Honor.

9 MR. SIRIGNANO: Exception noted for the  
10 record.

11 THE COURT: Okay.

12 (Back in open court.)

13 MS. TAYLOR: Your Honor, can I have a read  
14 back of the question?

15 THE COURT: Yes, would you read back the last  
16 question.

17 (Requested portion was read by reporter.)

18 MR. SIRIGNANO: Objection noted.

19 THE COURT: Overruled.

20 A No, I did not. In fact when I first prepared this  
21 report back in January, 2011, 2 1/2 years ago, my very last  
22 line in my concluding line specifically states and I will  
23 read it --

24 THE COURT: I don't think we are going to do  
25 that.

1 Fisher - Direct - Defendant

2 THE WITNESS: But it states that --

3 MR. SIRIGNANO: Objection.

4 THE COURT: No, no.

5 THE WITNESS: I apologize.

6 THE COURT: Strike that.

7 MR. SIRIGNANO: I move to strike.

8 THE COURT: Strike that.

9 Q Now, doctor, you appeared in court today. Were  
10 you paid a fee to appear here today?

11 A I will be paid a fee, yes.

12 Q Okay?

13 A I hope.

14 Q All right I just wanted to ask also, doctor, have  
15 you ever testified in any court in the State of New York?

16 A Yes, I have.

17 Q Have you testified in this court before?

18 A Not this particular room, but this court house,  
19 yes.

20 Q And can you estimate for us the approximate number  
21 of cases you testified either for the plaintiff or the  
22 defendant?

23 A To date every time I have testified has been for  
24 the defendant, and I don't know the exact number, but  
25 typically once or twice a month. So perhaps 15 to 20 times

1 Fisher - Direct - Defendant

2 in the course of the year.

3 Q Your experience, doctor, have you ever testified  
4 for the plaintiff?

5 A Not to date, no. I have done reviews for the  
6 plaintiff, a number of reviews, but I have not testified.

7 MS. TAYLOR: I have nothing further. Thank  
8 you, Dr. Fisher.

9 THE WITNESS: You are welcome.

10 THE COURT: Thank you and now the counsel for  
11 the plaintiff will cross-examine, Mr. Sirignano.

12 MR. SIRIGNANO: I'm happy to start or is this  
13 a good time to take a break? I don't want to take  
14 a break in the middle of my cross.

15 THE COURT: Okay. I will take that  
16 suggestion and let's break for 15 minutes until  
17 11:10.

18 (Jury exits courtroom.)

19 (Recess taken.)

20 COURT OFFICER: Come to order.

21 THE COURT: All right, let's get the jury.  
22 And the witness can take the stand.

23 (Jury enters courtroom.)

24 THE COURT: All right, good morning again.  
25 Be seated. We have a witness on the stand who's

1 Fisher - Cross - Plaintiff  
2 testifying. Dr. Fisher, you are still under oath.  
3 Understood and agreed?

4 THE WITNESS: Yes, your Honor.

5 THE COURT: We will now I believe have  
6 cross-examination by Mr. Sirignano.

7 MR. SIRIGNANO: Thank you, your Honor.

8 CROSS-EXAMINATION

9 BY MR. SIRIGNANO:

10 Q Dr. Fisher, are you currently at Prohealth Care  
11 Associates in Lake Success?

12 A No, sir.

13 Q Well the CV provided to me gives that as your  
14 employment from 1998 to the present. When did you leave  
15 there?

16 A I have a more current CV you may not have. I  
17 always bring one with me. So I don't know if you would  
18 like to see that.

19 Q All right.

20 A I'm not sure which copy you have.

21 (Handed.)

22 Q Well what is your -- I'm not clear what is your  
23 present employment?

24 A Metropolitan Diagnostic, and I have my own  
25 consulting company. So I do a number of reviews for other

1 Fisher - Cross - Plaintiff

2 radiology groups and orthopedists.

3 Q Okay. So in your own -- what is the name of your  
4 own consulting?

5 A It is my own name. It is David A. Fisher, MD,  
6 PLLC.

7 Q It is in that entity or that business that you  
8 provide today's testimony and between 15 to 20 such in  
9 court testimonies per year?

10 A Yes, sir.

11 Q How much are you being paid for today's testimony?

12 A \$6,000 dollars.

13 Q And how much were you paid to prepare your report  
14 dated or served on me April 7th, 2011?

15 A I don't recall the exact amount, but typically I  
16 am paid in the neighborhood of \$100 dollars per study. So  
17 it would depend on how many studies I reviewed. If there  
18 were six different examinations or studies, it would be  
19 \$600. I'm not sure exactly how many there are here, but  
20 that's my compensation for my reviews and reports aside  
21 from testimony.

22 Q So \$7,000 for today and \$100 per film?

23 A Yes.

24 Q In addition to in court testimony do you do these  
25 -- do you give deposition testimony?

1 Fisher - Cross - Plaintiff

2 A Very rarely, but I have, yes.

3 Q How much do you charge for your deposition?

4 A Oh, I haven't done one in probably six years. And  
5 I believe it was \$4,000 or \$4500.

6 Q How is it that you have only testified on behalf  
7 of defendants and never once for a plaintiff?

8 A I have not been asked to testify.

9 Q Okay. So defense attorneys contact you and they  
10 retain your services, correct?

11 A Yes.

12 Q In this particular case you were retained by whom?

13 A The law office of Mary Bjork.

14 Q How is it that you came into possession of the  
15 films that you reviewed in this case?

16 A Again I don't have an independent recollection  
17 from 2 1/2 years ago, but typically the films are delivered  
18 to my office.

19 Q Okay.

20 A And then they are returned with the report within  
21 24 hours. So I do not maintain any records in my office.

22 Q But they were delivered, the films that you  
23 reviewed were delivered by defense counsel, correct?

24 A Correct.

25 Q In addition to the films were you given any of Mr.

1 Fisher - Cross - Plaintiff

2 Taurone's treatment records in this case?

3 A No, sir, just the films.

4 Q So you didn't review any of his hospital records,  
5 any of his doctor, medical records whatsoever?

6 A No, I did not.

7 Q So you didn't even review the original readings of  
8 the very films that you testified to this jury about? You  
9 didn't read the original readings in the medical records?

10 A Not that I recall, no.

11 Q Doctor, isn't it important if you are going to  
12 give expert testimony to a jury that you have made yourself  
13 fully familiar with all of the relevant records?

14 A Well I'm giving testimony as to my opinions. So  
15 it is irrelevant what someone else looked at and what their  
16 opinion was.

17 Q Even the other board certified like yourself  
18 radiologists who read the very same films you read as part  
19 of Mr. Taurone's treatment plan?

20 A I don't know which radiologist you are referring  
21 to. It is irrelevant again to me what their opinion is.  
22 The jury might like to have them come in here and explain  
23 their opinion, but I'm here just to speak for myself.

24 Q I appreciate you speaking for the jury. Let's go  
25 to the 11, 10, 09 CT scan that was read by a Dr. Elizabeth



1 Fisher - Cross - Plaintiff

2 Dubovsky; do you know Dr. Dubovsky?

3 A No, sir.

4 Q Do you know anything about Dr. Dubovsky?

5 A No, sir.

6 Q Do you know whether or not she is board certified?

7 A I do not know.

8 Q Do you know whether she is a good radiologist?

9 MS. TAYLOR: Objection, your Honor.

10 THE COURT: Overruled.

11 A I do not know anything about her.

12 Q You have no interest whatsoever what Dr. Dubovsky  
13 wrote when she read the same film that you have now  
14 testified to the Court to?

15 MS. TAYLOR: Objection, asked and answered.

16 THE COURT: Overruled.

17 A No, sir.

18 Q Did you pick up the phone and call Dr. Dubovsky to  
19 compare notes?

20 A No.

21 Q Did you reach out to her to see why she may have  
22 seen something that you didn't see or vice versa on the  
23 same film?

24 A No. Again I wasn't reviewing this as a treating  
25 physician. I was reviewing this at the request of the

1 Fisher - Cross - Plaintiff  
2 defense counsel.

3 Q All right. And in that regard you have never met  
4 Mr. Taurone before?

5 A Correct.

6 Q You have never seen Mr. Taurone before?

7 A Correct.

8 Q So when you were looking at films of Mr. Taurone's  
9 body, you weren't even aware of his physical size, were  
10 you?

11 A Only what might be printed on the films.  
12 Sometimes with an MRI they might list a height or a weight,  
13 but otherwise, no.

14 Q Were you aware of his age?

15 A Yes.

16 Q But you had no knowledge of his medical history?

17 A No, but again the age was printed on the films.

18 Q And you told the jury this morning on direct that  
19 you weren't aware of the date of the first surgery when you  
20 were reviewing these films, is that correct?

21 A I knew it was in the interval between the two  
22 studies, but I did not know the exact date, correct.

23 Q When these films were delivered to your office by  
24 defense counsel, what were you asked to do?

25 A To review and interpret the films.

1 Fisher - Cross - Plaintiff

2 Q Well, being only a defense expert and never having  
3 testified on behalf of plaintiff, am I correct that it was  
4 your understanding that it was your job to find normal  
5 studies in this case?

6 MS. TAYLOR: Objection, your Honor.

7 THE COURT: Read it back.

8 (Requested portion was read by reporter.)

9 THE COURT: To find normal studies?

10 Overruled. You can answer that.

11 A If that was my goal, I would have failed miserably  
12 because I did not find a single normal study in this case.

13 Q Was it your understanding that you were to conduct  
14 a truly independent review of these films?

15 A That is my goal, yes.

16 Q Even though you are paid by the defense firm and  
17 only by defense firms?

18 A I'm sworn here to tell the truth and that's my  
19 interpretation and how I view the films, yes.

20 Q Am I correct, doctor, that a radiologists job is  
21 to interpret films, is that right?

22 A Yes.

23 Q And one radiologist may interpret the same film  
24 differently than another radiologist; is that uncommon?

25 A No, that's possible, yes.

1 Fisher - Cross - Plaintiff

2 Q It is not only possible, it is quite common, isn't  
3 it?

4 A I don't know how you would quantify common, but it  
5 is not uncommon.

6 Q It is not uncommon for a surgeon, particularly a  
7 neurosurgeon to read the films him or herself?

8 A I don't know that.

9 Q You have never worked with neurosurgeons on an  
10 actual patient's case?

11 A I read number of studies for neurosurgeons and  
12 they ask me to read them for them.

13 Q And is it uncommon for a neurosurgeon in addition  
14 to reading your report to look at the films him or herself?

15 A Again, you would have to ask the neurosurgeons  
16 that.

17 Q Would you be surprised if a neurosurgeon read a  
18 film differently than you?

19 A No, that would not surprise me.

20 Q And isn't it true that in reading films and  
21 ultimately making a diagnosis for by the perspective of a  
22 treating doctor or neurosurgeon that the films are  
23 important but also clinical observations and findings are  
24 important?

25 MS. TAYLOR: Objection, your Honor. Witness

1 Fisher - Cross - Plaintiff

2 is not competent to testify as to what a  
3 neurosurgeon commonly does.

4 THE COURT: Overruled. Read it back, please.  
5 (Requested portion was read by reporter.)

6 THE COURT: You can answer.

7 A Yes, I would agree with that.

8 Q Doctor, you have talked about CT scans, MRIs,  
9 x-rays and the benefits of these films of these diagnostic  
10 techniques for various different purposes. Am I correct  
11 that all of these films for different reasons are helpful  
12 for the treating physician, in this case the neurosurgeon,  
13 to be able to get a look inside Mr. Taurone's body without  
14 having to surgically open him up?

15 A Yes.

16 Q So they are non-invasive in the sense that they  
17 provide information in various degrees of relevance to a  
18 treating doctor concerning what's going on inside the  
19 patient's body?

20 A Yes.

21 Q Okay. Would you agree with me that as valuable as  
22 these studies can be that there is no better evidence of  
23 what's going on in a patient's body than what a surgeon  
24 observes with his trained eyes and his skilled hands when  
25 he actually opens the patient up and does surgery?

1 Fisher - Cross - Plaintiff

2 A No, I would disagree with that.

3 Q Do you think the surgeon gets a better view of  
4 whether a plate has been backed off and a screw or screws  
5 loose when he's actually got his fingers inside the  
6 patient's neck or the films that you have discussed?

7 A In this case if he were to say that they had  
8 backed off, I would disagree with that.

9 Q Is it your position -- are you telling this jury  
10 that Dr. Thomas Lee, a neurosurgeon who did surgery to  
11 remove a plate that wasn't backed off?

12 MS. TAYLOR: Objection, your Honor.

13 THE COURT: Read it back.

14 (Requested portion was read by reporter.)

15 THE COURT: Overruled. If you can answer it.

16 A Again, I have no knowledge of what surgery he did,  
17 what he will say he did. But based on my review of the  
18 films, I'm confident that the plate and screws have not  
19 backed off in any way.

20 Q Doctor, Dr. Lee -- and it is in evidence as  
21 Plaintiff's 6 in evidence. I will hand it to you if you  
22 would like.

23 MS. TAYLOR: Objection, your Honor.

24 THE COURT: Objection to Plaintiff's 6 in  
25 evidence?

1 Fisher - Cross - Plaintiff

2 MS. TAYLOR: The doctor is not competent to  
3 testify as to anything that Dr. Lee did and Dr.  
4 Lee has not testified to this Court.

5 THE COURT: All right. Let's strike that.  
6 Strike it. Come over here.

7 (A sidebar conference is held with Court and  
8 counsel on the record:)

9 THE COURT: All right your objection, please.

10 MS. TAYLOR: Yes, your Honor. First of all  
11 it is beyond the scope of the direct. I never  
12 elicited any testimony from Dr. Fisher about what  
13 Dr. Lee did or didn't do. Furthermore, Dr. Lee  
14 hasn't even testified. There is no evidence as to  
15 what his findings were.

16 Third of all, Dr. Fisher is not competent to  
17 talk about what a treating physician did or didn't  
18 do and his procedures. He's strictly a  
19 radiologist that reviews films. He made that very  
20 clear on direct.

21 MR. SIRIGNANO: First of all I'm not limited  
22 to her direct. This is cross-examination.

23 THE COURT: I thought you were.

24 MR. SIRIGNANO: To her direct? Why am I  
25 limited to her direct? I can cross-examine on any

1 Fisher - Cross - Plaintiff

2 subject whatsoever.

3 MS. TAYLOR: That's not what the Court's  
4 ruling was when I went beyond the scope of direct.

5 THE COURT: That's correct.

6 MR. SIRIGNANO: No, that was redirect.  
7 Secondly, this is a document that's already in  
8 evidence. I have a right to hand this document to  
9 the witness.

10 THE COURT: I don't think you have a right to  
11 go beyond the scope of the direct if the objection  
12 is made and it is correct. Do you? I don't think  
13 so.

14 MS. TAYLOR: No.

15 MR. SIRIGNANO: The objection is that I'm  
16 going beyond and I'm not limited to her direct  
17 testimony.

18 MS. TAYLOR: Of course, you are. That's  
19 basic rule of practice.

20 MR. SIRIGNANO: Your Honor, I have free hand  
21 to attack this witness on credibility.

22 THE COURT: On credibility.

23 MR. SIRIGNANO: On what knowledge he has,  
24 what preparation he's done, what documents he's  
25 reviewed. And if she hasn't given him the medical



1 Fisher - Cross - Plaintiff

2 records, that's not my problem. It is her  
3 problem.

4 MS. TAYLOR: I don't have to. I don't have  
5 the burden of proof, counsel. And this witness is  
6 only competent as to testify to the films he  
7 reviewed. You had an opportunity to ask him what  
8 films he reviewed.

9 THE COURT: Look, you cross-examined on the  
10 medical records him not reviewing it, all right.  
11 And that is a proper cross-examination as to his  
12 preparation for testifying today. What was your  
13 question here? Let's see if it fits in with that  
14 same type of question.

15 MR. SIRIGNANO: I didn't even ask a question  
16 yet; that's why it is bizarre.

17 MS. TAYLOR: Come on, Michael. Let's not do  
18 that, okay.

19 MR. SIRIGNANO: Not do what? I haven't asked  
20 a question.

21 THE COURT: It is very difficult for me to  
22 preside if you are going to both argue about this.  
23 I don't think he did ask a question. He wanted to  
24 show the doctor a medical record which was in  
25 evidence. What is your question?

1 Fisher - Cross - Plaintiff

2 MR. SIRIGNANO: I show him the surgical  
3 report that's in evidence and ask him about Dr.  
4 Lee's findings.

5 MS. TAYLOR: How is that impeaching to make  
6 him testify about Dr. Lees findings?

7 MR. SIRIGNANO: That doesn't mean -- I agree  
8 it is more competent, but --

9 THE COURT: You are both talking at one time.  
10 I think the question is, doctor -- something to  
11 the effect assuming that Dr. Lee, prepared records  
12 saying whatever they wrote, what is your reaction  
13 to that because there are records. You have  
14 cross-examined I think appropriately on the  
15 records in preparation.

16 MR. SIRIGNANO: Right.

17 THE COURT: All right and you can ask him  
18 that, but not -- she is right, I mean it is  
19 limited, okay.

20 MR. SIRIGNANO: Okay.

21 MS. TAYLOR: Thank you.

22 (Back in open court.)

23 THE COURT: Objection overruled.

24 Q Doctor, on Dr. Lee's surgical report on January 6,  
25 2010 he writes because of recurrent symptoms as well as

Fisher - Cross - Plaintiff

failure of conservative treatment he has now, that is Vinnie, consented for re-operation to redo the decompression and fusion. On his imaging studies he was found to have slight back out of the plate at the C-6 and C-7 levels with partial collapse C-6 vertebrae.

Have you read that report before today?

A No, sir.

Q Were you aware that the actual neurosurgeon who twice performed surgery on Vinnie made that reading and findings concerning the diagnostic imaging studies?

A No.

Q You disagree with his opinion?

A Absolutely.

Q Did you see on any of the studies a partially collapsed C-6 vertebra?

A None whatsoever.

Q Now the studies that you did look at, doctor, after the October 12 surgery and before the January 6 surgery, would you tell the jury whether you found the first surgery performed by Dr. Lee to have placed the plate in good position?

A I don't know how you would define good, but it appears to be in satisfactory position.

Q What do you mean by satisfactory?

1 Fisher - Cross - Plaintiff

2 A The plate is flush against the front of the bones.  
3 It spans the correct levels that were identified and it is  
4 held in place by screws at three successive levels and each  
5 one of the screws is fully engaged.

6 It is not backed out in any way and it doesn't  
7 extend through the cortex or through the margin of the bone  
8 in any way.

9 Q Is that what you would expect to see after the  
10 kind of cervical surgery performed on October the 12, 2009?

11 A In regard to the placement of the plate and screws  
12 alone, yes.

13 Q So as far as your opinion as a radiologist, it  
14 appears that Dr. Lee properly affixed the plate and the  
15 screws?

16 A That portion of the surgery, yes.

17 Q On any of the diagnostic studies again for that  
18 same period between the first and the second surgeries did  
19 you observe the placement of any prosthetic devices where  
20 the disks once were?

21 A Yes.

22 Q The two relevant levels?

23 A Yes.

24 Q Did those prosthetic devices appear to be properly  
25 placed by Dr. Lee?

1 Fisher - Cross - Plaintiff

2 A Yes.

3 Q And the spacing between the vertebrae at those  
4 levels after Dr. Lee did this first surgery appears to be  
5 correct as well?

6 A Well the spacing is compromised by the extensive  
7 degeneration that pre-dated the surgery.

8 Q But do you have any criticism of Dr. Lee's first  
9 surgery?

10 A I see extensive osteophytes that are present after  
11 the surgery, and that's why I mentioned or separated the  
12 placement of the plate and screws and the prosthetic  
13 devices as one part of the surgery.

14 But prior to placing them an excision or resection  
15 is typically performed where bone spurs are resected to  
16 open the space. And it does not appear that there was  
17 adequate resection of the bone spurs.

18 THE COURT: Excuse me resection means they  
19 are?

20 THE WITNESS: Removed actually with a scalpel  
21 or a shaving device.

22 Q You haven't seen Dr. Lee's operative report?

23 A No, sir, just films.

24 Q So you don't know whether he removed any spurs?

25 A I just know what I see on the films.

1 Fisher - Cross - Plaintiff

2 Q Now let's go to the November 10, 2009 CT scan. In  
3 your report, doctor, without having reviewed Elizabeth  
4 Dubovsky, Dr. Elizabeth Dubovsky's reading of that film,  
5 you have stated there is no evidence of hardware or bone  
6 graft displacement, acute fracture or malalignment. Is  
7 that what you stated?

8 A Yes.

9 Q Isn't it true that Dr. Dubovsky makes no such  
10 findings in her report?

11 A I have no way of knowing because I did not review  
12 her report.

13 Q With respect to the 11, 18, 09 CT scan, that one  
14 was read by a Dr. Fred Vanatta. Do you know Dr. Fred  
15 Vanatta?

16 A No.

17 Q Do you know if he's a board certified radiologist?

18 A No.

19 Q Do you know if he's a good radiologist?

20 A No.

21 Q Do you know if he's a reliable radiologist?

22 A No.

23 Q Did you pick up the phone to talk to Dr. Vanatta?

24 A No, I did not.

25 Q Without seeing his report or consulting with him,

Fisher - Cross - Plaintiff

you write in your report again there is no evidence of hardware or bone graft displacement, acute fracture or malalignment. Am I correct that Dr. Vanatta who read the film initially made no such finding?

A I have no way of knowing what finding he made.

Q Well, doctor, am I correct that Dr. Lee and later Dr. Yassari reviewed and relied in part upon the original readings in Mr. Taurone's medical chart?

MS. TAYLOR: Objection.

THE COURT: Sustained.

Q With respect to the last film taken before Dr. Lee had to remove all of the hardware on January 6 that he had put in less than ninety days earlier, the last study we have is a chest x-ray on January the 6, correct?

A Actually there was an MRI of the cervical spine on December 15.

Q All right. I'm talking about the last?

A The last was actually in the operating room before the surgery on January 6 of the cervical spine.

THE COURT: This is 2010? Please give us the dates.

Q January 6, 2010?

A January 6, 2010. The last that I reviewed prior to the surgery was actually in the operating room at the

1 Fisher - Cross - Plaintiff

2 time of surgery.

3 Q That was an extremely limited study and really not  
4 particularly helpful for the issues in this case?

5 A That's correct.

6 Q Doctor, you have not reviewed any of the films of  
7 Mr. Taurone after January -- after the January 6 surgery --

8 MR. SIRIGNANO: Withdrawn. Let me rephrase  
9 that.

10 Q You have not read any films after January 7, 2010,  
11 correct?

12 A Correct.

13 Q So you have no knowledge about Mr. Taurone's  
14 condition of his cervical spine in 2011, correct?

15 A No.

16 Q Or August of 2012 when Dr. Yassari did a third  
17 surgery on his cervical spine? You have no knowledge of  
18 that?

19 A No, I do not.

20 Q You haven't seen any studies either before or  
21 after Dr. Yassari's surgery?

22 A No.

23 Q Doctor, am I correct to assume that between  
24 yesterday afternoon when Dr. Yassari testified and this  
25 morning before you took the stand, defense counsel advised



1 Fisher - Cross - Plaintiff

2 you about Dr. Yassari's testimony insofar as he testified  
3 about his reading of that November 18, 2009 CT scan?

4 A No, sir.

5 Q You were unaware that Dr. Yassari yesterday not  
6 only told this jury but showed this jury where he saw the  
7 plate backed out and a screw loose?

8 A I was not here so I don't know what he told them  
9 and what he showed them.

10 Q Defense counsel didn't tell you that between  
11 yesterday and this morning?

12 A No, sir.

13 Q It is the first you are hearing about it?

14 A I was asked questions to comment on it, but I was  
15 not told what any other doctors or experts had spoken to.

16 Q What do you mean you were asked questions by whom?

17 A By the defense attorney when I was on the direct  
18 examination. I was asked specifically to comment on the  
19 plate and the positioning of the plate.

20 Q No. I'm asking you about Dr. Yassari's testimony  
21 yesterday when he showed this jury the same film that you  
22 showed this jury and he pointed to where the plate had been  
23 backed off?

24 MS. TAYLOR: Objection, your Honor.

25 Q Are you aware of that?

1 Fisher - Cross - Plaintiff

2 THE COURT: You can ask were you aware of  
3 that?

4 A Again I wasn't here. I don't know what he pointed  
5 to. So no, I was not aware.

6 Q Doctor, am I correct that the CT scan of November  
7 the 18, 2009 was not just one or ten or 15 images that you  
8 showed the jury, but it was a total of 209 images taken?

9 A No, I showed a number of them. But yes -- I don't  
10 know the exact number, but there were a number of images,  
11 but not different images. For instance, I believe there  
12 were 71 images that were filmed in a bone technique and a  
13 soft tissue technique. So they were repeated. So it  
14 wasn't 142 different images, they were the identical images  
15 that were just shown in two different windows or levels.

16 Q Well why is that done that way, sir?

17 A By darkening or lightening the films, it will show  
18 the conspicuousness of certain structures.

19 Q So all of the 209 images are important for a  
20 radiologist and ultimately a surgeon to know about?

21 A I can't speak for a surgeon, but I can tell you as  
22 a radiologist I reviewed every single image that was  
23 provided to me.

24 Q You focused on certain of the 209 images, is that  
25 correct?

1 Fisher - Cross - Plaintiff

2 A For time constraints and for demonstration  
3 purposes, I picked selected images that I thought best  
4 showed the details that I wanted to articulate.

5 Q And can you say sitting here today that you  
6 reviewed the very same images that Dr. Lee viewed out of  
7 those 209 when he showed this jury the plate backed off and  
8 a loose screw?

9 MS. TAYLOR: Objection, your Honor.

10 THE COURT: Overruled.

11 A Again, I can't tell you what he pointed to, but I  
12 can tell you that I viewed every single image. So if it  
13 was from the same study, I viewed all the images that he  
14 pointed to.

15 Q Now the MRI that you reviewed of 12, 15, 09, you  
16 again in your report unequivocally state there is no  
17 evidence of fracture, bone marrow edema or disk herniation,  
18 is that correct?

19 A Yes.

20 Q The actual reading by the radiologist of that MRI  
21 as part of the treatment and care of Mr. Taurone makes no  
22 such claim. Are you aware of that?

23 A Does he state that there is a fracture or bone  
24 marrow edema or disk herniation?

25 Q Doctor, here is the thing, and it may not be fair,

1 Fisher - Cross - Plaintiff

2 but I get to ask the questions and you get to give the  
3 answers.

4 MS. TAYLOR: Objection. The witness has not  
5 been shown the document. How can he testify?

6 THE COURT: We are not going to argue here.  
7 Let's just ask a question and you can answer.

8 MR. SIRIGNANO: Can we read back my question?

9 THE COURT: Yes.

10 (Requested portion was read by reporter.)

11 A No, I would be happy to review the document. I  
12 have not seen it.

13 Q Are you aware that Dr. Lee on December 22, 2009  
14 found that the MRI that we are talking about demonstrates  
15 probable recurrent disk herniation at C-5 C-6 and C-6, C-7?  
16 Given the radiographic and clinical findings I feel, that  
17 is Dr. Lee feels, he's a candidate to undergo redo  
18 decompression and fusion the C5-6 and the C6-7 levels.

19 Are you aware of Dr. Lee's findings in that  
20 regard?

21 A No, and it makes no sense at all.

22 Q You are questioning the neurosurgeon who twice  
23 performed surgery on Mr. Taurone?

24 A Absolutely.

25 Q Okay. Doctor, I believe I wrote your words down

1 Fisher - Cross - Plaintiff

2 exactly as I heard them when you were describing the 11,  
3 10, 09 CT scan. You said, quote, "no bend or crack in the  
4 plate". Did I get that right?

5 A Yes.

6 Q Well are you aware that we are making any  
7 allegation about the plate having been bent or cracked?

8 A No. I don't read this in regard to a specific  
9 case or testimony. When I'm asked to comment on someone  
10 whose had any surgery, I usually tell the orthopedist or  
11 surgeon the integrity of the material that's been placed.  
12 So that's something I would normally comment on anybody who  
13 has a plate or screws in their body. I let them know that  
14 they haven't broken.

15 Q All right. So when you are not wearing your  
16 consulting hat making seven grand 15 to 20 times a month by  
17 coming to court like today --

18 A A year.

19 Q Excuse me, but you are wearing your radiologist's  
20 hat?

21 A And it is 6,000 if you want to be accurate.

22 THE COURT: You know, let's not interrupt  
23 because the reporter has to get down every word  
24 and it becomes very difficult.

25 Q And you are wearing your radiologist hat where a

1 Fisher - Cross - Plaintiff

2 treating doctor is asking for your reading of a film, does  
3 the treating doctor give you some instructions about why it  
4 is he's ordering the film and what he's trying to find out  
5 or rule out?

6 Isn't that typically what a treating doctor does?

7 A Sometimes we are given specific questions, but I  
8 read every film the same way and I look at all of the  
9 anatomy on the films the same way.

10 Q Well isn't it helpful as the radiologist who's  
11 about to read a film to know what it is the treating doctor  
12 is treating for or trying to rule out?

13 A If you are thorough. I answer every possible  
14 question.

15 Q Doctor, my question is pretty simple. Isn't it  
16 common that the treating doctor will request a particular  
17 study and tell you why?

18 A If you are talking about what's common, a treating  
19 doctor will say the patient is having pain, neck pain in a  
20 case like this. Nothing more. And so we have to be  
21 thorough and explain every possible cause or explanation  
22 for the patient's symptoms.

23 Q So it isn't helpful to you as a radiologist when  
24 you are acting in that mode to know what it is the treating  
25 doctor is trying to diagnose, true?

1 Fisher - Cross - Plaintiff

2 A Again I answered the question the best I could. I  
3 read them all regardless of what information I'm provided.

4 Q Getting back to that 11, 10, 09 CT scan, I think I  
5 got your words right. You will tell me if I didn't. You  
6 said no fracture in the vertebral bodies. I'm not asking  
7 what you wrote in your report, doctor, I'm asking what you  
8 told the jury?

9 A I don't have an independent recollection, but I  
10 did say that there was no fracture, yes.

11 Q Were you told by defense counsel that we are  
12 alleging a fracture?

13 A No. Again I'm being thorough and I'm identifying  
14 all of the structures on the study that I have reviewed.

15 Q You also said that that CT scan of November the 10  
16 showed no swelling. Well isn't it true that the CT scan is  
17 the least effective study for swelling?

18 A I'm not sure where you are referring to in my  
19 report. Are you referring to --

20 Q No. You told this jury your reading of the 11,  
21 10, 09 CT scan showed no swelling as a direct response to  
22 defense counsel's question about swelling?

23 A Yes, it is less sensitive than MRI, but you can  
24 still identify if there is significant swelling within the  
25 muscles or surrounding soft tissues.

1 Fisher - Cross - Plaintiff

2 Q So now you are qualifying it from no swelling to  
3 no significant swelling?

4 MS. TAYLOR: Objection, your Honor.

5 Argumentative.

6 THE COURT: Overruled.

7 A I see no swelling whatsoever, but I would qualify  
8 it and say that CT is less sensitive for identifying it  
9 than other modalities such as MRI.

10 Q I believe you told this jury on direct that the  
11 plate as you see it in the studies was adequately or  
12 satisfactorily placed?

13 A Yes.

14 Q What does that mean?

15 A Again we went through this entire question, but it  
16 appears to be flush with the front of the vertebral body.  
17 The screws have not backed out in any way and they are all  
18 satisfactorily positioned within the vertebral bodies at  
19 each of the three levels. So all six screws.

20 Q Doctor, you were asked about the difference  
21 between traumatic injuries and chronic injuries and you  
22 said there is a gray area. A gray area between the two,  
23 correct?

24 A Yes.

25 Q That gray area is because a traumatic injury is



Fisher - Cross - Plaintiff

really fact based, correct?

A I'm sorry?

Q A traumatic injury is based on particular facts of the trauma whether it is a minor fender bender or whether it is a violent rear end collision that propels a car 38 feet?

A If you are talking specifically about a car accident as the mechanism, yes.

Q Well that's what we are talking about in this case.

A Well I didn't know if you were asking a general question or specific.

Q Were you even aware this was a car accident case, doctor?

A Yes.

Q And who told you that?

A The defense attorney.

Q And what else did the defense attorney tell you?

MS. TAYLOR: Objection, your Honor.

THE COURT: Sustained.

Q Were you given any of the pleadings in this case?

A No, sir.

Q You didn't see my bill of particulars where I lay out the whole array of injuries that I'm alleging and

1 Fisher - Cross - Plaintiff

2 proving in this case?

3 A No, again I answered, no.

4 Q Did you read the complaint in this case?

5 A Just the films, no records whatsoever.

6 Q And what defense counsel told you?

7 MS. TAYLOR: Objection, your Honor.

8 THE COURT: Sustained.

9 Q Doctor the pre November 9, 2009 studies that you  
10 reviewed, that's the date of this motor vehicle rear end  
11 accident. Of all those pre accident studies would you  
12 agree with me that the condition of Vinnie's spine was  
13 particularly susceptible to either reinjury or  
14 exacerbation?

15 A It was a compromised spine, yes.

16 Q And when you say compromised, meaning it wasn't as  
17 strong as a healthy person's spine?

18 A Yes.

19 Q And because it was compromised, it was more  
20 susceptible to an injury or exacerbation?

21 A Possibly.

22 Q Aggravation?

23 A Possibly, yes.

24 Q You understand the term exacerbation, correct?

25 A Yes.

1 Fisher - Cross - Plaintiff

2 Q Tell the jury what you understand it to mean?

3 A It is a worsening of a pre-existing condition or  
4 an aggravation of one.

5 Q So the same impact that might have produced only a  
6 minor injury on a healthy spine could produce a much more  
7 severe injury or reinjury or exacerbation on a compromised  
8 spine; fair enough?

9 A Hypothetically, yes.

10 Q And hypothetically given Mr. Taurone's age, you  
11 said you were given his age, right, you knew you that, and  
12 you had a variety of pre-accident studies; can you quantify  
13 how compromised his spine was at the moment when he got  
14 rear ended and propelled 38 feet?

15 MS. TAYLOR: Objection, your Honor.

16 THE COURT: Read it back.

17 (Requested portion was read by reporter.)

18 THE COURT: Overruled.

19 A Based on the films and the information I got, he  
20 had a severely compromised spine and he had a symptomatic  
21 spine even before the accident because he went for x-rays  
22 just two weeks before.

23 Q Doctor, how much on a percentage basis of your  
24 annual income is attributable to your work for the defense  
25 bar?

1 Fisher - Cross - Plaintiff

2 A I don't have an exact percentage, but more than  
3 half.

4 Q And in terms of your hours, days of the week, how  
5 much on a percentage basis do you devote to your work for  
6 the defense bar?

7 A Again I still do not have an exact percent, but  
8 currently I'm doing more and more of this and less of the  
9 clinical, so more than half.

10 Q Doctor, through your practice have you or through  
11 this defense bar work that you do more than half the time,  
12 have you seen more than once trauma cause injuries  
13 following a motor vehicle accident to the cervical spine?

14 A Yes.

15 Q How many times have you seen such injury to the  
16 cervical spine arising out of a car accident?

17 A Numerous times, countless times.

18 Q Countless, right? And are you --

19 MR. SIRIGNANO: Withdrawn.

20 Q Does the nature of the accident whether it is rear  
21 ending or a T bone sideswipe or other type of collision  
22 make any difference in your diagnostic review of a case?

23 A Me personally, no. I review the films  
24 irrespective of that, but it would have an impact on the  
25 susceptibility to injury.

1 Fisher - Cross - Plaintiff

2 Q In what respect is a rear end accident  
3 particularly dangerous to cervical spine, particularly at  
4 the lowest levels?

5 A There are a number of factors. It would depend on  
6 the velocity of the impact and how well restrained the  
7 patient is. Are they harnessed. Is there a head support  
8 or rest. So there are a number of factors again I'm not  
9 privy to. I just know what I see on the films.

10 Q And the levels the C5-6, 6-7 levels that were  
11 injured in this accident, they are at the lower end of the  
12 cervical spine, correct?

13 A Yes.

14 Q And they are carrying the full weight of the rest  
15 of the cervical spine, the head, correct?

16 A The weight of everything above them they are  
17 carrying.

18 Q And are they particularly in terms of flexion and  
19 extension, are they the most affected pivot point of the  
20 neck in a rear end collision?

21 A Well irrespective of the collision, it is the  
22 level of our neck that carries the most vector forces or  
23 weight. And from the MRI two years earlier it was the area  
24 that was most diseased.

25 Q Following the October 12, 2009 surgery, you

1 Fisher - Cross - Plaintiff

2 reviewed the November 10, 09 CT scan which is just about a  
3 month after the first surgery, correct?

4 A Yes.

5 Q And does that CT scan tell you whether there was  
6 any bony fusion process as of that time?

7 A Just that bone grafts had been placed.

8 Q But you didn't see any fusion yet?

9 A No.

10 Q Would you expect to see fusion only 28 days after  
11 a surgery?

12 A You might never see fusion. We have a plate and  
13 screws that are holding it in place. So often you never  
14 see bony fusion.

15 Q But that wasn't my question, doctor. I appreciate  
16 the unresponsive answer, but did you see bony fusion?

17 A No, I already answered that and then you asked a  
18 repeat question.

19 Q Were you surprised not to see bony fusion at such  
20 an early post surgical period?

21 A No. And again my answer is not only wasn't I  
22 surprised, but I frequently never see it.

23 Q And in the absence of bony fusion which you  
24 confirm wasn't there at the time of the accident, what was  
25 holding Vinnie's C-5, C-6, C6-7 vertebrae together?

1 Fisher - Cross - Plaintiff

2 A Again there was a plate and screws holding it in  
3 place and there are bone grafts that are holding it in  
4 place as spacers. There are surrounding ligaments and  
5 muscles. So there are a number of supporting structures  
6 holding it in place.

7 Q Have you viewed films in other cases either for  
8 the defense bar or for your private practice where six  
9 months out, a year out from this same type of surgery you  
10 see the bony fusion having occurred?

11 A Occasionally I see bone fusion and more often than  
12 not we do not see bony fusion.

13 Q When you see bony fusion six months, a year, two  
14 years out, do you have an opinion whether the cervical  
15 spine is stronger and sturdier, more stable because of the  
16 bony fusion?

17 A No, you can argue that it might be less stable  
18 because it restricts the motion, the flexion and extension.

19 Q Well if the patient has been advised that one of  
20 the down sides of this surgery is that he's going to lose  
21 some motion, flexion extension motion and he accepts that  
22 as a trade off to get rid of pain and other problems, would  
23 that change your thinking on that answer?

24 A Not at all, no.

25 Q Because you don't get involved in patient care,

1 Fisher - Cross - Plaintiff

2 correct?

3 MS. TAYLOR: Objection, your Honor.

4 Q Doctor, do you have patients that --

5 A After --

6 Q Let me finish the question. Do you have patients  
7 that come to you and ask you to perform radio graphic  
8 study?

9 A Yes.

10 Q They are not sent there by a treating physician?

11 A Oh, I have a number of patients that will tell  
12 their physicians they would like to come to me.

13 Q And when they come to you and -- have you had that  
14 instance where it is a motor vehicle accident with the  
15 cervical injury similar to Mr. Taurone's?

16 A Just this week.

17 Q And how was that a surgical case with fusion?

18 A It has not been surgical yet.

19 Q Then we are not going to waste time on it. By the  
20 way have you spoken with Dr. Thomas Lee before taking the  
21 stand today?

22 A No, sir.

23 Q Have you spoken with Dr. Reza Yassari before  
24 taking the stand today?

25 A No.



1 Fisher - Redirect - Defendant

2 Q Last question. On the 11, 18, 09 CT scan, did you  
3 see any bony fusion?

4 A No.

5 Q On the December MRI, December 15 of 09 MRI, did  
6 you see any bony fusion?

7 A No.

8 MR. SIRIGNANO: I have nothing further.

9 Thank you.

10 THE WITNESS: You are welcome.

11 MS. TAYLOR: Redirect, your Honor?

12 THE COURT: Yes. Ms. Taylor will have a  
13 redirect examination.

14 REDIRECT EXAMINATION

15 BY MS. TAYLOR:

16 Q Dr. Fisher, as part of your review of the  
17 radiologic review did you reduce your findings to a report?

18 A Yes, I did.

19 Q Did you certify that report in any way?

20 A Yes.

21 MR. SIRIGNANO: Objection, your Honor.

22 THE COURT: I suspect I know where you are  
23 going, Ms. Taylor, and I am going to sustain the  
24 objection.

25 MS. TAYLOR: Okay.

1 Fisher - Redirect - Defendant

2 Q Dr. Fisher, as part of your licensing as a New  
3 York doctor, is there any code of ethics that a radiologist  
4 would be aware of?

5 MR. SIRIGNANO: Objection, Judge.

6 THE COURT: Sustained. Beyond the scope.

7 Q Doctor, do you have any reason to --

8 MS. TAYLOR: Withdrawn.

9 Q The report that you produced as a result of  
10 reading Mr. Taurone's films, do you have any as you sit  
11 here today, any reservations about any of your findings in  
12 that report?

13 MR. SIRIGNANO: Objection, Judge.

14 MS. TAYLOR: Goes to his credibility, your  
15 Honor.

16 THE COURT: Well no, it is beyond the  
17 cross-examination. Sustained.

18 Q Doctor, your reviewing you said over 50 percent of  
19 your reviews are for the defense. Does that in any way  
20 affect what findings you render in a case?

21 MR. SIRIGNANO: Objection.

22 THE COURT: Reading, sustained.

23 Q What if any affect does the fact that you are  
24 retained by defense counsel have on your findings in any  
25 particular case?

1 Fisher - Redirect - Defendant

2 MR. SIRIGNANO: Objection.

3 THE COURT: Same objection, sustained.

4 Q Were there any other considerations in your  
5 findings on the matter of Vincent Taurone other than review  
6 of the radiological studies?

7 A None whatsoever.

8 Q Now counsel asked you whether you had any  
9 criticism of Dr. Lee's surgery and you talked about the  
10 bone spurs. Is that the same as the osteophyte?

11 A Yes.

12 Q Is an osteophyte an arthritic condition?

13 A Yes, it is an overgrowth of the bone that it is  
14 typically a result of a long standing degeneration.

15 Q Doctor, would you consider --

16 MS. TAYLOR: Withdrawn.

17 Q You used the terms acute and traumatic before when  
18 I asked you about that?

19 A Acute and chronic.

20 Q Acute and chronic. I also asked you about the  
21 term traumatic?

22 A Yes.

23 Q Would a fall on a person's back be considered  
24 traumatic?

25 MR. SIRIGNANO: Objection, Judge. This is

1 Fisher - Redirect - Defendant

2 clearly outside my cross.

3 MS. TAYLOR: No, it is not, your Honor. He  
4 talked about worsening and a trauma.

5 THE COURT: I'm going to sustain that as it  
6 is beyond the scope. There was no question to the  
7 doctor about that.

8 Q Doctor, you testified on cross-examination about a  
9 worsening or aggravation of Mr. Taurone's spine. Do you  
10 remember that when Mr. Sirignano asked you about that?

11 A Yes.

12 Q Could a fall on the back worsen or aggravate a  
13 cervical spine condition of spondylosis?

14 MR. SIRIGNANO: Objection. This wasn't  
15 brought out on her direct and I certainly didn't  
16 bring it out on my cross.

17 MS. TAYLOR: If we had a read back, counsel  
18 did talk about worsening and aggravation of Mr.  
19 Taurone's spine.

20 MR. SIRIGNANO: Arising out of the automobile  
21 accident of November the 9.

22 MS. TAYLOR: And he also asked about trauma,  
23 your Honor, and motor vehicle accident and there  
24 has been evidence in this case.

25 THE COURT: Well you know you are having a

1 Fisher - Redirect - Defendant  
2 talking objection.

3 MS. TAYLOR: I'm sorry.

4 MR. SIRIGNANO: There is nothing in the  
5 expert's report that was served on me in the 3101  
6 D --

7 THE COURT: Listen I really think that that  
8 question knowing that he didn't read any of the  
9 medical records is probably not proper at this  
10 time. Sustained.

11 MS. TAYLOR: Your Honor, may have the  
12 November 18 hospital record for Mr. Taurone?  
13 (Handed.)

14 MS. TAYLOR: Your Honor, I'm asking that  
15 Plaintiff's 5 - I don't know if that is an I or a  
16 1 be shown to the witness. It is the report  
17 counsel referred to of Dr. Elizabeth Dubovsky. It  
18 is in evidence.

19 MR. SIRIGNANO: It is a one, small one.

20 Q Would you take a look at that report, Dr. Fisher.  
21 Is that a report from Dr. Dubovsky?

22 A Yes, Elizabeth Dubovsky.

23 Q Do you see the findings on the bottom of that  
24 report?

25 A Yes, I do.

1 Fisher - Redirect - Defendant

2 MR. SIRIGNANO: The witness has said numerous  
3 times that he has never seen this report or any  
4 other original report.

5 THE COURT: The problem is that 3101 D does  
6 not state that he is reviewing and commenting on  
7 other medical reports and he has told us that  
8 himself. So to now analyzesomeone else's report  
9 I think it is beyond what he was brought here to  
10 testify to.

11 MS. TAYLOR: Your Honor, may have a side bar,  
12 please?

13 THE COURT: No. I have made my ruling.

14 MS. TAYLOR: Exception.

15 Q Doctor, I will take that report. Would you agree  
16 or disagree with a finding that as of November 10, 2009 the  
17 C4 to C6 hardware was retained? Would you agree with that?

18 A Yes.

19 Q Would you also agree with a finding as of November  
20 10, 2009 that there was no evidence of fracture or  
21 subluxation of the CT cervical spine?

22 A Yes, that's consistent with my report as well.

23 THE COURT: And your source is?

24 MS. TAYLOR: The evidence, your Honor.

25 THE COURT: Well, yes, but your source is a

1 Fisher - Redirect - Defendant  
2 physician?

3 MS. TAYLOR: Yes, Dr. Dubovsky.

4 Q Would you also agree with a finding, doctor, of  
5 diffuse spondylosis with multiple level moderate canal and  
6 foraminal stenosis as of November 10, 2009?

7 A Yes, that's consistent with my report as well.

8 MS. TAYLOR: I have nothing further. Thank  
9 you.

10 MR. SIRIGNANO: Nothing, your Honor. Thank  
11 you.

12 THE WITNESS: Thank you, your Honor.

13 (Witness excused.)

14 THE COURT: All right I just want to see the  
15 attorneys about scheduling for a moment, please.

16 (A sidebar conference was held with Court  
17 and counsel off the record.)

18 THE COURT: All right we are going to have  
19 somewhat of a long lunch hour. 2:00 o'clock. We  
20 will see you at two. Thank you.

21 (Jury exits courtroom.)

22 THE COURT: Anything before we go to lunch?

23 MR. SIRIGNANO: No, your Honor.

24 THE COURT: All right.

25 MS. TAYLOR: I'm sorry, your Honor?

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

Fisher - Redirect - Defendant

THE COURT: Anything before we go to lunch?

MS. TAYLOR: No, sorry.

(Luncheon recess taken.)