

**Excerpts from the Trial Transcript, dated December 7, 2012  
(Testimony of Laith Jazwari, M.D.)  
[pp. A104 - A178]**

Proceedings

352

1 appearances remain the same. Is the witness here?

2 MR. KAUFFMAN: Yes. Yes, your Honor. Shall I have  
3 him take the stand?

4 THE COURT: No. We got to make it like TV. He has  
5 to come up while they're watching.

6 MR. KAUFFMAN: I definitely caught the commercial.  
7 Thanks.

8 (Laughter.)

9 COURT OFFICER: All rise. Jury, come on in.

10 (Whereupon, the jury entered the courtroom.)

11 COURT OFFICER: Okay. Jurors. Take your seats.  
12 Be seated, please. Part 9 is back in session.

13 THE COURT: All right. You may call your next  
14 witness.

15 MR. KAUFFMAN: Plaintiff calls Dr. Laith Jazrawi.

16 (The witness entered the courtroom.)

17 COURT OFFICER: Okay. Raise your right hand.

18 L A I T H J A Z W A R I, M.D.

19 called as a witness and having been first  
20 duly sworn by the Clerk of the Court, was  
21 examined and testified as follows:

22 COURT OFFICER: Okay. Be seated. State your name  
23 and business address and your occupation for the record.

24 THE WITNESS: Laith M. Jazrawi, 333 East 38th  
25 Street. Orthopedic Surgeon.

1 THE COURT: Is that in Manhattan?

2 THE WITNESS: Yes. Sorry. New York, New York.

3 THE COURT: How do you spell your name?

4 THE WITNESS: Laith, L-a-i-t-h. And the Last name  
5 is, J-a-z-r-a-w-i.

6 THE COURT: Okay. You may inquire.

7 MR. KAUFFMAN: Thank you.

8 COURT OFFICER: Would you like some water?

9 THE WITNESS: Please.

10 DIRECT EXAMINATION

11 BY MR. KAUFFMAN:

12 Q Good afternoon, Dr. Jazrawi.

13 A Good afternoon.

14 Q It's 2:30 now, we've got -- I have got to ask you some  
15 questions and defense counsel got to ask you questions, so that  
16 you are done this afternoon; so we're going to cut right to it,  
17 okay?

18 A Okay.

19 Q Are you a physician licensed to practice medicine in  
20 the State of New York?

21 A Yes.

22 Q Okay. What is your medical specialty?

23 A Orthopedic surgery with the added qualification of  
24 sports medicine.

25 Q And, generally speaking, what is orthopedics and

1 orthopedic surgery?

2 A Orthopedic surgery involves the care of musculoskeletal  
3 injuries in patients and it ranges both from non-operative  
4 care --

5 COURT OFFICER: Hold on a second, Doctor.

6 Everybody's phone is off now?

7 A It ranges from both non-operative care to operative  
8 care.

9 Q Okay. And before we get to the anatomy of the  
10 shoulder, the x-rays and the MRI's, your examination and your  
11 findings, tell us about your educational history, your  
12 background, residency, internships and what those are all about?

13 A Sure. I graduated locally here. I went to high school  
14 in Brooklyn at Poly Prep and went to Pennsylvania for college,  
15 and I came back medical school, graduated in 1995 at Mount Sinai  
16 Medical School, did my internship at NYU, which was in  
17 orthopedic surgery internship combined with an extra year of  
18 research and then I went down to --

19 THE COURT: Okay. You got to slow down.

20 THE WITNESS: Oh, I'm sorry.

21 THE COURT: The court reporter is typing all of  
22 this down as you speak. Breathe. Sorry. Okay. Continue.

23 A Okay. And then I went to -- I'm kidding. Then I went  
24 to complete my fellowship, which is an extra year in sports  
25 medicine training, down with this guy Jim Andrews in Alabama.

1 Q Who is Jim Andrews?

2 A He is a world renown sports medicine surgeon. And then  
3 since then I have been at NYU, where I'm currently the chief of  
4 sports medicine, and that's pretty much it.

5 Q Okay. And your practice of orthopedics and orthopedic  
6 surgery, do you particularly specialize in one body part?

7 A Three body parts: Shoulder, elbow and knee.

8 Q And are you what's known as board certified in  
9 orthopedic surgery?

10 A Yes.

11 Q And would you tell the jury what, generally speaking,  
12 board certification is and when you became a board certified  
13 orthopedic surgeon?

14 A Board certification involves a two-step process which  
15 part one involves a test, written test examination, which you  
16 need to pass. You then go and practice for three years and they  
17 collect all your cases, and they usually pick the cases that are  
18 more challenging and complicated and you're supposed to get up  
19 in front of a panel of your peers and present your cases, and  
20 they question you and they quiz you and they make sure that  
21 you're practicing ethically, and then that happened in 2004 I  
22 received my board certification.

23 Q Did you ever have to become recertified as normal in  
24 the normal course of practicing medicine?

25 A Right. Every 10 years we're required to undergo

1 recertification process. That process entails presenting all  
2 your cases again as well as continuing CME material, meaning  
3 documentation showing that you're keeping up with your  
4 education, and I just took that -- it's a written examination  
5 which I just took and completed. I have yet to hear the results  
6 of that.

7 Q Do you expect any problems?

8 A It was easy, actually.

9 Q Have you held any teaching positions in your specialty  
10 of orthopedics?

11 A I'm an associate professor at NYU School of Medicine.

12 Q And very briefly, are you a member of any medical  
13 societies or associations in orthopedics or otherwise?

14 A Yes, multiple societies. These include the American  
15 Academy of Orthopedic Surgeons, the Arthroscopy Association of  
16 North America, the American Orthopedic Society for Sports  
17 Medicine. I think that's it.

18 Q Okay. And because we're dealing with the shoulder and  
19 the arm in this case, have you published any articles or  
20 scholarly journals or given any presentations with regard to  
21 shoulder and orthopedic injuries?

22 A Yes. It's detailed in my CV there are multiple book  
23 chapters relating to shoulder injuries: Adhesive capsulitis and  
24 rotator cuff injuries around the shoulder.

25 Q Okay. We'll talk about adhesive capsulitis --

1 COURT REPORTER: I'm sorry.

2 Q Okay. We'll talk about adhesive capsulitis and rotator  
3 cuff tears and things like that in a little bit. In order,  
4 though, to publish an article, must it be reviewed or can I  
5 submit an article or somebody else submit an article and it just  
6 automatically gets published?

7 A The publication process requires a peer review where  
8 you basically submit your papers for publication. It gets  
9 reviewed by your peers and usually get sent back with either  
10 rejection notice or an acceptance with major revisions or minor  
11 revisions.

12 Q Now, I want to now talk about your involvement in this  
13 case. To be clear, are you a treating doctor of Mary Lou Knoch?

14 A No.

15 Q However, did there come a time that you at my office's  
16 request did review medical records and films regarding Mary Lou  
17 Knoch?

18 A Yes.

19 Q Specifically, did you review the ambulance call report  
20 and the Long Island College Hospital records?

21 A Yes.

22 Q Did you also review the medical records of the treating  
23 orthopedist, a Dr. Tabershaw of Suffolk Orthopedics?

24 A Yes.

25 Q Did you also review an MRI film and the corresponding

1 radiological report that was done on Mrs. Knoch's left shoulder  
2 on June the 5th, 2007?

3 A Yes.

4 Q Did you also review certain physical therapy records  
5 from South Shore Physical Therapy?

6 A Yes.

7 Q Did you also review the report that was done and  
8 completed, by the way, by Dr. Alan Zimmerman, the Defendant's  
9 orthopedist who examined Mrs. Knoch back in November of 2008?

10 A Yes.

11 Q Okay. And in forming the opinion, the expert opinion,  
12 that you'll be giving today, did you base your opinions upon  
13 these medical records, which are generally accepted in  
14 orthopedics as reliable as a basis for forming your opinions?

15 A In addition to those records my own examination of her  
16 as well.

17 Q Okay. And you did physically examine Mrs. Knoch; when  
18 was that?

19 A 7/24/2012.

20 Q And turning to your report, I think that's the date of  
21 the report, but if you can take a look underneath that?

22 A Oh, I'm sorry. 6/27/2012.

23 Q After reviewing all these records and after conducting  
24 physical examination of your own, did I ask you if you'd be  
25 willing to come in and testify in court on behalf of Mrs. Knoch?

1 A Yes.

2 Q And did you also secure certain information that was  
3 provided to you by Mrs. Knoch at the time of your June 2012  
4 examination, about five, six months ago?

5 A Yes.

6 Q Now, whenever you come to court to review medical  
7 records or perform a physical examination or come to court to  
8 testify of your time, are you compensated for your time?

9 A Yes.

10 Q Are you being compensated today?

11 A Yes.

12 Q And would you tell the jury how much?

13 A \$10,000.

14 Q And how is that determined?

15 Let me rephrase it. If you weren't here in court for  
16 your time, what would you be doing?

17 A Generally, we see patients; today is a patient day  
18 where we would see patients throughout the day to six,  
19 seven o'clock at night. Generally ranges from anywhere from 50  
20 to 70 patients that come in, and during that time surgeries are  
21 scheduled, so we schedule during that time anywhere from five to  
22 twelve surgeries.

23 Q Now, have you ever testified in court before today?

24 A Yes.

25 Q And have you ever been hired as an expert witness, in



1 other words, not a treating doctor, but someone to simply review  
2 the records and perform a physical examination, offer an opinion  
3 like you are today?

4 A Yes.

5 Q On how many other occasions?

6 A One.

7 Q Have you ever come into court on behalf of your  
8 patients who sustained injury to testify on his or her behalf?

9 A Yes.

10 Q How many times was that?

11 A Twice.

12 Q And this is over how long a period of time during your  
13 career?

14 A Since I have been in practice, which has been since  
15 2001.

16 Q And before today, have you ever testified for my office  
17 in any way?

18 A No.

19 Q I now want to talk about the anatomy of the shoulder,  
20 and I have a diagram that you and I had reviewed earlier.

21 MR. KAUFFMAN: May we have this simply marked for  
22 identification?

23 THE COURT: Sure.

24 MR. KAUFFMAN: That was a 2009 file not involving  
25 Dr. Jazrawi.

1 THE COURT: 21 for ID, anatomical charts, called  
2 muscles of rotator cuff.

3 COURT OFFICER: Cover up this old sticker?

4 MR. KAUFFMAN: Yes. Thank you.

5 THE COURT: Fine.

6 COURT OFFICER: You want it on the tripod?

7 MR. KAUFFMAN: I think so.

8 THE COURT: Well, okay. So, this is demonstrative?

9 MR. KAUFFMAN: Strictly demonstrative, your Honor.

10 THE COURT: Okay. Any objection?

11 MR. GREY: If he didn't lay the foundation, I'm  
12 sure he'll ask him if it would help the jury; he'll say,  
13 yes. I'll save him the time. No objection, your Honor.

14 THE COURT: Okay.

15 MR. KAUFFMAN: Okay. May I ask Dr. Jazrawi to step  
16 down with the Court's permission?

17 THE COURT: Can he stay there?

18 MR. KAUFFMAN: Of course.

19 Q Dr. Jazrawi, do -- if you could explain to the jury--

20 THE COURT: Is there a glare?

21 THE JURY: No.

22 THE COURT: You're all good with the glare? All  
23 right. I'm sorry, Mr. Grey, you need to move around.

24 So, this is an anatomical chart. This is not  
25 prepared specifically for this Plaintiff, right?

1 THE WITNESS: No.

2 THE COURT: Okay. So, this is just a generic --  
3 I'm sorry. I'm not sure what the right terminology is.

4 THE WITNESS: It's a classic picture because it's  
5 by Frank Netter who is considered -- he was one of the first  
6 pioneers in drawing anatomical figures for medicine.

7 THE COURT: All right. Okay. As long as it wasn't  
8 prepared specifically for the Plaintiff's case.

9 MR. KAUFFMAN: It was not.

10 THE COURT: Fine.

11 Q And can you tell us what we see here with regard to the  
12 shoulder itself in terms of the -- first of all, what is the  
13 superior view?

14 A The top picture is basically a patient lying on his  
15 back, and what Dr. Netter is trying to accomplish here, showing  
16 you a view looking from the top of the shoulder down. So,  
17 superior meaning in this case what he is showing that you're  
18 looking superiorly down.

19 So, you're literally looking if you were standing on  
20 top of the patient, looking straight down on his shoulder.

21 Q And whatever is best for you, either using it yourself  
22 demonstratively on your shoulder or pointing to this, whatever  
23 works better for the jury, can you tell us about the bones, the  
24 tendons, the ligaments, things of that nature, when we're  
25 dealing with the shoulder, including the humerus, by the way?

1       A       Sure. I think the top views are very challenging view.  
2 I think the bottom views are a little easier. This is --

3               MR. KAUFFMAN: Can everybody see?

4               THE JURY: Yes.

5               THE COURT: So, sometimes witnesses can do this,  
6 like the retired doctors and they do this all the time, they  
7 get like this little red laser pointer things and then they  
8 don't have to get up, but you don't have anything --

9               THE WITNESS: I don't do this much.

10              THE COURT: I don't want to torture you.

11              THE WITNESS: I don't mind getting up. I teach a  
12 lot

13              THE COURT: No, the court reporter is tired of  
14 moving so everybody can hop up and sit down, not you.

15              THE WITNESS: Shall I move it closer to --

16              THE COURT: Why don't you just let him -- give it to  
17 him.

18       A       So, the shoulder is a wonderful joint, and if you look  
19 at these two views, what you're looking at is from a directly,  
20 you know, from -- if I'm standing here and the patient is  
21 standing here, so, this is the humerus.

22              This is your bone, right here, and the only way that it  
23 can move is the muscles that are connected, basically, to your  
24 scapula bone, which is that bone along the back, and it starts  
25 as muscles, goes into tendon and attaches to the humerus, which

1 is basically a ball and socket joint.

2 And in order for your arm to lift up, these muscles  
3 which connect to the bone here via tendons, are required to  
4 fire, and they allow you to lift your arm up. If this is torn  
5 completely or it's broken, it will cause difficulty in raising  
6 your arm.

7 Q What is the rotator cuff?

8 A The rotator cuff is basically the confluence of these  
9 muscles.

10 Q Confluence is where they all come together?

11 A Right, where they all come -- there are four of them  
12 and they attach at different points along the bone, and they  
13 each have a different function, whether it's raising it  
14 straightforward, bringing your arm to the side. And you can  
15 actually specifically determine which ones are torn based on  
16 limitations in motion.

17 Q Okay. Now, before we get to Ms. Knoch's case, are you  
18 familiar with the term "adhesive capsulitis"?

19 A Yes.

20 Q What does it mean?

21 A Adhesive capsulitis is a condition where underneath  
22 this rotator cuff there is a thin flimsy tissue that sort of  
23 keeps the shoulder in place. It's not necessarily -- it's not a  
24 muscle or a tendon. It's what we call a capsule, and without it  
25 the shoulder would just fall out of place regardless of the

1 muscles that are there.

2 And in the condition of adhesive capsulitis it's not  
3 necessarily the rotator cuff that's damaged, it's this tissue  
4 that gets inflamed that actually contracts. So, normally it's  
5 like a sort of a sac covering the ball and socket, and it needs  
6 to be sort of loose to allow you to move.

7 In the condition of adhesive capsulitis it scars down  
8 and it basically doesn't allow you to move your shoulder, and it  
9 get -- basically sticks the ball and socket joint together.

10 Q Adhesive capsulitis is the same thing as frozen  
11 shoulder, it's also known as?

12 A Yes.

13 Q And what is the cause of adhesive capsulitis, generally  
14 speaking, or are there multiple causes?

15 A Right. There are multiple causes. The most common is  
16 we don't know why people get it, that's number one. Number two,  
17 are alcoholics, and Number three -- well, Number two are  
18 diabetics, that's the second most common. And Number three are  
19 alcoholics, and then there are variety of other causes ranging  
20 from trauma, where people fall down and hurt their shoulder to  
21 other more rarer causes that it's unnecessary to get into here.

22 Q And in this particular case, after reviewing all the  
23 records and conducting your physical examination, do you have an  
24 opinion as to whether Mary Lou Knoch, as a result of this  
25 accident, had adhesive capsulitis?

1 A Yes.

2 Q What is that opinion?

3 A She did.

4 Q And what is the basis for your statement?

5 A The basis of the statement is the diagnosis of adhesive  
6 capsulitis is a clinical diagnosis. There are few MRI findings  
7 for it. It's mostly based on the restriction of motion with the  
8 arm at the side.

9 Patients with extreme restriction in motion,  
10 particularly at the side, with the arm in the neutral position,  
11 if they can extend beyond the neutral position, that's  
12 considered adhesive capsulitis.

13 Q Now, I want to skip over to Mrs. Knoch's accident, and  
14 I'm going to ask you to assume certain things --

15 THE COURT: Okay. I got to stop you. Can you,  
16 please, define neutral position because I think that the  
17 jury is going to thank you for speaking --

18 THE WITNESS: Sure. The neutral position is with  
19 the arm just at the side like when you are sitting. So, the  
20 inability to rotate it out from the side.

21 Q Is that called the external rotation?

22 A Correct.

23 Q And we'll talk about the different movements of the  
24 shoulder itself in terms of internal rotation and things like  
25 that later on when we get to your physical exam of Ms. Knoch.

1 Right now I'd like you to assume that there's been  
2 testimony that Ms. Knoch was involved in an accident on May 2nd  
3 of 2007. She fell with her arms outstretched, sustaining an  
4 injury to her left shoulder and also the left humerus. She was  
5 taken to Long Island College Hospital.

6 I'd like you to further assume that physical  
7 examination revealed swelling and deformity of the left arm.  
8 She was given pain medications and x-rays revealed the fracture  
9 of what's known as the greater tuberosity of the left humerus;  
10 what is that?

11 A The greater tuberosity of the humerus is a bone where  
12 the rotator cuff inserts. Can I use the model, the picture  
13 again? It will be easier to show.

14 MR. KAUFFMAN: Sure.

15 A Here is the humerus, and as it goes up and ends here,  
16 this piece of bone is called the greater tuberosity. The reason  
17 why it's given a term it's because it's where one specific  
18 muscle unit attaches, and it's a very common fracture that we  
19 see in patients who fall down.

20 Q I'd like you to further assume, Doctor, that the x-ray  
21 showed displacement of the fracture; what does that mean?

22 A If there's any shift in position of the fracture-line  
23 on an x-ray, the radiologist who was ever reading it, if it's  
24 not in -- if it's not in perfect position will call it  
25 displaced. So, that means that it's moved a little from its



1 normal position.

2 Q Okay. Now, and, generally speaking, what is the  
3 prognosis for that type of a fracture, again, a fracture of the  
4 humerus or the greater tuberosity of the humerus with  
5 displacement?

6 A Well, depending on the degree of displacement. If it's  
7 significantly displaced it requires surgery. If it's minimally  
8 displaced the outcomes are actually very good, assuming no other  
9 complications occur, and patients could do quite well with  
10 minimal displacement of these fractures.

11 Q And to be clear, we're talking about the humerus at  
12 this point, not the shoulder; am I right?

13 A They are interchangeable. I consider the greater  
14 tuberosity part of the proximal humerus.

15 Q Okay. Now, I want to talk about Mrs. Knoch's treatment  
16 in 2007. I'd like you to assume based on your review of the  
17 records. Withdrawn.

18 I'd like you to assume that Mrs. Knoch came under the  
19 care of Dr. Tabershaw out in Suffolk County of Suffolk  
20 Orthopedics, and she treated there in 2007, 2008, 2009, and that  
21 when she first treated, her complaints again, left -- severe  
22 left shoulder pain, swelling, limited range of motion, et  
23 cetera. Generally speaking, what is the treatment plan for both  
24 the humerus, that's the fracture as well as the shoulder?

25 A A minimally displaced greater tuberosity fracture is

1 placed in a sling for approximately two to three weeks, and then  
2 with a gradual physical therapy program with the attempt of  
3 regaining motion.

4           The initial two to three weeks in a sling allow the  
5 fracture to sort of begin to heal so that when you do start  
6 doing motion exercises, that the fracture won't move out of  
7 position. And you gradually build up, followup x-rays are  
8 obtained to make sure that the fracture doesn't displace, and as  
9 long as things are progressing nicely you can then increase the  
10 physical therapy and be more aggressive in trying to regain  
11 motion.

12       Q     I think you have answered it, but what is the purpose  
13 of physical therapy?

14       A     It's to regain motion and optimize the patient's  
15 outcome.

16       Q     And with regard to the shoulder injury, what, if any,  
17 is the significance of ordering an MRI?

18       A     Usually an MRI is obtained to see if there's any  
19 further damage other than the greater tuberosity or the bone.  
20 X-rays are great for bone, but you don't see the soft tissue,  
21 particularly the tendons and the rotator cuff.

22           So, in this case usually if patients -- it's very rare  
23 to have complete damage to both the bone and the tendon, but if  
24 patients are not improving or getting better with this fracture  
25 pattern where they usually get better, we then obtain an MRI to

1 see what is going on.

2 Q Now, in this particular case, I'd like you to assume  
3 that the accident happened while Mrs. Knoch was working and  
4 things were covered by Worker's Compensation. Are there any  
5 particular concerns or considerations for a patient who is  
6 covered by Worker's Compensation for an orthopedic injury and  
7 treating with an orthopedist?

8 A The issue always with Worker's Compensation cases, my  
9 office staff always cringes when --

10 MR. GREY: I'm going to object, your Honor. He  
11 doesn't seen the Worker's Compensation records, and any  
12 testimony on generally what Worker's Compensation do doesn't  
13 apply to this case --

14 THE COURT: Why are you making a speech?

15 MR. GREY: -- and, therefore, would be irrelevant.

16 THE COURT: Why are you making a speech?

17 MR. GREY: Objection, your Honor.

18 THE COURT: Sustained.

19 Q In this particular case, on your review of the records,  
20 did you note whether it was a Worker's Compensation case?

21 A Yes.

22 Q And what is the significance of that in this case?

23 MR. GREY: Same objection.

24 THE COURT: Overruled.

25 Q You can answer.

1       A     The significance in this case is after reviewing it  
2 it's unclear to me why with a patient not improving, with a  
3 diagnosis of adhesive capsulitis made, why surgery wasn't  
4 approved at that time, based on the records that I reviewed.

5       Q     Upon your review of the records, was there an  
6 indication for surgery?

7           MR. GREY: Again, your Honor, we're talking about  
8 records that aren't in evidence. It's hearsay.

9           THE COURT: Overruled.

10       Q     You can answer.

11       A     The records that I reviewed showed that there was  
12 request for surgery because the patient was not improving, and  
13 based on the review of records at the time, that she had a  
14 diagnosis of adhesive capsulitis, and secondary to her fracture  
15 that she sustained.

16       Q     And so we're dealing with a humerus surgery or a  
17 rotator cuff and adhesive capsulitis surgery?

18       A     It's a rotator cuff and adhesive capsulitis surgery.  
19 The fracture had healed by that point, and what we're dealing  
20 here with is the sequelae or the potential complication that  
21 occur in a patient that has generally a fracture that should  
22 heal very well, but the main problem in her was that she  
23 developed this condition of frozen shoulder. She couldn't move  
24 her shoulder after the surgery -- sorry, after the injury,  
25 despite the fracture healing.

1           Q     And before we get to the MRI film, would you tell us  
2 just, generally speaking, what MRI is and how it differs from,  
3 let's say, a standard x-ray?

4           A     Very simply, x-rays are for looking at bones. MRI's  
5 are for looking at soft tissue, tendons and ligaments.

6           Q     And as a board certified orthopedic surgeon, do you  
7 review MRI's?

8           A     Yes.

9           Q     Do you order them for your patients?

10          A     Yes.

11          Q     And when you get it back, does it have a radiological  
12 report by the reviewing radiologist?

13          A     Yes.

14          Q     And do you review that in conjunction with you  
15 personally reviewing the films?

16          A     Yes.

17                   MR. KAUFFMAN: And, your Honor, with the  
18 Court's permission, we have the MRI films in evidence, and  
19 Dr. Jazrawi would be able to show the jury what we see with  
20 regards to the findings of the MRI.

21                   THE COURT: Of course. Can you try not to let the  
22 court reporter move.

23                   THE WITNESS: You got it.

24                   MR. KAUFFMAN: May I identify the films for the  
25 record?

1 THE COURT: No, let him do that.

2 MR. KAUFFMAN: Okay.

3 THE COURT: And I'll just tell the jury that all of  
4 the films are in one envelope labeled Plaintiff's 13.

5 Q So, let me ask one question.

6 A You got it.

7 Q Threshold question first. I should have asked this.  
8 Have you reviewed these films before? This isn't the first  
9 time?

10 A Yes, correct.

11 Q Okay. And in your medical opinion, do these films  
12 fairly and accurately portray what Mrs. Knoch's left shoulder  
13 looked like on the date that they were taken, on June the 5th,  
14 2007, by Medical Arts Radiology?

15 A Yes.

16 Q Okay. And what do we see there?

17 A Okay. Basically, what MRI's try to do is get a 3-D  
18 view of the shoulder, but these are, you know, no more than two  
19 dimensions, you know. So, you get -- you try to put this  
20 together with all the views. But the view that really shows it  
21 the best is what was seen in the -- sort of that poster board  
22 that we showed, here is the bone. And what you can clearly see,  
23 white is fluid, and that showed that something happened there.

24 And you can see the fracture-line. There is a crack  
25 here, on the side. It's supposed to be nice and smooth and a

1 perfect circle, but you can see that there is a little bump  
2 there, right at the tip. And that's the greater tuberosity and  
3 that's the fracture.

4 The rotator cuff attaches right at that level, right  
5 where the bone is. So, in her fall, the rotator cuff basically  
6 pulled this bone off or another way it happens is whether she  
7 directly impacts on it and the crack propagates through there.

8 Q What do you mean by "propagate", and that's really for  
9 me more than anybody else?

10 A Travels through the bone.

11 Q Okay.

12 A So, she has a fracture of her greater tuberosity, which  
13 is the bone there. It's displaced. I would say minimally, but  
14 it's displaced nonetheless. And right where that fracture is  
15 occurring is where the rotator cuff is inserting is also  
16 damaged.

17 Q And do we see any fluid within the subdeltoid or  
18 subacromial sac?

19 A Yes, this white fluid on top points to fluid above the  
20 rotator cuff.

21 Q And what's the significance of that in this case?

22 A It just points to the fact that she has inflammation  
23 above the rotator cuff, which is, you know, fairly typical, you  
24 know, in these patients.

25 Q And do we see anything -- any tears -- first of all,

1 what is the labrum?

2 A The labrum is -- to usually we see the labrum we go to  
3 several other views. This is a view looking from the top of the  
4 shoulder. There is the ball. Here is the socket. And what  
5 this is showing again is the fracture, the white that you see  
6 over there, and then it shows these little two triangles in  
7 front.

8 This is the glenoid, and these little two triangles  
9 that are connecting to this glenoid, which is bone, are tissue,  
10 and this tissue -- you're supposed to see this tissue smoothly  
11 transition into the bone, and you see a disruption because  
12 there's fluid coming underneath that triangle, which is  
13 indicative of a tear.

14 Q And with regard to the items that you reviewed, the  
15 fracture of the greater tuberosity, displacement of the torn  
16 labrum, the fluid, and also the other tear as well; do you have  
17 an opinion with a reasonable degree of medical certainty as to  
18 the cause of these MRI findings?

19 A It was related to the fall.

20 Q Okay. And by the fall, we're talking about May 2nd,  
21 2007?

22 A Yes.

23 Q Okay. When you reviewed these films, did you also  
24 review the radiological report that was issued by the initial  
25 reviewing radiologist?



1 A Yes.

2 Q Okay. And does that confirm your findings?

3 A Yes.

4 Q Okay. And I think at this point, unless there is  
5 something further that you feel the jury should see, I think  
6 we're done with the shadow box.

7 A That's it.

8 Q Well, Doctor, I want to talk about the rest of the 2007  
9 treatment that Mrs. Knoch had, and I'd like you to assume that  
10 throughout 2007 Mrs. Knoch continued to have left shoulder pain,  
11 swelling, limited range of motion, pain, would still do physical  
12 therapy, home exercises, she would be taking Vicodin and/or  
13 other pain medications or anti-inflammatories; home exercises, I  
14 believe I mentioned, what's the significance -- and followup  
15 with Dr. Tabershaw; what's the significance of that with regard  
16 to Mrs. Knoch trying to get better?

17 A Well, it showed that she was making an attempt to  
18 improve her condition, and that's the generalized treatment  
19 protocol for patients who are healing from this fracture, is  
20 they take pain medication and get into physical therapy.

21 Q And we talked earlier of adhesive capsulitis -- are you  
22 able to, based on your review of the medical records, are you  
23 able to approximate when Mrs. Knoch was diagnosed with that and  
24 when that condition manifested itself?

25 A Generally, if patients are not improving to the extent

1 that they should be and they are not reaching milestones, there  
2 are reasons for it. And one of them could be that they're not  
3 compliant with therapy or not compliant with their treatment  
4 regimen, and then the other reason is that they are developing a  
5 capsulitis or an inflammation of their capsule restricting their  
6 motion.

7 And generally you start to see this about the three or  
8 four month mark where they haven't regained the majority of  
9 their motion, and they don't seem to be progressing in therapy,  
10 and at that point you have to make a decision as a physician  
11 that if they're not progressing you need to intervene and do  
12 something.

13 Q Now, you used the term "compliance"; what do you mean  
14 by compliance, patient compliance?

15 A Doing the required things that she's supposed to do,  
16 like attending physical therapy sessions and taking  
17 anti-inflammatory and pain medication as needed to allow the  
18 therapist to stretch her shoulder.

19 Q And based on your review of her medical records with  
20 treating --

21 COURT REPORTER: I'm sorry, Counsel.

22 Q I'm sorry. Based on your review of the treating -- the  
23 medical records of the treating individuals or facilities in  
24 this case, do you have anything to indicate that Mrs. Knoch was  
25 anything less than one hundred percent compliant?

1           A     Based on the records she seemed to have gone to all her  
2 physical therapy sessions.

3           Q     And medications, was she compliant with that as well,  
4 to the best of your understanding?

5           A     Yes.

6           Q     What is arthroscopic surgery?

7           A     Arthroscopic surgery is where we take microscopic  
8 instruments and insert them into the shoulder and run fluid  
9 through the shoulder to increase the space so we can work in a  
10 very small space with small instruments and not make big  
11 incisions around the shoulder.

12          Q     And what is arthroscopic manipulation under anesthesia;  
13 is that the same thing, just phrased differently?

14          A     Manipulation under anesthesia is where you actually  
15 take the shoulder and try to break up the scar tissue while  
16 she's asleep or if she's given a regional nerve block where she  
17 doesn't feel the pain, and you are actually able to break the  
18 scar tissue.

19                 Sometimes it's too far gone, meaning the scar tissue is  
20 so thick; that's when you will insert the arthroscope, the  
21 camera, and you will use instruments to cut the thick capsular  
22 tissue that sort of keeping the shoulder contracted.

23          Q     What is known as -- what is lysis, l-y-s-i-s, of  
24 adhesions?

25          A     That means cutting the scar tissue.

1 Q Okay. And in this particular case, do you have an  
2 opinion after reviewing all the medical records, whether surgery  
3 such as arthroscopic manipulation under anesthesia with lysis of  
4 adhesions, was indicated for Mrs. Knoch back in late 2007?

5 A Yes.

6 Q What are the indications for that surgery?

7 A Number one, failure to progress with a physical therapy  
8 regimen after an injury she sustained, a clinical examination  
9 consistent with the diagnosis of adhesive capsulitis --

10 THE COURT: Slow down.

11 A -- an MRI findings, demonstrating rotator cuff  
12 pathology, which may be contributing to the diagnosis of frozen  
13 shoulder.

14 Q When you mentioned that there were indications for this  
15 surgery, is your opinion confirmed by any other records that you  
16 have reviewed in this case?

17 A Yes.

18 Q Which records are those?

19 A The records of Dr. Tabershaw.

20 Q And are there any risks to a surgery such as this?

21 A Yes.

22 Q What are they?

23 A One is failure for the surgery to completely allow the  
24 regaining of all her motion. Two, is all the arthroscopic risks  
25 that are associated with the capsular release. For example,

1 when you are cutting the tissue there is a delicate nerve that  
2 runs right next to the capsule which is being cut that you can  
3 damage and cause permanent paralysis in the shoulder.

4 Three, the risk of infection and other complications  
5 associated with traumatic insertion of the arthroscope,  
6 secondary to the contracted space, and the last --

7 THE COURT: Which would be what in English?

8 (Laughter.)

9 THE WITNESS: Basically, premature arthritis.

10 THE COURT: Okay.

11 A And lastly, the ultimate thing is failure of the  
12 surgery and recurrence of the frozen shoulder. Basically, the  
13 scar tissue recurring.

14 Q Okay. And my last question on that, I think you  
15 answered it, are there any guarantees for the surgery that the  
16 patient is going to be a hundred percent better as if the  
17 accident had never occurred?

18 A No.

19 Q And in reviewing the records, do you have an  
20 understanding as to whether or not Mrs. Knoch was ready, willing  
21 and able to go forward with the surgery?

22 MR. GREY: Objection.

23 Q Based on your review of the medical records?

24 THE COURT: Not from --

25 MR. KAUFFMAN: Okay. Well, let me rephrase the

1 question.

2 Q Based on your review of the medical records, based on  
3 conversations that you've had with Mrs. Knoch when you examined  
4 her, and I'd like you to assume that Mrs. Knoch testified  
5 earlier today that she wanted to have the surgery; do you have  
6 an opinion as to why the surgery wasn't performed?

7 A The only thing that I saw on the records as to why it  
8 wasn't performed is because it was denied by Worker's  
9 Compensation.

10 Q And as you sit here today, do you know why it was not  
11 approved?

12 A I was not able to find any documentation to that.

13 Q Okay. Now, I want to talk about Mrs. Knoch's condition  
14 and treatment in 2008 and 2009, I want to cover just generally.  
15 I'd like you to assume that Mrs. Knoch's complaints of left  
16 shoulder pain, tenderness, weakness, range of motion problems  
17 continued, that her adhesive capsulitis continued, and that  
18 there came a time that an injection into what's known as the  
19 subacromial space was given, what is that?

20 A The subacromial space is the space above the rotator  
21 cuff. On the MRI I had pointed out an area of inflammation, and  
22 that's where the injection is injected into, and the local  
23 Cortizone is in effect trying to decrease that inflammation,  
24 give her pain relief and potentially allow her to go to physical  
25 therapy and try to break that scar tissue with the pain relief.

1           The medication that she took by mouth, Motrin, Aleve,  
2 works the same way in attempting to control inflammation and  
3 control pain.

4           Q     What's the purpose of the home exercise in addition to  
5 physical therapy in a case like this?

6           A     It continues to try to maintain her motion throughout  
7 this. And it showed that she was trying, at least, attempting  
8 to regain her motion.

9           Q     And what is acromioplasty and bursectomy?

10          A     In that area above the rotator cuff it's basically the  
11 bone that sits on top of the rotator cuff, and in conditions  
12 like this, not necessarily frozen shoulder, but when the rotator  
13 cuff is damaged, the concept of cleaning up the bone above the  
14 rotator cuff to open up that space and allow it to glide more  
15 freely without restriction is what an acromioplasty attempts to  
16 do. It's basically resecting bone from the acromion.

17          Q     And do you have an opinion with a reasonable degree of  
18 medical certainty that in 2008 and 2009 whether that type of  
19 surgery was indicated for Mrs. Knoch's condition?

20          A     I think the combination of releasing the scar tissue,  
21 debriding the rotator cuff, doing the acromioplasty, like you  
22 mentioned, as well as the bursectomy, and that is removing the  
23 inflamed tissue, was indicated.

24          Q     And, Doctor, to be clear, did you review all of  
25 Dr. Tabershaw's 2007 medical records?

1       A     I'm assuming the ones that were sent to me were all the  
2 ones that he wrote.

3       Q     Okay. Did you also review his 2008 medical records?

4       A     Yes.

5       Q     And also his 2009 medical records as well?

6       A     Yes.

7       Q     Doctor, in cases where Worker's Comp is asked to  
8 approve or deny a surgery, is that a doctor making the decision  
9 or some other type of person?

10           MR. GREY: Again, the same objection.

11           MR. KAUFFMAN: Based on his knowledge and experience  
12 as a board certified orthopedist --

13           MR. GREY: The records aren't in evidence. There  
14 is no way the jury can tell whether what he is saying is  
15 true.

16           MR. KAUFFMAN: Objection to the speech.

17           THE COURT: Would you stop making a speech... One  
18 word, objection. Overruled. Subject to connection. ~~Let~~  
19 Continue.

20           MR. KAUFFMAN: Thank you.

21       A     The reason for denial or acceptance of the procedure can  
22 could be as simply as not following certain guidelines, not  
23 producing certain notes or not reaching certain things that are  
24 required by the Worker's Compensation Board to go forward to  
25 surgery. So, it may never even end up on a physician's lap



1 before it's denied.

2 Q Now, as part of your medical examination, and I'm sorry  
3 -- as part of your testimony in this case and review of all the  
4 records, did you also review the medical report -- I believe I'd  
5 ask but I'm not sure -- I apologize if I did -- of Dr. Alan  
6 Zimmerman who conducted physical examination of Mrs. Knoch back  
7 in November of 2008?

8 A Yes.

9 Q Turning to 2010 and 2011, I'd like you to assume that  
10 Mrs. Knoch no longer followed up with Dr. Tabershaw of Suffolk  
11 Orthopedics, instead she continued to take pain medication, do  
12 home exercises, anti-inflammatory she would take, and I'd like  
13 you to assume that despite this, her left shoulder pain and the  
14 adhesive capsulitis persisted with limitations, pain,  
15 restrictions; do you have an opinion as to why that is?

16 A She should have had the surgery back when the initial  
17 diagnosis was made.

18 Q And, Doctor, now I would like to turn to your 2012  
19 examination, do you have your report, okay. Very good. Would  
20 you tell the jury again the date that you examined Mrs. Knoch?

21 A 6/27/2012.

22 Q And do you have a report in front of you that  
23 summarizes your findings?

24 A Yes.

25 Q When Mrs. Knoch presented to you about five and-a-half

1 months ago or so, did she have certain complaints that she told  
2 you about?

3 A Yes.

4 Q And what were those complaints?

5 A She talked about initially her injury, how she  
6 sustained it, that her recovery was complicated, but why a  
7 diagnosis of adhesive capsulitis, and that her main complaint  
8 was both pain and loss of motion.

9 Q Okay. And what body part are we talking about?

10 A The left shoulder.

11 Q And did you conduct what's known as an orthopedic  
12 examination?

13 A Yes.

14 Q Now, in a case like this where the injury is to the  
15 shoulder and to the arm, what are you doing if you could explain  
16 to the jury to orthopedically check out the patient, what are  
17 you asking that patient to do for you, what type of an  
18 examination you conduct?

19 A I conduct a generalized physical examination, looking  
20 at motion, looking at strength, and essentially looking at the  
21 degree of motion lost, combined with an assessment of the motor  
22 and sensory aspects of the shoulder.

23 Q I'd like you to assume that the injured shoulder  
24 and arm was her dominant shoulder, and that in the sense that  
25 she's left-handed, what, if any, significance do that have to

1 Mrs. Knoch's condition?

2 A Well, the more the motion restriction on that side,  
3 patients with their dominant arm utilize that for majority of  
4 their activities of daily living. So, if there's a significant  
5 motion restriction then it becomes problematic for them doing  
6 the simplest of tasks.

7 Q I want to talk about your shoulder examination, your  
8 range of motion examination, if you can explain what the range  
9 of motion is in terms of the actual movement of the arm or the  
10 shoulder, what the normal range of motion is, and also what  
11 Mrs. Knoch's range of motion was upon orthopedic testing?

12 A Right. So, normally we look at several fields with  
13 forward elevation, external rotation with the arm at the side,  
14 and internal rotation being our -- sort of our hallmarks.

15 Q Can you explain what those different motions are just  
16 for the jury?

17 A Oh. So, basically, the first one is forward elevation,  
18 and normally you're able to get up to about 170 degrees. Some  
19 people can get it up to 180, gymnasts. The patient was only  
20 able to get up to about 120 degrees, which was here  
21 (indicating).

22 Q Okay.

23 A In terms of external rotation, she was only able to put  
24 her arms out to about 30 degrees, which is about there  
25 (indicating).

1 Q And we are talking about her left, am I right?

2 A Her left. I'm using my right.

3 Q That's okay.

4 A And internally she was able to get right to about L2,  
5 which is one of the lumbar spinal units, and normally they are  
6 able to get up all the way up to their scapular border.  
7 Basically, they can take their arm up and bring it in and unhook  
8 their bra. That's sort of the classic height that they can get  
9 to, so she was significantly below that.

10 Q And what is abduction?

11 A Abduction is when you take your arm and bring it up to  
12 the side here. And normally get it up to about 100 degrees; she  
13 was only able to get up to about 60 degrees.

14 Q And when you test for strength, what does that mean?

15 A Basically, we have the patient put their arms out in  
16 different positions and we push down on their arms. When they  
17 have full strength, it's five over five. When they have less  
18 than full strength, but still strong, is four over five. And  
19 when they're able -- they are weak and only able to resist  
20 gravity, it's three over five.

21 Q When you tested Mrs. Knoch's non-injured shoulder and  
22 arm, that's her right, what was the strength testing?

23 A Five over five.

24 Q What about when you tested her left shoulder, left arm?

25 A Three over five.

1 Q And what is an AC examination?

2 A The acromioclavicular joint is -- I have to show the  
3 model.

4 Q Sure.

5 A On the shoulder joint it's the clavicle and it connects  
6 via the rest of the shoulder, via the acromioclavicular joint,  
7 that's the AC joint.

8 Q And in this particular testing -- in this case, when  
9 you're doing your AC exam of her left shoulder, what, if any  
10 positive findings, in other words bad for Mrs. Knoch, did you  
11 observe?

12 COURT REPORTER: I'm sorry?

13 Q What, if any, positive findings, meaning the ones that  
14 were negative for Mrs. Knoch in the sense that her recovery or  
15 condition did you find?

16 A She had pain over the AC joint, which is on the top of  
17 her shoulder. She had pain over that area with sort of what we  
18 call provocative maneuvers where we try to elicit the pain. So,  
19 in terms -- so this was just another added thing to her overall  
20 problem.

21 Q And do all these symptoms are they all consistent with  
22 her condition and injury?

23 A Yes.

24 Q When you tested her right shoulder, range of motion,  
25 what, if anything, did you find?

1           A     It was normal.

2           Q     Okay. Now, did there come a time that you reached an  
3 impression or a diagnosis?

4           A     Yes.

5           Q     Would you tell the jury what your diagnosis was at the  
6 conclusion of your review of all the medical records and also  
7 upon examination of Mrs. Knoch?

8           A     I believe she had a greater tuberosity fracture, which  
9 was displaced, but not displaced enough requiring surgery. She  
10 has a partial rotator cuff tearing, and because of this injury  
11 that she sustained she developed post-traumatic capsulitis,  
12 which is basically a contracture of the capsule in the shoulder  
13 as a complication from the injury. And because she didn't have  
14 the surgery, she essentially had this disability that hasn't  
15 resolved over the past several years, making it, in essence,  
16 permanent because she has not been improving.

17          Q     What is loss of use?

18          A     Loss of use is the determination based on Worker's  
19 Compensation guidelines to apply a percentage of loss to the  
20 affected extremity. And this is based on range of motion  
21 restriction, strength loss and whether previous surgery has been  
22 performed.

23          Q     And did you arrive at an opinion as to what, if any,  
24 loss of use Mrs. Knoch has with regard to her left arm and  
25 shoulder?

1       A     Right. I came up with the percentage of 75 percent  
2 loss of use, not complete loss, because she was able to do  
3 certain things with the arm at the side, but very -- and the 75  
4 percent comes from a calculation based on her restrictions,  
5 based on strength deficits, and based on the injury she  
6 sustained.

7       Q     Did you form an opinion as to her prognosis or what the  
8 future holds for her?

9       A     The diagnosis of post-traumatic adhesive capsulitis and  
10 the damage she sustained, which was seen on the MRI, is  
11 generally a guarded diagnosis, because the results of surgical  
12 intervention with post-traumatic adhesive capsulitis are  
13 unpredictable, the results are guarded.

14            You know, even if she did have the surgery, it's  
15 unclear as to her eventual outcome with this, as some of them do  
16 have some restrictions of motion despite surgery and do have  
17 residual pain. But, certainly, the results are still better  
18 than non-surgical treatment.

19       Q     And in your report, do you qualify Mrs. Knich's  
20 prognosis as either good, fair, poor, something else? I'm  
21 referring to under impression, second to last line?

22       A     No, her prognosis is poor because it's been so long and  
23 she has had this motion restriction, that it causes abnormal  
24 mechanics in the shoulder and abnormal loading of the cartilage  
25 which can predispose to arthritis.

1 Q Did you form an opinion as to what, if any, medical  
2 treatment Mrs. Knoch requires in the future?

3 A Extensive physical therapy. Surgery. At this point  
4 she's tried a lot of therapy, so surgery is indicated with both  
5 anesthesia. More physical therapy after the surgery, possibly  
6 additional surgeries with manipulations to try to maintain her  
7 motion.

8 Q Any of those with any guarantees?

9 A No.

10 Q What are the costs of, for example, lump anesthesia and  
11 surgery together, what is the cost of something like that?

12 MR. GREY: Objection. Outside of the scope of his  
13 report, your Honor.

14 MR. KAUFFMAN: It's actually not. Your Honor, may  
15 we approach?

16 THE COURT: No, but you could give me the copy.  
17 This I have. The objection is overruled.

18 MR. KAUFFMAN: Thank you.

19 Q Doctor, and I'll ask the question again with regard to  
20 the cost, and let's say surgery and anesthesia together, are you  
21 able to approximate what a reasonable cost for something like  
22 that would be for surgery that's indicated for Mrs. Knoch?

23 A With anesthesia and surgery it's about 15 to \$18,000.

24 Q And is there physical therapy that's required after  
25 surgery such as that for a certain length of time?



1           A       Generally about three to four months.

2           Q       And what is the approximate cost of something like  
3 that?

4           A       Several thousand.

5           Q       When you say "several thousand", can you be more  
6 specific or give a range?

7           A       Seven to nine.

8           Q       And with regard to any medications that are required,  
9 post-operatively and during the physical therapy recuperation  
10 period, what is the approximate range or reasonable cost for  
11 something like that?

12          A       Generally, it's about three months of medication  
13 afterwards, ranging from 2 to \$3,000.

14          Q       And do you have any opinion as you sit here today,  
15 whether Mrs. Knoch would require more likely than not a second  
16 surgery after that?

17                   MR. GREY:  Objection.

18                   THE COURT:  Sustained.

19          Q       Doctor, based upon the evidence you've seen and  
20 observed, the medical records, the MRI report, films, your  
21 physical examination, orthopedic records, in your professional  
22 expertise, do you have an opinion with a reasonable degree of  
23 medical certainty as to the cause of Mary Lou Knoch's condition,  
24 complaints, et cetera, and I'd like you to assume that up until  
25 today she continues to have the same pain and limitations and

1 weakness of the left shoulder and left arm?

2 A She sustained a fracture from the fall which damaged,  
3 displaced both her -- a piece of her bone in her humerus as well  
4 as damaged her rotator cuff. They healed. The bone healed, but  
5 she developed a secondary capsular contracture, which is in this  
6 case is frozen shoulder or adhesive capsulitis, which she still  
7 has based on her restricted motion, and that the only way at  
8 this point to provide her with any increased motion and her  
9 potential pain relief would be surgical intervention.

10 Q And when you say that the cause of this condition was  
11 the fall, you're referring to May 2nd of 2007?

12 A Yes.

13 MR. KAUFFMAN: I have nothing further. Thanks for  
14 your time.

15 THE COURT: We are going to take our break for five  
16 minutes or so, which never really ends up being five  
17 minutes, but maybe ten. So, don't leave the building or  
18 anything. Jury is excused. Stretch.

19 (Whereupon, the jury exited the courtroom.)

20 MR. GREY: Do you mind if I take a look at his  
21 records?

22 THE COURT: Sure. It looks like he only brought  
23 his report.

24 (Recess.)

25 COURT OFFICER: All rise. Jury, come on in.

1 (Whereupon, the jury entered the courtroom.)

2 THE COURT: Okay. Our five minute break was 15.

3 COURT OFFICER: Have a seat. Take your seats,  
4 please. Part 9 is back in session.

5 THE COURT: Cross-examination.

6 CROSS-EXAMINATION

7 BY MR. GREY:

8 Q Good afternoon, Dr. Jazrawi.

9 A Good afternoon.

10 Q Mrs. Knoch didn't come to see you because she was  
11 seeking treatment from you, correct?

12 A Correct.

13 Q She came so you would come here and testify, right?

14 A At the time I didn't know that it was going to require  
15 coming here to testify. It was just a narrative report, and at  
16 the time I was told.

17 Q So, you didn't know when you were first hired that you  
18 were going to come to court and testify as to what your report  
19 would contain?

20 A Yes, I do narrative reports, not infrequently.

21 Q You didn't develop a doctor patient relationship with  
22 her, correct?

23 A Correct.

24 Q You didn't specifically suggest or administer any  
25 treatment, correct?

1       A     I suggested that she should do certain things after I  
2 saw her, but since I'm not the treating physician, you know, for  
3 her, it was just talking to her when, you know, as a doctor, as  
4 a physician who cared for her.

5       Q     I want you to turn your report, the disclaimer on the  
6 back?

7       A     Yes.

8       Q     And the disclaimer, you would agree with me, says that  
9 no treatment was administered or specifically suggested,  
10 correct?

11      A     Correct.

12      Q     And that's your disclaimer, you stamped that on there?

13      A     Right. That's my standard Worker's Compensation  
14 disclaimer.

15      Q     Let's talk about Worker's Comp a little bit. Worker's  
16 Comp they do IME's of the patient, correct?

17      A     Correct.

18      Q     And an IME is an independent medical examination of the  
19 patient, correct?

20      A     Correct.

21      Q     And, so in this case did you see those IME's?

22      A     My understanding is that federal case doesn't require  
23 an IME, so I think this was a federal case.

24      Q     Do you have those records with you today?

25      A     No.

1 Q You knew you were coming to testify, and you were going  
2 to be talking about them, right?

3 A Talking about the records that I reviewed already?

4 Q Correct.

5 A Yes.

6 Q And you didn't bring them with you?

7 A No.

8 Q And they are part of your normal file that you would  
9 keep on someone after you examined them, correct?

10 A The only file that I have is the notes that I wrote,  
11 and, I guess, I reviewed the notes prior to create this note,  
12 but I don't bring it to court usually.

13 Q And in the what, three times, four times you testified,  
14 you don't bring the records that you are going to talk about?

15 A I've never have.

16 Q But you keep them back at your office though, right?

17 A Until the case is over, yes.

18 Q And have you seen Dr. Walsh's records?

19 A I'm not sure if I have.

20 Q So, you may have seen them, you may have not seen them?

21 A I don't recall.

22 Q Do you know who Dr. Walsh is?

23 A He's an orthopedic surgeon.

24 Q Do you know specifically who he is in relation to  
25 Ms. Knoch?

1 A No.

2 Q When a patient has a physician that they have been  
3 seeing over time, and when I say over time, I mean from before  
4 an incident and also after an incident, you would want to see  
5 those records, correct?

6 A I'd like to see all the records, yes.

7 Q And it would be important because one of things you're  
8 doing here today is you're talking about ranges of motion,  
9 right?

10 A Correct.

11 Q And a range of motion you're comparing it to what a  
12 normal person may do, correct?

13 A Correct.

14 Q And if a person hasn't had a reduced range of motion  
15 say before an incident, you'd like to see that, right?

16 A Correct.

17 Q Because if you're saying that this incident caused a  
18 reduced range of motion, it could be less than what you're  
19 saying, correct?

20 A Correct.

21 Q And you saw her once on 6/27/12, correct?

22 A Yes.

23 Q And you say you see about 50 to 70 patients a day,  
24 right?

25 A Yes.

1 Q And sometimes you'll have surgeries in between though,  
2 right?

3 A No.

4 Q Okay. I thought you said that. And when you do see  
5 those 50 to 70 patients a day, how many hour day is that? How  
6 long -- excuse me. Let me withdraw that.

7 When you do see those patients, how long a day is that?

8 A With just the patient related? We start seeing  
9 patients at eight and end at about six or seven.

10 Q So, how many times -- how much time do you have to  
11 spend with each patient?

12 A I have two PA's that assist me, so they write all my  
13 notes, so I'm able to spend more time with the patient.

14 Q And how much time is that?

15 A New patients about 20 minutes; followup patients, ~~10~~  
16 generally five minutes.

17 Q And the \$10,000 that you talked about, is that in total  
18 or do you get money for reviewing the records also?

19 A It's in total.

20 THE COURT: I'm sorry. Can you explain what a PA  
21 is?

22 THE WITNESS: Oh, I'm sorry. Physician's  
23 assistant. They assist me. And can I correct myself on the  
24 previous question that you asked?

25 MR. GREY: Sure. If you have a correction I'm sure

1 the jury would like to hear.

2 A The narrative reports I do charge a price for that  
3 because I don't know that this case is going to trial.

4 Q How much did you charge for this narrative report?

5 A I think \$1,200.

6 Q So, you've been paid \$11,200 for that?

7 A Yes.

8 Q How long did it take you to write the narrative report?

9 A Approximately 45 minutes.

10 Q Are you the person who writes that or do you sit down  
11 and write it out or someone do it for you?

12 A On these narrative reports I write them out.

13 Q So, the typos then are yours?

14 A Yes. Microsoft Word, no.

15 (Laughter.)

16 Q I want you to turn to the second page of your report?

17 A Yes.

18 Q I want you to look at the line starting with normal.

19 A Correct.

20 Q And, actually, before I go into that, can you tell the  
21 jury what healed -- like arthroscopic portals are?

22 A Arthroscopic portals are the incisions that we make  
23 around the shoulder. They are sort of the entry point that we  
24 insert the small instruments into the shoulder.

25 Q Okay. If someone has arthroscopic portals in their



1 shoulder, what would that indicate to you?

2 A That they had previous surgery.

3 Q Is there any way someone could get arthroscopic portals  
4 in their shoulder without having previous surgery that you know  
5 of?

6 A No.

7 Q And when you examined Ms. Knoch you found that she had  
8 healed arthroscopic portals on her left shoulder, correct?

9 A That's probably a typo.

10 Q So, healed arthroscopic portals on left shoulder was a  
11 typo?

12 A Yes, because it's a template because I don't recall her  
13 having arthroscopic portals.

14 Q But you wrote it here, right?

15 A Well, yes, like I say, it's probably a typo.

16 Q Well, I think you and I -- what you consider a typo? I  
17 consider a typo, for instance, on the first page where when you  
18 wrote, MRI confirmed the fractured "dip" placement, you forgot  
19 the "S"? That's a typo. A full sentence, that's a typo to you?

20 A Where are you referring to it, if you can point it out  
21 to me on the note.

22 Q Sure. See dip placement there?

23 A No, I'm talking about the healed arthroscopy --

24 Q I know. I know. I'm talking to you, right, you forgot  
25 the "S"?

1 A Yes.

2 Q Okay. And so your understanding also typos in full  
3 sentences that are put into your report?

4 A No.

5 Q What -- did you ask her about her arthroscopic portals?

6 A I'm asking you to show it to me in here because I write  
7 with templates.

8 Q No problem. See where it says, healed arthroscopic  
9 portals, left shoulder?

10 A Right below it, yes.

11 Q And you are saying that she doesn't have healed  
12 arthroscopic portals in her left shoulder?

13 A Correct.

14 Q That's a pretty big mistake isn't it?

15 A No, not if you use templates like we utilize now in  
16 medicine.

17 Q So, you make lots of mistakes in your report where you  
18 have sentences in there that shouldn't be in there?

19 A Possibly, yes.

20 Q Did you see her insurance records?

21 A No.

22 Q Wouldn't you have liked to have seen her insurance  
23 records?

24 A No.

25 Q So, I want you to assume that on the stand earlier this

1 morning she said she made a claim to her insurance company to  
2 get this surgery, and after hearing that would you have liked to  
3 have seen her insurance records?

4 A I saw the denial letter.

5 Q I'm not talking about Worker's Comp, I'm talking about  
6 her insurance records. They are different records.

7 THE COURT: You have to ask a clear question or you  
8 are not going to get a clear answer.

9 MR. GREY: No problem.

10 Q You didn't see her insurance records, right?

11 A No.

12 MR. KAUFFMAN: Health insurance --

13 THE COURT: You're both talking at the same time,  
14 please. Mr. Kauffman, have a seat.

15 MR. KAUFFMAN: Sure.

16 Q I want you to assume that she testified this morning  
17 that she made a claim to her insurance company to get the  
18 surgery and they denied it. As a doctor, wouldn't you want to  
19 see why they denied it?

20 A In order to get her better, if I was the treating  
21 physician I would want to know that.

22 Q But as the examining physician you don't want to know  
23 that because it might change your opinion, right?

24 A No.

25 THE COURT: Argumentative.

1       A     It's irrelevant to me. I base my decision based on her  
2 examination. The insurance company has denied many surgeries  
3 that I have requested and they were wrong, so it's not important  
4 to me.

5       Q     What if another doctor had looked at her and done an  
6 examination, that would be important to you right?

7       A     Not necessarily.

8       Q     So, what other doctors say you don't worry about that,  
9 right?

10      A     That's not true. That's not what I said.

11      Q     You said not necessarily, but without seeing the  
12 records or without knowing whether someone looked at her and  
13 made an examination, you don't really know, right?

14           MR. KAUFFMAN: Objection. Unless he has something  
15 to say that he didn't review a particular record.

16           THE COURT: I'm sorry. Rephrase.

17      Q     Okay. If those insurance company records had three  
18 independent medical examinations from world renowned physicians,  
19 maybe the guy you went down to learn sports medicine from, you  
20 would want to see that in forming your opinion, correct?

21      A     It doesn't form my ultimate opinion if that specific  
22 physician has denied surgery. I base my opinion based on the  
23 facts, and the facts on this case were that, one, she had a  
24 greater tuberosity fracture. That was clearly seen on the MRI.

25           She had rotator cuff damage on her MRI. She's

1 clinically not gotten better based on my examination and based  
2 on the records that I reviewed, so another physician documenting  
3 on the fact that, yes, the greater tuberosity fracture is not  
4 the source of her pain or her problems, is wrong and irrelevant  
5 to me. That's what I'm trying to explain.

6 Q So, if the doctor doesn't agree with you they are wrong  
7 and irrelevant?

8 THE COURT: Mr. Grey, you are off on a tangent  
9 here, and you also mischaracterized the question so that if  
10 you're asking completely speculative questions that has  
11 nothing to do with this case.

12 MR. KAUFFMAN: Objection.

13 THE COURT: So, can you please ask -- you're not  
14 going to understand what I'm saying, so I'm just going to  
15 ask the question.

16 So, what the Plaintiff testified, Doctor, was that  
17 her private insurance would not pay for the surgery because  
18 they told her, although they never apparently put it in  
19 writing, that because it's an accident that occurred during  
20 the course of her employment, that she was limited to  
21 Worker's Comp.

22 So, does that make sense to you? Is that like an  
23 insurance thing that you are aware of?

24 THE WITNESS: I have seen that before, yes.

25 THE COURT: Okay. So, I mean, there were no

1 independent doctors. There were no report from her private  
2 insurance company.

3 MR. GREY: We don't know, your Honor. We haven't  
4 seen the records.

5 MR. KAUFFMAN: If Counsel --

6 THE COURT: She testified they told her on the  
7 phone you have to go to Worker's Comp, and that's as far as  
8 it went; so all of your questions are completely speculative  
9 and improper. Move on.

10 Q Doctor, I believe you testified earlier that you don't  
11 remember why Worker's Comp denied her surgery, correct?

12 A Correct.

13 Q So, it could have been because they didn't feel that it  
14 was necessary, right?

15 MR. KAUFFMAN: Objection. Speculative.

16 THE COURT: Oh, for Heaven's sake.

17 If he says he doesn't remember, then there is no  
18 question beyond that.

19 Q Doctor, I'm going to hand you the medical evidence,  
20 Plaintiff's 11, 13, and 10. These are all the medical records  
21 that are in evidence. Can you look through there and show me  
22 where there is an indication that she got an injection?

23 COURT OFFICER: You want me to give him the film  
24 also?

25 MR. GREY: Please. If it's in there.

1 THE COURT: Hold on. Improper question.

2 Q Do you know if there is a record in there that shows he  
3 got --

4 THE COURT: How would he know?

5 MR. GREY: Because he reviewed all the medical  
6 records, your Honor.

7 THE COURT: We don't have all the medical records  
8 right not. The Plaintiff is not -- this is just one  
9 witness.

10 MR. GREY: That's my issue, your Honor.

11 THE COURT: No.

12 MR. GREY: He's talking about records that aren't  
13 in evidence.

14 THE COURT: You are not entitled to have issues.  
15 You have a board certified orthopedic surgeon, ask him a  
16 medical question, don't ask him a legal question.

17 How is he supposed to know which things have been  
18 admitted so far?

19 Q Where did you read that record about her injection?

20 A I'm trying -- it was in the stack of files that I got  
21 that she had gotten one injection along the course of her  
22 treatment.

23 Q But who gave it to her?

24 A I don't recall.

25 Q When did she get it?

1 A It was in 2008 or 2009.

2 Q Sometime in that 24 month period?

3 A It shouldn't matter, but she got an injection; that's  
4 what I recall.

5 Q When did she stop going to physical therapy?

6 A I don't recall.

7 Q Why did she stop going to physical therapy?

8 MR. KAUFFMAN: Objection.

9 MR. GREY: If he knows.

10 Q All right. Do you know why she stopped going to  
11 physical therapy?

12 A Other than what she told me at the time of her visit  
13 that she wasn't progressing in physical therapy, that was the  
14 only answer she gave me.

15 Q Did you read her transcripts?

16 A I did not read her transcripts.

17 Q Would you be surprised to learn that she was asked  
18 questions about why she stopped physical therapy in her  
19 transcripts?

20 A I'm sure that's a valid question.

21 Q Wouldn't you want to know that?

22 A I spoke to her and she answered the question.

23 Q So, I want you to assume that she may have given a  
24 different answer in her EBT, wouldn't you want to have read  
25 that?



1 Q Tell the jury what a drop-arm test is?

2 A Generally, when you have a full thickness rotator cuff  
3 tear, you lift the arm up and ask them to hold it up here. With  
4 a full thickness rotator cuff test -- tear, meaning the entire  
5 tendon is detached, they drop their arm, and that's called a  
6 drop-arm test. Her rotator cuff was intact, so she shouldn't  
7 have a positive drop-arm, she should be able to hold her arm up,  
8 and she did.

9 Q It's a good thing. It would be a bad sign for her if  
10 she has a drop-arm test, right?

11 A It would be a worse sign, yes.

12 Q Correct. Tell the jury what a lag test is, please?

13 A A lag test is basically testing another rotator cuff is  
14 when you put the arm up in the abducted position and they would  
15 basically drop their arm down, and that's the lag. That would  
16 indicate damage to a full thickness damage to one of the other  
17 rotator cuff tendons.

18 In this case her rotator cuff tendons were only  
19 partially damaged, so that would be working so it would be  
20 negative.

21 Q And she had negative lag test at zero degrees and  
22 ninety degrees?

23 A Correct.

24 Q And she had a negative lift-off sign, another good  
25 sign, right?

1 A Correct.

2 Q Would you tell the jury what a lift-off sign is?

3 A A lift-off sign is when they have a damage to the  
4 subscapularis rotator cuff tendon, and her MRI showed that that  
5 was intact, so we would not expect that to be damaged.

6 Q She had a negative and superior gait sign, would you  
7 tell the jury what that is?

8 A Right.

9 THE COURT: Slow down.

10 A If they have a full thickness rotator cuff tear, which  
11 she didn't have, you would expect as they're lifting their arm  
12 up you would see their socket, the ball, just kind of popping  
13 through under their skin because they have no rotator cuff to  
14 hold their shoulder in place.

15 Q And a Napoleon test, she had a negative Napoleon test,  
16 tell the jury what that is.

17 A A Napoleon test is another term for the subscapularis  
18 tendon, which is an internal rotator. Napoleon used to hold his  
19 arm like this, so the Napoleon test, basically, you ask the  
20 patient to push their arm out like this, and if they could hold  
21 it without breaking their wrist, that means that it's a negative  
22 Napoleon test.

23 Patients with subscap tears go like this(indicating)..  
24 They can't do it and so we call it a positive Napoleon test.

25 Q And she had a negative Napoleon test, right?

1 A Yes.

2 Q Can you tell the jury what a-deen-o-pathy is?

3 A Adenopathy.

4 Q Adenopathy.

5 A Yeah, that's when you have the lymph nodes that are  
6 enlarged. Again, that's in my standard template. It's part of  
7 the requirement for medical records. You have to include that.

8 Q And she didn't have it, correct?

9 A Correct.

10 Q There weren't any problems with biceps either, right,  
11 you would agree?

12 A Biceps was fine.

13 Q She had a negative AC deformity testing, can you tell  
14 the jury what a AC deformity test is?

15 A Basically, when you separate your collarbone joint it  
16 will be elevated and you can actually see the deformity there.  
17 She did not have that.

18 Q That's a good sign, right?

19 A It's a good sign.

20 Q You performed a lot of tests for the instability of her  
21 left shoulder, correct?

22 A Correct.

23 Q And she was negative across the board on those, right?

24 A Correct.

25 Q Tell the jury why you performed those tests?

1       A     Generally, you perform those tests it's part of my  
2 standard routine. It's actually the opposite of frozen  
3 shoulder. When you're really loose you would get a positive  
4 exam under the instability test, so her frozen shoulder actually  
5 was probably responsible for a lot of her negative instability  
6 examination, because instability is looseness. Capsulitis is  
7 contracture.

8       Q     What's the test for frozen shoulder?

9       A     It's restriction in motion.

10      Q     So, there is no test that will tell you yes or no she  
11 has frozen shoulder, right?

12      A     It's a clinical diagnosis.

13      Q     And in order to do a clinical diagnosis, again you  
14 would want to see all of her medical records, correct?

15      A     The initial treating doctor based on the initial  
16 examination it's usually suffice plus an examination with me.

17      Q     But let's say there were records from Dr. Walsh that  
18 may have indicated something else, you would want to see that,  
19 right?

20      A     Indicated, for example?

21      Q     I don't know, Doctor, because we don't have those  
22 records or can see them. What I'm asking you is, if you're  
23 diagnosing a patient it is better for you to have all the  
24 records than just a subset of records, correct?

25      A     It would certainly would be helpful with the assumption

1. that the examination under his care would have been different or  
2. that she had surgery with him or something else. So, the answer  
3. to your question is, yes.

4. Q Did you inquire with her as to whether she could afford  
5. surgery or not?

6. A I did not ask that question.

7. Q Let's talk briefly about Dr. Tabershaw's findings.  
8. Would you agree with me that her range of motion from the first  
9. visit with Dr. Tabershaw until the last visit with Dr. Tabershaw  
10. increased?

11. A Yes.

12. Q What was her range of motion when she first visited  
13. him?

14. MR. KAUFFMAN: I'm not objecting. I would just ask  
15. that the doctor be permitted to take a look at the medical  
16. records that he is referring to.

17. MR. GREY: He's not looking at any of the other  
18. records. I'm asking his memory, your Honor, because he  
19. didn't bring the records with him. Let's see what his  
20. memory is.

21. MR. KAUFFMAN: Doctor, you can use my copy. I  
22. don't mind.

23. MR. GREY: I'll conduct my examination, please.

24. THE COURT: Mr. Kauffman, just have a seat. If you  
25. can't recall, just say you can't recall.

1       A     I recalled the general trend that because of the  
2 fracture her motion was, basically, zero when she first started,  
3 and then improved, but stopped progressing, and by the three  
4 month marker she lacked progression and lacked resumption of  
5 near normal motion that she was indicated for the operative  
6 intervention.

7       Q     Do you know if she had a second fall?

8       A     She had a second fall well after the initial fall.

9       Q     Can you tell me about that fall?

10      A     She still had the symptoms, from my recollection of the  
11 initial accident, with restriction in motion. The fall  
12 basically changed nothing in her -- the fall changed nothing in  
13 her presentation and her motion restriction.

14      Q     No, I'm asking you can you tell me about her fall, what  
15 happened?

16      A     Other than falling on an outstretched arm, that's all I  
17 recall.

18      Q     And she even showed improvement again after that fall,  
19 correct?

20      A     I don't recall.

21      Q     And Dr. Tabershaw had indicated that she had a  
22 25 percent temporary impairment, correct?

23      A     If that's what it says there, then I'll have to go with  
24 that. I don't have the records in front of me.

25      Q     Did you put that in your report at all?

1 A No.

2 Q And Dr. Tabershaw had indicated on January 9th of 2009  
3 that she had minimal residual adhesive capsulitis; can you tell  
4 me what minimal residual adhesive capsulitis is?

5 MR. KAUFFMAN: I'm just wondering if he's reading  
6 from a record that's not in evidence.

7 MR. GREY: I'm not reading from anything.

8 MR. KAUFFMAN: No?

9 THE COURT: Is there an objection or not an  
10 objection?

11 MR. KAUFFMAN: I'd like the medical records in  
12 evidence. I withdraw -- if it was an objection I'll  
13 withdraw it.

14 THE COURT: Okay. You may answer the question.

15 A If he felt that she had minimal residual adhesive  
16 capsulitis that he thought that her symptoms were mild and that  
17 her deficits secondary to adhesive capsulitis were mild.

18 Q That's different from your diagnosis, correct?

19 A Correct.

20 Q And his 25 percent temporary impairment is different  
21 from your diagnosis, correct?

22 A Correct.

23 Q And he did form a doctor patient relationship with her,  
24 correct?

25 A Correct.

1 Q How many times did he see her?

2 A Oh, it looks like at least a dozen times.

3 Q Do you know what records he looked at?

4 A I'm assuming his own records.

5 Q Do you know if he looked at all the records, including  
6 Mr. Walsh's records?

7 A I'm not aware.

8 Q Do you know when she started driving again?

9 A No.

10 Q Do you know when she was cleared to go back to work?

11 A No.

12 Q Do you know what she does for work?

13 A No.

14 Q Do you know when she started boating again?

15 A No.

16 Q Do you remember whether she made an indication to  
17 Dr. Tabershaw she had a lot less pain in September of 2007?

18 A I remember reading along the course of her treatment,  
19 that whether it was immediately after the injection, that she  
20 had sustained some relief at some point during the course of  
21 physical therapy and medication.

22 Q But you don't remember when that injection was?

23 A I can't recall.

24 Q So, you don't know if it was before or after 9/24 of  
25 '07, correct?



1           A     I know that she had gotten better sometime during her  
2 course.

3           Q     Did she ever deny any injections from Dr. Tabershaw?

4                   THE COURT: Any what?

5                   MR. GREY: Injections from Dr. Tabershaw

6           A     I don't recall.

7           Q     Now, you would agree with me that there is more than  
8 one way to pay for surgery, correct?

9                   MR. KAUFFMAN: Objection.

10                  THE COURT: Sustained.

11          Q     Did you do any investigation as to whether she could  
12 afford the surgery or not?

13                  MR. KAUFFMAN: Objection.

14                  THE COURT: Asked and answered.

15          Q     I'm going to give you my last question. We talked a  
16 little bit about the mistakes that you made in your report. I  
17 want to give you an opportunity to tell the jury, are there any  
18 other mistakes that are in your report, any typos, any errors,  
19 any other mistakes you committed?

20                  MR. KAUFFMAN: Objection.

21                  THE COURT: Sustained.

22          Q     You looked over this report, right?

23          A     Sure.

24          Q     And, in fact, you made a disclaimer when you signed  
25 this report, right?

1 A Uh-huh.

2 Q I'm sorry. I need a yes or no, for the court reporter.

3 A Yes. Sorry.

4 Q And can you generally tell the jury why you made that  
5 disclaimer?

6 A Because I'm independently examining her for a report.

7 Q And it's under the penalties of perjury, right?

8 A Yes.

9 Q And so when you sat down and you read back over your  
10 report and you saw, healed arthroscopy portals, did anything go  
11 off in your head, oh, maybe I should check the records for her  
12 surgery?

13 A If I saw it I would have removed it because I was not  
14 aware that she had surgery before, so to me when --

15 Q So, you affirmed that these contents of the above  
16 report were as your own, you didn't read through each sentence?

17 MR. KAUFFMAN: Objection.

18 A It's obviously a mistake, and I'm explaining to you as  
19 a mistake.

20 Q I'm asking you. How long after you did your  
21 examination did you do your report?

22 A Within a week.

23 Q And your understanding when you did the examination was  
24 she had no prior surgery, right?

25 A Yes.

1 Q And when you did your report it indicated she did have  
2 prior surgery, correct?

3 A Correct.

4 Q And that would be a pretty big difference, right?

5 A Not if you have templates that have -- I see a lot of  
6 shoulder patients, so there are patients that have healed up  
7 arthroscopic portals, so it makes perfect sense.

8 Q Sometimes you mix up your patients; is that what you  
9 are saying?

10 MR. KAUFFMAN: Objection.

11 THE COURT: Overruled.

12 A It's not that you mix up the patients, is that the one  
13 sentence lines in templates can sometimes get carried over; so  
14 what I'm explaining to you is that she didn't have surgery. I  
15 didn't recall the arthroscopic portals and that it's put in  
16 there incorrectly.

17 Q Well, let me ask you, did you review your report before  
18 coming here today?

19 A Yes, but I didn't pick that up.

20 Q So, you reviewed it, did you read each page?

21 THE COURT: Argumentative.

22 A I skimmed --

23 Q You skimmed through it?

24 A Yes.

25 Q And you didn't bring any of the records with you?

1 A Correct.

2 MR. KAUFFMAN: Objection.

3 MR. GREY: No further questions.

4 MR. KAUFFMAN: Just a few questions, your Honor.

5 THE COURT: Redirect.

6 MR. KAUFFMAN: Thank you.

7 REDIRECT EXAMINATION

8 BY MR. KAUFFMAN:

9 Q Dr. Jazrawi, I will be brief. I'd like you to  
10 assume that Dr. Tabershaw authored -- the first time that you  
11 saw Mrs. Walsh authored a letter -- I'm sorry. Mrs. Knoch  
12 authored a consultation report to Dr. Walsh, who never treated  
13 Mrs. Knoch for her injuries; is that typically what's done if  
14 Dr. Walsh were an internal medicine doctor?

15 A Correct.

16 Q Okay. If Dr. Walsh is her internal medicine doctor,  
17 someone she sees for coughs and colds, but never treated her for  
18 this case or this accident or these injuries, would his records  
19 have any bearing on your review of the records and your opinions  
20 in this case?

21 A Why would he ask me all these questions about a medical  
22 doctor's intervention? I assume there was an orthopedic  
23 surgeon.

24 Q Other than Dr. Tabershaw, are you aware of any  
25 orthopedist with whom Ms. Knoch ever treated for this case other

1 than yourself?

2 A No.

3 Q Based on your review of all the records you have seen,  
4 have you seen anything to indicate Mrs. Knoch not only didn't  
5 ever have left shoulder surgery, but ever had any problems or  
6 limitations prior to May 2nd, 2007?

7 A No. There is no documentation that she had previous  
8 surgery. That is a typo or it was a line that was kept in there  
9 from a previous template. It's wrong. If she's had surgery, I  
10 certainly don't know about it. I didn't see any records  
11 attesting to that, and she did not tell me that she had surgery.

12 Q In a case where it's Worker's Compensation, and  
13 Worker's Comp has to approve whether it's treatment, medication,  
14 surgery, et cetera, would private health insurance typically  
15 cover a Worker's Compensation case?

16 A Yes.

17 Q And under what circumstances?

18 A Generally, the rejection of surgery for Worker's Comp,  
19 it's -- I wouldn't say it's fairly typical, but it happens a  
20 lot, and the private -- the reasons for the rejection are based  
21 on not following certain guidelines that are unique to Worker's  
22 Compensation, whereas the other insurance companies, private  
23 insurancers don't have these certain restrictions on them.

24 Even though they have independent medical reviewers  
25 reviewing their things, it's based on pure scientific findings,

1 i.e., MRI findings and clinical exam findings, whereas Worker's  
2 Compensation may only look at purely objective findings as its  
3 meaning, i.e., the MRI findings.

4 And in a case such as this, adhesive capsulitis is a  
5 clinical diagnosis and possibly, and this is just conjecture  
6 that the rejection may have been based on an MRI --

7 MR. GREY: I'm going to object, if it's just  
8 conjecture, your Honor, it shouldn't be done in front of the  
9 jury.

10 THE COURT: That's another speech. Sustained.

11 Q Doctor, counsel reviewed with you certain testing that  
12 you had done on Mrs. Knoch's left rotator cuff, and some of the  
13 testing were negative, in other words --

14 A Right.

15 Q -- they weren't bad for Mrs. Knoch. Does that mean she  
16 doesn't have a rotator cuff tear that was traumatically induced  
17 from this accident?

18 A She has a partial rotator cuff tear, which those tests  
19 confirmed.

20 Q Okay. And counsel also asked you about things like  
21 adenopathy and biceps weakness; should Ms. Knoch be expected to  
22 have adenopathy or biceps weakness for an jury such as this?

23 A No.

24 Q The fact that her left shoulder wasn't unstable, does  
25 that change your diagnosis or opinion in this case?

1           A     No.

2           Q     Why is that?

3           A     Because she has adhesive capsulitis, which is actually  
4 a constriction of -- contracture of the shoulder. When we talk  
5 about a laxity examination, those are for patients who dislocate  
6 their shoulders. I include it as part of my routine  
7 examination. It's part of my template.

8           Q     Now, Doctor, the -- when I'd asked you questions  
9 initially, we talked about the purpose of an injection to the  
10 what's known as the subacromial space; counsel, I believe had  
11 asked you, just to set the scene, whether you were aware as to  
12 whether Mrs. Knoch ever decided not to undergo a second  
13 injection.

14                I'd like you to assume that after the first injection  
15 Mrs. Knoch's pain returned. What is the significance of  
16 administering a second injection; is that more likely than not  
17 going to work or is she going to end up the same?

18           A     Generally, when the first injection doesn't work or  
19 works minimally, I'm unlikely to repeat a second injection, as  
20 the likelihood of a successful second injection is basically  
21 unlikely.

22                So, we really -- if she's had improvement with this  
23 that was significant and then the pain returns, then that's a  
24 good indication to do another injection.

25           Q     And in this particular case, assuming that the pain did

1 return after that first injection, is there any significance to  
2 the fact that she decided not to undergo the second injection  
3 that was offered to her?

4 A It's certainly not uncommon for patients to refuse a  
5 second injection especially when the amount of pain relief was  
6 insignificant or they didn't have sustained relief from it.

7 Q And after the patient has a certain amount of  
8 complaints for certain period of time despite orthopedic visits,  
9 MRI's, x-rays, pain medications, home exercises,  
10 anti-inflammatories, physical therapy, I believe I said, and  
11 injections, what is the next option?

12 A Generally, after a failure of conservative course like  
13 you described, the next discussion is to basically talk about  
14 surgical options.

15 MR. KAUFFMAN: Nothing further. Thank you.

16 MR. GREY: Just have a brief followup, your Honor.

17 THE COURT: Okay.

18 RECROSS-EXAMINATION

19 BY MR. GREY:

20 Q I want you to assume that Ms. Knoch came in and she  
21 testified that Dr. Walsh was currently prescribing her medicine  
22 for her shoulder, would you consider that treatment?

23 THE COURT: I'm sorry, doctor who?

24 MR. GREY: Dr. Walsh was prescribing her  
25 anti-inflammatories currently for her shoulder, would you



1 consider that treatment?

2 THE WITNESS: Yes.

3 Q So, if he was doing that then he did treat her,  
4 correct?

5 A Yes.

6 Q What's the last date that you know of that she received  
7 any treatment from a medical provider for this injury?

8 MR. KAUFFMAN: Objection. Scope.

9 MR. GREY: He asked about the future of surgery,  
10 going into the future. I'm sure --

11 THE COURT: On redirect?

12 MR. GREY: Yes. He asked about this surgery on  
13 redirect that goes into the future. Of course, what she's  
14 done from 2009 to the present would be within that --

15 THE COURT: Excuse me.

16 MR. KAUFFMAN: Speech.

17 THE COURT: The objection is sustained. Beyond the  
18 scope of redirect.

19 Q During the redirect he just asked you about the  
20 different treatments she received, correct?

21 A Correct.

22 Q And when did that treatment end?

23 A I don't recall.

24 Q So, you don't know if it ended yesterday or if it ended  
25 five years ago?

1 A I don't recall the last date of treatment for her.

2 Q Do you recall the date of treatment in 2010?

3 MR. KAUFFMAN: Objection. Scope.

4 THE COURT: Mr. Grey, if he says he doesn't recall  
5 you have to stop with that. You are stuck with that answer.

6 MR. GREY: No more questions.

7 MR. KAUFFMAN: Nothing further, your Honor.

8 THE COURT: You are free to go.

9 THE WITNESS: Thank you.

10 THE COURT: And so is the jury, but let the doctor  
11 go first.

12 So, all right. We're going to resume at 10 o'clock  
13 tomorrow. We will not be having trial in the afternoon  
14 tomorrow, just the morning, and then on Thursday not the  
15 morning, just the afternoon.

16 I don't think nobody relied on my telling them  
17 yesterday that we would not be doing anything tomorrow; is  
18 anybody not able to come? Okay. Good. Nobody.

19 So, 10 o'clock tomorrow we'll continue. We have  
20 the Plaintiff to continue her testimony and one short other  
21 witness and we'll definitely be done by lunchtime, and good  
22 night, and don't look anything up and don't talk about the  
23 case.

24 COURT OFFICER: All rise. Jury can exit the  
25 courtroom.